

# **Exhibit 52**

# Alderson<sup>®</sup>

---

## COURT REPORTING

### Transcript of **Paul Simone, Ph.D.**

November 7, 2016

*US v. Hi-Tech Pharmaceuticals, Inc.*

Alderson Reporting  
1-800-367-3376  
info@aldersonreporting.com  
<http://www.aldersonreporting.com>

Alderson Reference Number: 67175

1                  IN THE UNITED STATES DISTRICT COURT

2                  FOR THE NORTHERN DISTRICT OF GEORGIA

3                                  ATLANTA DIVISION

4  -----x

5  UNITED STATES OF AMERICA,          )  Civil Action No.

6                                  Plaintiff,                  )  1:13-cv-3675-WBH

7                  v.                                  )

8  UNDETERMINED QUANTITIES OF...  )

9  1,3-DIMETHYLAMLAMINE HCI          )

10 (DMAA) . . . ,                  )

11                                  Defendants,                  )

12                  and                                  )

13 HI-TECH PHARMACEUTICALS, INC.,  )

14 and JARED WHEAT,                  )

15                                  Claimants.                  )

16 -----x

17                                  DEPOSITION OF PAUL SIMONE, Ph.D.

18                                  WASHINGTON, D.C.

19                                  MONDAY, NOVEMBER 7, 2016

20                                  9:30 A.M.

21 Job No.: 67175

22 Reported by: Leslie A. Todd

Page 2	Page 4
<p>1 Deposition of PAUL SIMONE, Ph.D., held at the</p> <p>2 offices of:</p> <p>3</p> <p>4</p> <p>5 EPSTEIN BECKER &amp; GREEN, PC</p> <p>6 1227 25th Street, NW</p> <p>7 Suite 700</p> <p>8 Washington, DC 20037</p> <p>9 (202) 861-0900</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14 Pursuant to notice, before Leslie Anne Todd, Court</p> <p>15 Reporter and Notary Public in and for the District of</p> <p>16 Columbia, who officiated in administering the oath to</p> <p>17 the witness.</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p>	<p>1 CONTENTS</p> <p>2 EXAMINATION OF PAUL SIMONE, Ph.D. PAGE</p> <p>3 By Mr. Scott 8</p> <p>4</p> <p>5 EXHIBITS</p> <p>6 (Attached to transcript)</p> <p>7 SIMONE DEPOSITION EXHIBITS PAGE</p> <p>8 Exhibit 1: Notice of Deposition 10</p> <p>9 Exhibit 2: University of Memphis website</p> <p>10 Information re Paul Simone,</p> <p>11 Assistant Professor - Chemistry</p> <p>12 Department 12</p> <p>13 Exhibit 3: Declaration of Paul S. Simone, Jr., 40</p> <p>14 Ph.D.</p> <p>15 Exhibit 4: "Analysis and Confirmation of</p> <p>16 1,3-DMAA and 1,4-DMAA in Geranium</p> <p>17 Plants using High Performance</p> <p>18 Liquid Chromatography with Tandem</p> <p>19 Mass Spectrometry at ng/g</p> <p>20 Concentrations," by Fleming,</p> <p>21 Ranaivo and Simone 51</p> <p>22 Exhibit 5: E-mail re Research question 60</p>
Page 3	Page 5
<p>1 APPEARANCES</p> <p>2</p> <p>3 ON BEHALF OF PLAINTIFF:</p> <p>4 CLAUDE SCOTT, ESQUIRE</p> <p>5 JAMES W. HARLOW, ESQUIRE</p> <p>6 United States Department of Justice</p> <p>7 Consumer Protection Branch/Civil Division</p> <p>8 450 Fifth Street, NW</p> <p>9 Suite 6400S</p> <p>10 Washington, DC 20530</p> <p>11</p> <p>12</p> <p>13 ON BEHALF OF DEFENDANT:</p> <p>14 SHEILA A. WOOLSON, ESQUIRE</p> <p>15 Epstein Becker &amp; Green, PC</p> <p>16 1 Gateway Center #13</p> <p>17 Newark, New Jersey 07102</p> <p>18 (973) 642-1271</p> <p>19</p> <p>20 ALSO PRESENT:</p> <p>21 DARYL GRIGLAK (Intern)</p> <p>22</p>	<p>1 EXHIBITS CONTINUED</p> <p>2 (Attached to transcript)</p> <p>3 SIMONE DEPOSITION EXHIBITS PAGE</p> <p>4 Exhibit 6: Fleming article entitled "Analysis</p> <p>5 of Dimethylamylamine (DMAA) in</p> <p>6 Geranium Plants using HPLC-MS/MS" 90</p> <p>7 Exhibit 7: Letter dated August 8, 2012, to</p> <p>8 Professor Thevis from Paul Simone 120</p> <p>9 Exhibit 8: E-mail re Thoughts on the Intertek/</p> <p>10 ACC Paper and J. Of Analytical</p> <p>11 Toxicology Paper 131</p> <p>12 Exhibit 9: Fertilizer Analysis 140</p> <p>13 Exhibit 10: Analysis Survey for 1,3-DMAA and</p> <p>14 1,4-DMAA in Food and Geranium</p> <p>15 Plants 148</p> <p>16 Exhibit 11: Spreadsheet 156</p> <p>17 Exhibit 12: Spreadsheet 158</p> <p>18 Exhibit 13: Document headed FHLFF131,</p> <p>19 Fertilizer, 09-11-13 168</p> <p>20</p> <p>21</p> <p>22</p>

Page 6	Page 8
<p>1 EXHIBITS CONTINUED                  2 (Attached to transcript)                  3 SIMONE DEPOSITION EXHIBITS PAGE                  4 Exhibit 14: Document entitled "Analysis of                  5 1,3-DMAA and 1,4-DMAA in Geranium                  6 Plants Using High Performance                  7 Liquid Chromatography with Tandem                  8 Mass Spectrometry and Nuclear                  9 Magnetic Resonance," by Heather                  10 Fleming 170                  11 Exhibit 15: Document entitled "A Study on the                  12 Chemical Constituents of Geranium                  13 Oil" 180                  14 Exhibit 16: Document entitled "Standard                  15 Analytical Methods" 190                  16 Exhibit 17: Intertek/ACC Labs Standard                  17 Analytical Methods 190                  18 Exhibit 18: Article by Li, Chen and Li,                  19 "Identification and Quantification                  20 of Dimethylamylamine in Geranium                  21 By Liquid Chromatography Tandem                  22 Mass Spectrometry" 192</p>	<p>1 PROCEEDINGS                  2 -----                  3 PAUL SIMONE, Ph.D.,                  4 having first been duly sworn, was                  5 examined and testified as follows:                  6 EXAMINATION BY COUNSEL FOR PLAINTIFF                  7 BY MR. SCOTT:                  8 Q All right. Could you state your full                  9 name for the record, please, sir.                  10 A Dr. Paul Steven Simone, Jr.                  11 Q All right, sir. Now, have you had your                  12 deposition taken before?                  13 A I have not.                  14 Q All right. Well, I'm sure you have been                  15 over this with your attorney, but a few ground rules                  16 to make this a little more easy on all of us,                  17 particularly the court reporter.                  18 First of all, you do understand you're                  19 under oath?                  20 A Yes.                  21 Q Now, secondly, if during the course of                  22 our conversation today I ask a question and you're</p>
Page 7	Page 9
<p>1 EXHIBITS CONTINUED                  2 (Attached to transcript)                  3 SIMONE DEPOSITION EXHIBITS PAGE                  4 Exhibit 19: E-mail string re A couple of                  5 Quick Questions 227                  6 Exhibit 20: Document entitled "Analysis of                  7 1,3- and 1,4-Dimethylpentylamine                  8 in Geranium Herby by LC-MS/MS,"                  9 By Fleming, Ranaivo and Simone 231                  10 Exhibit 21: E-mail string re Report on DMAA                  11 Analysis by NMR 246                  12                  13                  14                  15                  16                  17                  18                  19                  20                  21                  22</p>	<p>1 not clear on what the question means or what it is                  2 I'm seeking by way of information through the                  3 information, don't be shy, tell me that, and I will                  4 be glad to go back and rephrase the question or                  5 explain before you begin your answer.                  6 All right?                  7 A I understand.                  8 Q And if you answer the question, I'm going                  9 to assume that you understood it. Okay?                  10 A I understand.                  11 Q Now, in addition, you will have to answer                  12 verbally because nods and shakes of the head don't                  13 show up very well in the transcript.                  14 Also, as I'm asking questions, there will                  15 be a tendency sometimes for you to anticipate where                  16 I'm going and try to start answering the question                  17 before I finish. Sometimes I will do the same thing                  18 in reverse. It's very difficult for the court                  19 reporter if we talk over each other. So I will try                  20 very hard to give you a chance to finish your answer                  21 before I ask another question, and if you could, let                  22 me get the question out completely before you begin</p>

<p>Page 10</p> <p>1 your answer for her sake, if nothing else.                  2 Okay?                  3 A I understand on both counts.                  4 Q Okay. And during the course of the                  5 deposition today, if you need to take a break for any                  6 reason, just let us know and we will -- at the next                  7 appropriate spot in the questioning, we will try to                  8 accommodate you. All right?                  9 A All right. Sounds good.                  10 Q All right, sir.                  11 MR. SCOTT: I'm going to note for the                  12 record that I have marked as the first exhibit to the                  13 deposition a copy of the deposition notice for the                  14 deposition.                  15 (Exhibit No. 1 was marked for                  16 identification.)                  17 MR. SCOTT: I will also note for the                  18 record that this is a deposition of Dr. Simone in two                  19 capacities. It is as a fact witness as well as an                  20 expert witness, subject to agreement of the parties.                  21 BY MR. SCOTT:                  22 Q Now, Dr. Simone, what, if anything, did</p>	<p>Page 12</p> <p>1 (Exhibit No. 2 was marked for                  2 identification.)                  3 BY MR. SCOTT:                  4 Q All right. So you have in front of you                  5 what's been marked as Exhibit 2 to your deposition.                  6 It is a three-page document.                  7 The first page says "University of                  8 Memphis, Department of Chemistry, Paul Simone,                  9 Assistant Professor, Chemistry Department."                  10 Do you see that?                  11 A I do.                  12 Q And do you recognize this document as                  13 something that you've seen previously?                  14 A Not that I can recall.                  15 Q All right. So you don't know if this is                  16 the information that Memphis puts on its website                  17 regarding you?                  18 A It looks like it. I mean, I haven't                  19 looked at this in quite a while.                  20 Q Did you look at it before it was posted?                  21 A Probably.                  22 Q Well, in the documents, it says: "About</p>
<p>Page 11</p> <p>1 you do to prepare to testify today?                  2 A I reviewed the expert witness reports of                  3 Khan, Brown, and Kompatnick, as well as their                  4 rebuttals, and other documents produced in this case.                  5 Q All right, sir. And when did you do                  6 that, review those reports and the documents that you                  7 just referred to?                  8 A Prior to this deposition.                  9 Q I mean, was it this weekend, last week,                  10 three weeks ago?                  11 A All through that time. As best as I can                  12 recall.                  13 Q All right, sir. Now, where are you                  14 currently employed?                  15 A The University of Memphis.                  16 Q What is your position there?                  17 A Associate professor. I'm tenured.                  18 Q You are tenured?                  19 A Yes.                  20 Q And when did that occur?                  21 A September 1, 2016.                  22 Q All right, sir.</p>	<p>Page 13</p> <p>1 Paul Simone: Dr. Paul S. Simone, Jr., is Assistant                  2 Professor of Chemistry at the University of Memphis                  3 where he earned his BH -- B.S.Ch, M.S. and Ph.D.,                  4 and started his career as a tenure-track professor at                  5 The Citadel in Charleston, South Carolina."                  6 Do you see that?                  7 A Yes.                  8 Q Is all that information accurate?                  9 A Yes.                  10 Q It goes on to say that: "Dr. Simone                  11 works at the nexus of research and business,                  12 developing new technologies at the University of                  13 Memphis to help drinking water utilities comply with                  14 ever stricter USEPA regulations of drinking water                  15 disinfection byproducts."                  16 Do you see that?                  17 A Yes.                  18 Q Is that accurate?                  19 A Yes.                  20 Q And when you -- the reference there to                  21 drinking water disinfection byproducts, what does                  22 that mean?</p>

Page 14

1 A Broadly, it is the regulations concerning  
 2 the concentrations of trihalomethanes and haloacetic  
 3 acids in drinking water produced during the  
 4 disinfection of water through chlorination. And the  
 5 concentrations of trihalomethanes and haloacetic  
 6 acids range approximately from 1 to 80 parts per  
 7 billion.  
 8 Q All right. Sir, and is that your area of  
 9 specialization, those types of chemicals and their  
 10 detection and perhaps treatment in some way?  
 11 MS. WOOLSON: Objection to form.  
 12 You can answer.  
 13 THE WITNESS: Can you repeat the  
 14 question?  
 15 BY MR. SCOTT:  
 16 Q Sure. The area of developing  
 17 technologies to help drinking water utilities comply  
 18 with ever stricter USEPA regulations of drinking  
 19 water disinfection byproducts, is that your area of  
 20 specialty?  
 21 MS. WOOLSON: Same objection to form.  
 22 You can answer.

Page 15

1 THE WITNESS: I would say my area of  
 2 specialty is analytical chemistry, and this -- the  
 3 work that I do in drinking water is a small part  
 4 of -- well, not small, but a part of what I do as a  
 5 professor.  
 6 BY MR. SCOTT:  
 7 Q All right. Sir, your working in drinking  
 8 water, what percentage does that represent of your  
 9 independent research and lab work?  
 10 MS. WOOLSON: Is there a time frame for  
 11 that question?  
 12 MR. SCOTT: Right now.  
 13 MS. WOOLSON: You can answer.  
 14 THE WITNESS: Repeat that.  
 15 BY MR. SCOTT:  
 16 Q Sure. Do you do independent research as  
 17 part of your job?  
 18 MS. WOOLSON: Well, that's a different  
 19 question.  
 20 But you can answer.  
 21 MR. SCOTT: I'm aware it's a different  
 22 question. He asked -- he seemed to not understand

Page 16

1 the other one, so I asked another one. I can do  
 2 that.  
 3 MS. WOOLSON: He asked you to repeat it,  
 4 but that's okay.  
 5 You can answer the question.  
 6 THE WITNESS: Yes.  
 7 BY MR. SCOTT:  
 8 Q Well, as part of your job, do you go into  
 9 the lab and supervise work that's being done by  
 10 people?  
 11 A Yes.  
 12 Q And is most of that work done in the area  
 13 of drinking water?  
 14 MS. WOOLSON: Are you still talking about  
 15 presently today?  
 16 MR. SCOTT: Yes.  
 17 MS. WOOLSON: You can answer.  
 18 THE WITNESS: Yes.  
 19 BY MR. SCOTT:  
 20 Q And has that always been the case since  
 21 you've been at the University of Memphis regarding  
 22 the lab work that you're involved with that's been

Page 17

1 involved with chemicals in drinking water, that most  
 2 of it has been?  
 3 A Most of it.  
 4 Q And when you say "most of it," over the  
 5 time since you've been with Memphis coming to today,  
 6 what percentage of your work has been dedicated to  
 7 issues pertaining to drinking water?  
 8 MS. WOOLSON: Objection to form.  
 9 You can answer.  
 10 THE WITNESS: I can't give you a hard  
 11 number.  
 12 BY MR. SCOTT:  
 13 Q Well, give me a loose number then.  
 14 A 75 percent.  
 15 Q Of the lab work that you've done?  
 16 A No. Of the research that I've supervised  
 17 and directed.  
 18 Q Do you yourself directly do lab work?  
 19 A Occasionally.  
 20 Q And when you say "occasionally," what  
 21 does that mean?  
 22 A When it's necessary.

Page 18	Page 20
<p>1 Q All right. And when you found it</p> <p>2 necessary for you yourself to do lab work from the</p> <p>3 time frame from when you joined the University of</p> <p>4 Memphis to today, has the majority of that been in</p> <p>5 the area of drinking water and drinking -- chemicals</p> <p>6 and contaminants in drinking water?</p> <p>7 A Yes.</p> <p>8 Q What percentage?</p> <p>9 A 75 percent roughly.</p> <p>10 Q And the other 25 percent where you've</p> <p>11 done lab work, what subject matter has that related</p> <p>12 to?</p> <p>13 MS. WOOLSON: Objection to form.</p> <p>14 You can answer.</p> <p>15 THE WITNESS: I guess I don't understand</p> <p>16 the question.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q Well, you said 75 percent of your lab</p> <p>19 work was dedicated to projects related to drinking</p> <p>20 water. What does the other 25 represent?</p> <p>21 MS. WOOLSON: And you are talking about</p> <p>22 from the day he started at the University of Memphis</p>	<p>1 work that you've directed has been relating to</p> <p>2 haloacetic acids in bleach versus the geranium work?</p> <p>3 A About equal.</p> <p>4 Q So about 12-and-a-half percent each?</p> <p>5 A Sounds reasonable.</p> <p>6 Q And how about the lab work yourself, what</p> <p>7 percentage -- that you yourself had done, what</p> <p>8 percent relates to your research pertaining to</p> <p>9 haloacetic acids in bleach and what relates to</p> <p>10 geranium work?</p> <p>11 A I would say about the same, about</p> <p>12 12-and-a-half percent.</p> <p>13 Q All right. Are you currently doing any</p> <p>14 work relating to geraniums?</p> <p>15 A What time frame are you referencing?</p> <p>16 Q Well, when I said "currently," I meant</p> <p>17 currently. Are you currently doing any work</p> <p>18 pertaining to geraniums?</p> <p>19 A I guess I don't -- we may have a</p> <p>20 different definition of "currently."</p> <p>21 Q Well, what is your definition of</p> <p>22 "currently"?</p>
Page 19	Page 21
<p>1 to today?</p> <p>2 MR. SCOTT: Yes.</p> <p>3 MS. WOOLSON: You can answer.</p> <p>4 THE WITNESS: And this is lab work I've</p> <p>5 specifically done and not directed?</p> <p>6 BY MR. SCOTT:</p> <p>7 Q Yes, sir.</p> <p>8 A I mean, some of it's geranium work; some</p> <p>9 has been analysis of haloacetic acids in bleach.</p> <p>10 Q Anything else?</p> <p>11 A Not that I can recall.</p> <p>12 Q Now, the 25 percent of the lab work and</p> <p>13 research that you have supervised that does not</p> <p>14 relate to drinking water, what does that relate to?</p> <p>15 MS. WOOLSON: I'm sorry. You said</p> <p>16 research he supervised?</p> <p>17 MR. SCOTT: Yes.</p> <p>18 MS. WOOLSON: Okay. You can answer.</p> <p>19 THE WITNESS: The geranium and haloacetic</p> <p>20 acids in bleach work.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q How much of that time relating to the</p>	<p>1 A I mean, I've -- we have work that is</p> <p>2 ongoing that is stalled, for lack of a better term,</p> <p>3 for a variety of reasons, and so we're not putting</p> <p>4 effort into it right now.</p> <p>5 Q Well, let's back up and do it this way:</p> <p>6 When was the last time that you were actively in your</p> <p>7 lab doing work pertaining to geraniums and chemicals</p> <p>8 in geraniums?</p> <p>9 MS. WOOLSON: And I assume you mean other</p> <p>10 than Dr. Simone's appearance here today.</p> <p>11 MR. SCOTT: Well, I don't think he is</p> <p>12 doing this in his lab, so let's --</p> <p>13 MS. WOOLSON: I asked you a question.</p> <p>14 You can clarify.</p> <p>15 MR. SCOTT: I don't need to. And if you</p> <p>16 want to object, you can object. I'm not going to</p> <p>17 take colloquy from counsel.</p> <p>18 MS. WOOLSON: I'm going to defend the</p> <p>19 deposition as I see fit, and if I think your question</p> <p>20 is misleading, I'm going to correct it and ask you to</p> <p>21 rephrase it, which is what I've just done.</p> <p>22 MR. SCOTT: And do what you want, and if</p>



<p style="text-align: right;">Page 22</p> <p>1 I need to do something regarding your interference 2 with questioning, I will do that too. 3 MS. WOOLSON: It's not interference with 4 questioning, Counsel. 5 By MR. SCOTT: 6 Q Sir, when was the last time you were in 7 your lab doing work pertaining to geraniums? 8 A Did you -- is that a different question 9 than before? 10 Q Yes, sir, it is. I get to do that. 11 A So are you -- 12 MS. WOOLSON: Counsel, no attitude. 13 MR. SCOTT: I don't have an attitude 14 about this. 15 MS. WOOLSON: Yes, you do. 16 MR. SCOTT: No, I don't. 17 MS. WOOLSON: Yes, you do. 18 THE WITNESS: So, so I'm clear, just -- 19 are you -- so I think before the question was what 20 lab work I was supervising related to geranium, and 21 now are you asking me what I've done personally on 22 geranium and DMAA?</p>	<p style="text-align: right;">Page 24</p> <p>1 was after the contract work I did for them. But that 2 funding ran out, and I've subsequently pursued it 3 just because I would like to know if it's possible. 4 Q All right, sir. And when you stopped 5 doing this work pertaining to chiral derivatizing, 6 had you successfully developed a protocol that would 7 allow you to identify or separate the chiral 8 footprint? 9 MS. WOOLSON: Objection to form. 10 You can answer. 11 THE WITNESS: Can you repeat the first 12 part of the question? 13 BY MR. SCOTT: 14 Q Well, let me withdraw the question and 15 ask it again, perhaps more simply. 16 A Okay. 17 Q The work that you were doing on chiral 18 derivatizing, did you consider it to have come to a 19 successful conclusion? 20 MS. WOOLSON: Objection to form. 21 You can answer. 22 THE WITNESS: No.</p>
<p style="text-align: right;">Page 23</p> <p>1 BY MR. SCOTT: 2 Q Yes, sir. 3 When was the last time you were 4 personally in your lab doing work pertaining to DMAA? 5 A As best as I can recall, the summer. 6 Q The summer of what year? 7 A 2016. 8 Q And what did that work entail? 9 A We were trying to develop a method to 10 separate the four stereoisomers of 1,3-DMAA -- I'm 11 sorry -- 1,3-dimethylamylamine and geranium plants 12 using the chiral derivatizing agent and a non-chiral 13 gas chromatography column. 14 Q All right. And was that work sponsored 15 by anybody in particular? 16 A No. 17 Q You were doing that just as a research 18 project? 19 A The funding for that work had started 20 with USP Labs, I don't remember, back in 2012, 2013, 21 sometime in that time frame. I'll be honest, the -- 22 when the chiral derivatizing agent stuff started, it</p>	<p style="text-align: right;">Page 25</p> <p>1 BY MR. SCOTT: 2 Q And why not? 3 A We developed a method to extract and -- 4 the 1,3-DMAA, we were able to successfully derivatize 5 it and successfully separate the stereoisomers of 6 1,3-DMAA and 1,4-DMAA at the same time. I was very 7 excited, and my -- and then basically -- the 8 instrumentation we had is all a decade or more older 9 and it finally gave out, and it hasn't been repaired 10 since. 11 Q What instrumentation was that? 12 A The gas chromatograph with mass 13 spectrometer. That was an ion trap system, and 14 unfortunately, our triple quad litho chromatograph 15 tandem MS. 16 Q All right, sir. And during -- was that 17 also the last time that you had -- were doing work 18 while you were supervising work pertaining to DMAA? 19 A Yes. 20 Q Any other projects relating to DMAA 21 ongoing when you shut this particular one down? 22 A Not that I can recall.</p>

<p>Page 26</p> <p>1 Q Are you familiar with a group that 2 goes -- I believe I got this right and you can 3 correct me if I'm wrong -- an acronym MAMML? 4 A Oh, yeah, that's MAMML. Mobile 5 analytical monitoring and modeling laboratory. 6 Q And what is that? 7 A It's the research group that I direct 8 with my colleague Gary Emmert. 9 Q Does all the research you direct go 10 through this group? 11 A Yes. 12 Q And what is the -- the mobile lab, is it 13 actually a mobile lab that goes out in like a truck 14 or something like that, or is this something else? 15 A No, we're actually mobile. We pack up 16 our instruments and we go to various water utilities 17 and set up and conduct week-long monitoring studies 18 or longer at drinking water treatment plants, and 19 these monitoring studies are the longest on record in 20 the field. 21 Q All right, sir. And the work that's done 22 through MAMML is again work relating to drinking</p>	<p>Page 28</p> <p>1 involved in both in a supervisory and direct 2 capacity, correct? 3 A Yes. 4 Q Is that the only project pertaining to 5 searching for chemicals in a plant medium that you've 6 engaged in since you've been at the University of 7 Memphis? 8 A As best as I can recall, yes. 9 Q What about when you were at The Citadel, 10 did you have any projects there that you were 11 involved in either supervising or direct work looking 12 for chemicals in a plant medium? 13 A Not that I can recall. 14 Q Now, Exhibit 2 refers to the development 15 of technology pertaining to contaminants, carcinogens 16 and other chemicals in drinking water. 17 Do you have any patents on any technology 18 that has been developed based on your research? 19 A Yes. 20 Q And how many do you have? 21 A Five or six. 22 Q Are all of those patents in technologies</p>
<p>Page 27</p> <p>1 water? 2 MS. WOOLSON: Objection to form. 3 You can answer. 4 THE WITNESS: It's related to drinking 5 water. It's related to this DMAA project. It's 6 related to whatever we happen to be doing. 7 BY MR. SCOTT: 8 Q All right, sir. Has MAMML taken on any 9 projects pertaining to testing for chemicals in plant 10 medium other than the DMAA project while you've been 11 there? 12 A No, not that I can recall. 13 Q Now, have you been involved either 14 personally during research or in a supervisory role 15 in projects pertaining to testing for chemicals from 16 plant medium other than the DMAA project while you've 17 been at Memphis? 18 A Can you -- I'm sorry. Can you repeat 19 that? 20 Q Sure. I'll try. The DMAA project that 21 you were involved in looking for chemicals in plant 22 medium, i.e., geraniums -- that's what you were</p>	<p>Page 29</p> <p>1 relating to drinking water contaminants? 2 A Say that again. 3 Q Sure. Are all of your patents, the five 4 or six that you have, do they relate to technologies 5 associated or related to contaminants in drinking 6 water? 7 A I would say they are related to 8 technology associated with drinking water 9 disinfection byproducts. 10 Q Do any of those patents relate in any 11 way, shape, fashion or form to DMAA? 12 MS. WOOLSON: Objection to form. 13 You can answer. 14 THE WITNESS: Not directly. 15 BY MR. SCOTT: 16 Q Indirectly? 17 A Yeah. Yes. 18 Q How? 19 A So as a analytical chemist, my, I guess, 20 specialty really comes down to developing new ways 21 for sample handling and sample preparation, and using 22 those in conjunction with calibrations and</p>

Page 30

1 calibration methods to analyze drinking water  
 2 disinfection by-products.  
 3 But in the end, a good analytical  
 4 chemistry is still good analytical chemistry, whether  
 5 it's in drinking water or it's in plants, and it's  
 6 all the things that I learned in developing those  
 7 methods for drinking water. I can apply some of  
 8 those concepts to analysis of 1,3-dimethylamylamine  
 9 in geranium plants.  
 10 Q All right, sir. The patents that you  
 11 have, did you use any of those techniques in  
 12 searching for 1,3 or 1,4-DMAA in the context of  
 13 geraniums?  
 14 MS. WOOLSON: Objection to form.  
 15 You can answer.  
 16 THE WITNESS: Yes.  
 17 BY MR. SCOTT:  
 18 Q Which ones, which patents?  
 19 A There was a patent that used standard  
 20 addition to analyze for trihalomethanes and  
 21 haloacetic acids in a single instrument.  
 22 Q Standard addition meaning what in that

Page 31

1 answer?  
 2 A Standard addition calibration protocol.  
 3 Q Which means what?  
 4 A Are you asking me to explain standard  
 5 addition calibration to you?  
 6 Q Yeah.  
 7 A Okay. So in standard addition, you  
 8 typically have a sample that you suspect may have  
 9 interferences or matrix effects. Usually those  
 10 samples are relatively complex. You may think  
 11 drinking water is not that complex, but it is a  
 12 challenging matrix to work in. The haloacetic acids  
 13 and THMs are at, you know, somewhere between 1 and 80  
 14 part per billion depending on the drinking water  
 15 plant.  
 16 At the University of Memphis and in  
 17 Memphis in general the concentrations of those  
 18 compounds are very low, 1 to 5 parts per billion. At  
 19 a place like the city of Houston, they're higher,  
 20 somewhere around 50 parts per billion for each class.  
 21 And so we use standard addition to  
 22 minimize those effects, and the way we do it is we

Page 32

1 take our sample and we analyze it, and then we take  
 2 another aliquot of that sample and spike in a  
 3 standard and analyze it. And then we take a -- and  
 4 we can take multiple samples, we can do one or  
 5 multiple, and we spike in successively increasing  
 6 standards.  
 7 And you can do standard addition as  
 8 either what amounts to single point standard addition  
 9 where you have a sample and the spike alone, and  
 10 using an algebraic equation, you can determine the  
 11 concentration of that sample while minimizing matrix  
 12 effects. You can also do a graphical standard  
 13 addition, which is essentially what we did in this  
 14 1,3-DMAA project. And, again, that minimizes  
 15 interferences.  
 16 Q Now, the patent that you had that you are  
 17 referring to here regarding standard additions,  
 18 you're not saying that you patented the method of  
 19 standard addition?  
 20 A No.  
 21 Q You're just saying that in the patent you  
 22 used standard addition?

Page 33

1 A We patented a way to do online standard  
 2 addition.  
 3 Q Do you do that online standard addition  
 4 in relation to 1,3 or 1,4-DMAA testing?  
 5 A No.  
 6 MS. WOOLSON: Objection to form.  
 7 You can answer.  
 8 THE WITNESS: No.  
 9 BY MR. SCOTT:  
 10 Q Now, you said that your particular area  
 11 of specialty is analytical chemistry, correct?  
 12 A Correct.  
 13 Q Could you define for me what you mean by  
 14 analytical chemistry?  
 15 A Well, very, very broadly and loosely, the  
 16 determination of chemical species in various  
 17 matrices.  
 18 Q Well, how do you define "analytical  
 19 chemistry" in the context of your specialty, your  
 20 specialization in that?  
 21 MS. WOOLSON: Objection to form. Asked  
 22 and answered.

Page 34	Page 36
<p>1 You can answer.</p> <p>2 THE WITNESS: The determination of</p> <p>3 chemical species in environmental matrices.</p> <p>4 BY MR. SCOTT:</p> <p>5 Q What do you mean by "chemical species"?</p> <p>6 A Chemical compounds such as, but not</p> <p>7 limited to, the nine haloacetic acids that are</p> <p>8 typically present in drinking water, five of which</p> <p>9 are regulated by the USEPA, the 4-trilomethanes that</p> <p>10 are regulated by the USEPA, other compounds that may</p> <p>11 or may not be present and determining whether they're</p> <p>12 present and whether they're not present. You know,</p> <p>13 the analysis of bleach solutions, the analysis of --</p> <p>14 the analysis of bleach solutions for trihalomethanes</p> <p>15 and haloacetic acids and hexavalent chromium.</p> <p>16 In terms of geranium, the analysis of</p> <p>17 1,3-dimethylamylamine and 1,4-dimethylamylamine in</p> <p>18 geranium plants. It's all different chemical species</p> <p>19 that we try to develop to analyze the matrices.</p> <p>20 Q So do you consider yourself having any</p> <p>21 specialization or expertise in phytochemistry?</p> <p>22 A In what?</p>	<p>1 Q Well, generally, when I use the term</p> <p>2 "training," I'm asking you, have you had any</p> <p>3 training. Did you take any coursework in plant</p> <p>4 chemistry in the context of getting any of your</p> <p>5 degrees?</p> <p>6 A No.</p> <p>7 MS. WOOLSON: So you're asking the</p> <p>8 witness if he took a course in plant --</p> <p>9 MR. SCOTT: Well, he's already answered</p> <p>10 the question.</p> <p>11 MS. WOOLSON: That's all right. That's</p> <p>12 fine.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q Have you had any formal training, class</p> <p>15 work relating to botany?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: I had Biology 101 as a</p> <p>19 freshman in college.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q Other than Biology 101 as a freshman in</p> <p>22 college, have you had any botany training?</p>
Page 35	Page 37
<p>1 Q Phytochemistry.</p> <p>2 A What do you mean by "phytochemistry"?</p> <p>3 Q You don't know what the term</p> <p>4 "phytochemistry" means?</p> <p>5 A Yeah, I know what it means, plant</p> <p>6 chemistry, but --</p> <p>7 Q Well, I will ask it that way: Do you</p> <p>8 have any expertise or training in plant chemistry?</p> <p>9 MS. WOOLSON: Objection to form.</p> <p>10 You can answer.</p> <p>11 THE WITNESS: I've got expertise in</p> <p>12 analyzing 1,3-DMAA and 1,4-DMAA in plants.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q Well, let me ask you this: Have you had</p> <p>15 any training in plant chemistry?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: When you say "training," do</p> <p>19 you mean somebody formally advised me like a Ph.D.</p> <p>20 advisor or post-doc advisor training, or do you mean</p> <p>21 using my expertise as an analytical chemist?</p> <p>22 BY MR. SCOTT:</p>	<p>1 MS. WOOLSON: Objection to form.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: I worked as a landscaper in</p> <p>4 high school and college.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Did they give you botany training to work</p> <p>7 as a landscaper?</p> <p>8 MS. WOOLSON: Objection to form.</p> <p>9 You can answer.</p> <p>10 THE WITNESS: You know, you're -- I guess</p> <p>11 I'm trying to answer your question as honestly as I</p> <p>12 can. I mean, I haven't taken any further coursework</p> <p>13 in botany.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Since freshman year Biology 101, you've</p> <p>16 taken no coursework in botany?</p> <p>17 A That's correct.</p> <p>18 Q What courses do you teach at the</p> <p>19 University of Memphis?</p> <p>20 A General chemistry --</p> <p>21 MS. WOOLSON: Currently?</p> <p>22 MR. SCOTT: Yes, currently.</p>

Page 38	Page 40
<p>1 MS. WOOLSON: You can answer.</p> <p>2 THE WITNESS: Right now I teach</p> <p>3 instrumental analysis.</p> <p>4 BY MR. SCOTT:</p> <p>5 Q What is that?</p> <p>6 A It is a class on using instrumentation to</p> <p>7 conduct analytical chemistry.</p> <p>8 Q Since you have been at the University of</p> <p>9 Memphis, have you taught other courses other than</p> <p>10 instrumental analysis?</p> <p>11 A Yes.</p> <p>12 Q What other courses have you taught?</p> <p>13 A I taught General Chemistry I, and two</p> <p>14 advanced analytical classes at the graduate level, at</p> <p>15 the doctorate level. And to be clear, instrumental</p> <p>16 analysis is at the undergrad and graduate level.</p> <p>17 Q All right, sir. The advanced analytical</p> <p>18 chemistry that you've taught, what does that entail?</p> <p>19 A I have taught coursework on mass</p> <p>20 spectroscopy analysis, UV-Vis absorbance analysis,</p> <p>21 and chromatography.</p> <p>22 Q These are techniques or technologies used</p>	<p>1 You can answer.</p> <p>2 THE WITNESS: No.</p> <p>3 BY MR. SCOTT:</p> <p>4 Q And have you had any training in courses</p> <p>5 that pertain to plant biological functions?</p> <p>6 MS. WOOLSON: Object to form.</p> <p>7 You can answer.</p> <p>8 THE WITNESS: No.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q Now, other than the work that you did for</p> <p>11 USP Labs and you published pertaining to that, have</p> <p>12 you published any articles pertaining to testing for</p> <p>13 chemicals in plant medium?</p> <p>14 A No.</p> <p>15 Q Do you currently have any ongoing</p> <p>16 research projects pertaining to identification of</p> <p>17 chemicals in plant medium other than anything that</p> <p>18 you were or are doing pertaining to DMAA?</p> <p>19 A No.</p> <p>20 (Exhibit No. 3 was marked for</p> <p>21 identification.)</p> <p>22 BY MR. SCOTT:</p>
Page 39	Page 41
<p>1 in doing analytical chemistry?</p> <p>2 A Correct.</p> <p>3 Q Have you taught any coursework where the</p> <p>4 subject matter was phytochemistry?</p> <p>5 A I have taught materials on -- related to</p> <p>6 1,3-DMAA and chiral separations, but not specifically</p> <p>7 phytochemistry.</p> <p>8 Q What course did you teach using materials</p> <p>9 pertaining to 1,3-DMAA?</p> <p>10 A It was one of the advanced analytical</p> <p>11 courses, the graduate level courses where I discussed</p> <p>12 not specifically 1,3-DMAA but chiral separations and</p> <p>13 the methods pertaining to those separations.</p> <p>14 Q Have you had any training in any courses</p> <p>15 pertaining to pharmacology?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: No.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q Have you had any training in courses</p> <p>21 relating to plant biological structures?</p> <p>22 MS. WOOLSON: Objection to form.</p>	<p>1 Q All right, sir. You have in front of you</p> <p>2 what's been marked for identification purposes as</p> <p>3 Exhibit 2 --</p> <p>4 MS. WOOLSON: Three.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q I'm sorry, Exhibit 3, you're right. Let</p> <p>7 me start again.</p> <p>8 You have in front of you a document which</p> <p>9 has been marked for identification purposes as</p> <p>10 Exhibit 3 to your deposition. It's a multi-page</p> <p>11 document.</p> <p>12 The first page you see there says</p> <p>13 "Declaration of Paul S. Simone, Jr., Ph.D.," correct?</p> <p>14 A Correct.</p> <p>15 Q All right, sir. And if you would turn</p> <p>16 over in the document to page 49. Let me know when</p> <p>17 you are there. All right?</p> <p>18 A Okay.</p> <p>19 Q Is that your signature over the typed</p> <p>20 line Paul S. Simone, Jr., Ph.D.?</p> <p>21 A Yes.</p> <p>22 Q And you signed this on or around</p>

Page 42

1 October 4th, 2016?

2 A Yes.

3 Q And you understand -- so you signed this

4 document as a declaration, an affidavit swearing to

5 its contents?

6 A Yes.

7 Q All right, sir.

8 Are all of your opinions that you are

9 planning on offering in this case contained in

10 Exhibit 3, your declaration?

11 A Say that again.

12 Q Sure.

13 Do you understand when you prepared the

14 declaration, you were to put in there the opinions

15 you're going to talk about if this goes to trial,

16 right?

17 A Yes.

18 Q Are all of your opinions that you plan to

19 talk about at trial within the document?

20 MS. WOOLSON: Objection to form.

21 You can answer.

22 THE WITNESS: As best as I can estimate.

Page 43

1 I mean, I assume so.

2 BY MR. SCOTT:

3 Q Well, do you know of any other opinions

4 that you have that you may testify about at trial

5 that you didn't put in the document?

6 A Not that I'm planning to.

7 Q I'm not sure what that means, not that

8 you're planning to.

9 A I don't plan to do that. I mean, I

10 don't -- I don't know what's going to happen at

11 trial. I've never been to trial, so...

12 Q Well, sitting here today, is it accurate

13 that you are not aware of any opinions that you may

14 offer at trial that aren't in your declaration,

15 Exhibit 3?

16 A I'm sorry. I missed the first half of

17 the question. Can you state it again?

18 Q Sitting here today, can you tell me of

19 any opinions that you might offer at trial that

20 aren't in Exhibit 3, your declaration?

21 A Are you looking for a "yes" or "no"

22 answer?

Page 44

1 Q Yeah.

2 A I mean, I -- I guess I plan to testify

3 about what's in this document.

4 Q And right now you don't know of anything

5 else you would testify about?

6 A Not off the top of my head.

7 Q Okay. Now, when you were retained to

8 give expert testimony in this case, who contacted

9 you?

10 A Honestly, I can't remember.

11 Q Was it a lawyer?

12 A Probably.

13 Q All right. Do you recall when it was you

14 were retained?

15 A No.

16 Q Do you remember if it was in 2015?

17 A I -- I honestly don't remember.

18 Q Do you recall what you were asked to do

19 as an expert?

20 MS. WOOLSON: Well, I'm going to caution

21 the witness insofar as your question may require him

22 to testify about attorney-client privileged

Page 45

1 communications. Subject to that, you can answer the

2 question.

3 THE WITNESS: Can you repeat the

4 question?

5 BY MR. SCOTT:

6 Q Well, let me ask it this way: At some

7 point you sat down and wrote this report, right?

8 A (The witness nods.)

9 Q You have to say "yes" for her.

10 A Oh. Yes.

11 Q And when you sat down to write the

12 report, what was it that you had in mind that you

13 were supposed to put in a report? What were you to

14 address?

15 MS. WOOLSON: Objection. Form.

16 You can answer the questions, plural.

17 THE WITNESS: What was in this report,

18 like stuff in this report.

19 BY MR. SCOTT:

20 Q Okay. Well, did somebody ask you to

21 cover certain topics in the report, or did you just

22 make it up as you went along without any direction?

Page 46	Page 48
<p>1 A Oh, oh --</p> <p>2 MS. WOOLSON: Objection to form, compound</p> <p>3 question, calls for the disclosure of attorney-client</p> <p>4 privileged communication, and misstates facts in</p> <p>5 evidence.</p> <p>6 Subject to all of that, you can answer</p> <p>7 the question.</p> <p>8 MR. SCOTT: So let me just be clear. Are</p> <p>9 you saying that he is your client?</p> <p>10 MS. WOOLSON: He's our expert.</p> <p>11 MR. SCOTT: So how are conversations with</p> <p>12 somebody who is not your client attorney-client</p> <p>13 communications?</p> <p>14 MS. WOOLSON: Counsel, you are perfectly</p> <p>15 capable of understanding the Rules of Civil Procedure</p> <p>16 and knowing why I --</p> <p>17 MR. SCOTT: Oh, I am, yes, I agree.</p> <p>18 MS. WOOLSON: And so am I.</p> <p>19 MR. SCOTT: But that's not a well-founded</p> <p>20 objection.</p> <p>21 MS. WOOLSON: So you can understand the</p> <p>22 objection that I made, and your attitude is duly</p>	<p>1 like -- I've been told it's a fact and expert, but --</p> <p>2 Q So you were told it's a fact and an</p> <p>3 expert deposition?</p> <p>4 A Yes.</p> <p>5 MS. WOOLSON: And your question related</p> <p>6 specifically to his declaration as an expert. So --</p> <p>7 MR. SCOTT: Sure. And now I'm asking a</p> <p>8 different question.</p> <p>9 MS. WOOLSON: Well, let's just be clear</p> <p>10 that that's what you're doing.</p> <p>11 MR. SCOTT: Well, I think the record is</p> <p>12 pretty clear what I asked. And I would ask you to</p> <p>13 please watch your attitude.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Now, the question I had, sir, is, is</p> <p>16 anybody here representing you in your personal</p> <p>17 capacity as a fact witness?</p> <p>18 A I don't know.</p> <p>19 Q Well, did you say to anybody, I'm hiring</p> <p>20 you to be my lawyer for my fact deposition?</p> <p>21 A No.</p> <p>22 Q Okay. Now, in relation to the expertise</p>
Page 47	Page 49
<p>1 noted and not welcomed.</p> <p>2 MR. SCOTT: Well, I have no attitude</p> <p>3 about this. I'm trying to understand how you can be</p> <p>4 making the objection that you are making, which I</p> <p>5 don't think is well-founded. So obviously --</p> <p>6 MS. WOOLSON: Well, that's your opinion,</p> <p>7 but you and I both know the law as it applies to</p> <p>8 attorneys and clients and experts and what is and</p> <p>9 isn't proper.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q Well, let me ask you, you're here to</p> <p>12 testify, you realize, in your personal capacity as</p> <p>13 well as an expert, right?</p> <p>14 A Yes. But hold on. I'm -- my</p> <p>15 understanding is I'm here to testify as an expert</p> <p>16 witness related to my expertise in analytical</p> <p>17 chemistry as it relates to the presence of</p> <p>18 1,3-dimethylamylamine in geranium plants.</p> <p>19 Q So you weren't told you're also appearing</p> <p>20 in your own individual capacity as Paul S. Simone and</p> <p>21 not as Paul S. Simone, the expert?</p> <p>22 A I -- honestly, I'm not a lawyer, and</p>	<p>1 that you have shared with us in your report,</p> <p>2 Exhibit 3, what do you believe that expertise to be?</p> <p>3 MS. WOOLSON: Objection to form, asked</p> <p>4 and answered.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: The analytical chemistry of</p> <p>7 1,3-DMAA in geranium plants.</p> <p>8 BY MR. SCOTT:</p> <p>9 Q All right. And the basis of your</p> <p>10 testimony regarding the analytical chemistry of</p> <p>11 1,3-DMAA in geraniums plants, is that based on the</p> <p>12 work that you did for USP Labs?</p> <p>13 A Yes. And the work that I've continued</p> <p>14 since the funding from USP Labs stopped.</p> <p>15 Q Which was a continuation of work that you</p> <p>16 had started for USP Labs.</p> <p>17 MS. WOOLSON: Objection to form.</p> <p>18 You can answer.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q Correct?</p> <p>21 A I had two contracts from them. One was a</p> <p>22 simple analysis contract, and the other was the</p>

<p>Page 50</p> <p>1 contract for NMR and chiral separation.</p> <p>2 Q All right. And the work that you said</p> <p>3 that you were doing through this summer, which was a</p> <p>4 continuation of work that you had started for USP</p> <p>5 Labs, that was relating to the NMR and chiral</p> <p>6 separation contract?</p> <p>7 A Yes.</p> <p>8 Q All right. So that was work that,</p> <p>9 although you were doing it through the summer without</p> <p>10 being paid by USP Labs, it was a continuation of work</p> <p>11 you had started for USP Labs?</p> <p>12 A Yes.</p> <p>13 Q Now, the first contract that you had with</p> <p>14 USP Labs, how did you describe that? It was a simple</p> <p>15 something -- of a contract?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q Well, let me back up.</p> <p>19 The first contract that you had with USP</p> <p>20 Labs, what was the scope of work that it covered?</p> <p>21 A So there is a scope of work document, but</p> <p>22 to the best of my -- well, actually, do you have the</p>	<p>Page 52</p> <p>1 ask you if that's an article that you were involved</p> <p>2 in preparing and having published.</p> <p>3 A (Perusing document.)</p> <p>4 Yes.</p> <p>5 Q All right. And does this article</p> <p>6 describe the work that you did for USP Labs in</p> <p>7 testing samples of geraniums that you were provided</p> <p>8 for 1,3- and 1,4-DMAA?</p> <p>9 MS. WOOLSON: Objection to form.</p> <p>10 You can answer.</p> <p>11 THE WITNESS: Yes.</p> <p>12 BY MR. SCOTT:</p> <p>13 Q And who is -- the other authors that are</p> <p>14 listed here, who is Heather L. Fleming?</p> <p>15 A She was my first Ph.D. student who has</p> <p>16 successfully defended her Ph.D., now Dr. Fleming.</p> <p>17 Q And then Patricia Ranaivo?</p> <p>18 A Ranaivo.</p> <p>19 Q Who is she?</p> <p>20 A She was the postdoctoral fellow that was</p> <p>21 funded by the Department of Chemistry at the</p> <p>22 University of Memphis as part of my startup package.</p>
<p>Page 51</p> <p>1 scope of work document?</p> <p>2 Q Well, I don't know. But I'm asking you a</p> <p>3 question: Can you tell me what the scope of work</p> <p>4 was?</p> <p>5 A As best as I can recall without the</p> <p>6 written contractual scope of work in front of me, it</p> <p>7 was to analyze geranium plants for 1,3-DMAA and</p> <p>8 1,4-DMAA.</p> <p>9 Q Now, when you say "analyze geranium</p> <p>10 plants for 1,3-DMAA and 1,4-DMAA," what do you mean</p> <p>11 by "analyze"?</p> <p>12 A I directed and developed a method for</p> <p>13 analysis of 1,3- and 1,4-DMAA concentrations in</p> <p>14 geranium plants based off a method developed by</p> <p>15 Intertek Laboratories.</p> <p>16 (Exhibit No. 4 was marked for</p> <p>17 identification.)</p> <p>18 BY MR. SCOTT:</p> <p>19 Q All right, sir. You've been handed</p> <p>20 what's been marked for identification purposes as</p> <p>21 Exhibit 4 to your deposition. It's a multi-page</p> <p>22 document running from page 59 through 78. And I will</p>	<p>Page 53</p> <p>1 She is also a graduate of the Analytical Mobile</p> <p>2 Monitoring and Miniaturization Laboratory with her</p> <p>3 Ph.D.</p> <p>4 Q All right. So now in relation to the</p> <p>5 expertise that you bring to the table regarding your</p> <p>6 expert report and testimony, do you believe you are</p> <p>7 an expert in FDA regulations?</p> <p>8 MS. WOOLSON: Objection to form.</p> <p>9 You can answer.</p> <p>10 THE WITNESS: No.</p> <p>11 BY MR. SCOTT:</p> <p>12 Q All right, sir. In relation to your work</p> <p>13 as an expert here, have you done any analysis to</p> <p>14 support any conclusions regarding whether taking</p> <p>15 DMAA, either in its 1,3 or 1,4 form, causes health</p> <p>16 problems or risks for humans?</p> <p>17 A No.</p> <p>18 Q And you have no opinion on that topic?</p> <p>19 MS. WOOLSON: You mean as an expert?</p> <p>20 MR. SCOTT: Yes.</p> <p>21 MS. WOOLSON: You can answer.</p> <p>22 THE WITNESS: No. And I don't believe I</p>



Page 54

1 addressed it in my expert report.  
 2 BY MR. SCOTT:  
 3 Q Well, if you would, look at your article  
 4 there, Exhibit 4.  
 5 A Okay.  
 6 Q And over on the introduction portion of  
 7 the article -- now, let me back up a minute before we  
 8 get into specific questions about this.  
 9 This article was published based on the  
 10 work that you did for USP Labs?  
 11 A Yes.  
 12 Q Did USP Labs pay you to prepare the  
 13 article?  
 14 A They funded the work to --  
 15 Q Did they -- I'm sorry. Go ahead. I  
 16 don't want to cut you off.  
 17 A I mean they funded the work to do -- to  
 18 do the analysis.  
 19 Q All right. Well, in relation to the  
 20 preparation of the article, for example, did they pay  
 21 you an hourly rate to prepare this article?  
 22 A No.

Page 55

1 Q Now, in the introduction portion of your  
 2 article, it says: "There has been significant  
 3 discussion of 1,3-dimethylamylamine, 1,3-DMAA, and  
 4 the literature concerning the presence of 1,3-DMAA in  
 5 geranium plants, pelargonium graveolens."  
 6 Do you see that?  
 7 A I do.  
 8 Q And was your awareness of there being any  
 9 discussion regarding the presence of 1,3-DMAA in  
 10 geraniums, did it come to you in the context of doing  
 11 this work for USP Labs?  
 12 A Yes.  
 13 Q And then it goes on to say: "1,3-DMAA,  
 14 also known as 4-methyl-2-hexanone MHA,  
 15 1,3-dimethylpentylamine or 2-amino-4-methylhexane can  
 16 be labeled as geranium extract in dietary  
 17 supplements."  
 18 Do you see that?  
 19 A Yes.  
 20 Q Where did you get that information?  
 21 A Most likely reference number 7.  
 22 Q And reference number 7 is what?

Page 56

1 A "United States Food and Drug  
 2 Administration, FDA, challenges marketing of DMAA  
 3 products for lack of safety evidence. FDA news  
 4 release April 27, 2012, accessed" -- well, there is a  
 5 website from their newsroom press announcements  
 6 accessed August 10, 2012.  
 7 Q And then it goes on to say: "Confirming  
 8 the presence or absence of 1,3-DMAA as a natural  
 9 product in geranium plants has important regulatory  
 10 and commercial consequences for many dietary  
 11 supplement companies."  
 12 Referring back to that same reference,  
 13 correct?  
 14 A Yes.  
 15 Q What was your understanding of the  
 16 potential commercial consequences of not finding DMAA  
 17 in geranium plants for dietary supplement companies?  
 18 MS. WOOLSON: Objection to form.  
 19 You can answer.  
 20 THE WITNESS: My understanding is that  
 21 under the -- I think it's the -- well, let's see, I  
 22 think it's in here. The name always gets me. It's

Page 57

1 the Dietary Health and Supplement Education Act of, I  
 2 think 1994, something like that, or '96, one of those  
 3 two. It discusses how dietary supplements can be  
 4 marketed and -- as it relates to -- and whether they  
 5 are a naturally occurring product or not. And  
 6 that's -- like to be clear, that's like off the top  
 7 of my head. It's been a while since I looked at that  
 8 regulation.  
 9 BY MR. SCOTT:  
 10 Q Well, when you were doing the testing of  
 11 geranium material for USP Labs, did you have an  
 12 understanding of what the potential impact would be  
 13 on USP Labs depending on your findings?  
 14 MS. WOOLSON: Objection to form.  
 15 You can answer.  
 16 THE WITNESS: Not specifically, no.  
 17 BY MR. SCOTT:  
 18 Q Did you have a general understanding of  
 19 USP Labs would not think it was a good result if you  
 20 didn't find DMAA when you were testing geranium  
 21 material?  
 22 MS. WOOLSON: Objection to form.

Page 58	Page 60
<p>1 You can answer.</p> <p>2 THE WITNESS: Repeat the question.</p> <p>3 BY MR. SCOTT:</p> <p>4 Q Sure.</p> <p>5 When you were doing the testing of the</p> <p>6 samples that you were provided for USP Labs to see if</p> <p>7 you could find DMAA, did USP Labs tell you they were</p> <p>8 hoping you would find it?</p> <p>9 A I don't think so. Not that I can recall.</p> <p>10 Q Did they tell you why they were looking</p> <p>11 for it?</p> <p>12 A Not that I can recall.</p> <p>13 Q Now, in the work that you were doing for</p> <p>14 USP Labs, who was your contact?</p> <p>15 A Erik White.</p> <p>16 Q And who is Mr. White?</p> <p>17 A As far as I know, he works for USP Labs.</p> <p>18 Q Do you know what his position was?</p> <p>19 A No.</p> <p>20 Q Did you, in doing the work that you did</p> <p>21 for USP Labs, have any direct contact with any other</p> <p>22 USP Labs employee?</p>	<p>1 BY MR. SCOTT:</p> <p>2 Q Sure. Before you entered into a contract</p> <p>3 with USP Labs, did you investigate the company at</p> <p>4 all?</p> <p>5 A Probably.</p> <p>6 Q Do you recall doing that?</p> <p>7 A Not specifically.</p> <p>8 Q And if you had done that, would any</p> <p>9 documentation of that be in your file?</p> <p>10 A No. I probably looked it up on the</p> <p>11 internet.</p> <p>12 Q But you don't even remember doing that</p> <p>13 specifically?</p> <p>14 A Not specifically.</p> <p>15 (Exhibit No. 5 was marked for</p> <p>16 identification.)</p> <p>17 BY MR. SCOTT:</p> <p>18 Q All right, sir. Before we get into the</p> <p>19 document, could you look in your report a minute,</p> <p>20 Exhibit 3.</p> <p>21 And if you would, turn to paragraph 41 on</p> <p>22 page 73.</p>
Page 59	Page 61
<p>1 A Not that I can recall or know of.</p> <p>2 Q All right, sir. Had you ever done any --</p> <p>3 had you ever heard of USP Labs prior to doing the</p> <p>4 testing of the geranium materials that you were</p> <p>5 provided?</p> <p>6 MS. WOOLSON: Objection to form.</p> <p>7 You can answer.</p> <p>8 THE WITNESS: Beyond e-mails with them</p> <p>9 when we were setting up the contract, no.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q Well, prior to them -- you being</p> <p>12 contacted and the contacts leading up to you setting</p> <p>13 up the contract, had you heard of them?</p> <p>14 A No.</p> <p>15 Q When you were approached by USP Labs, did</p> <p>16 you take any steps to investigate the company, find</p> <p>17 out who they were, the type of business, scope of</p> <p>18 business, anything like that?</p> <p>19 MS. WOOLSON: Objection to form.</p> <p>20 You can answer.</p> <p>21 THE WITNESS: What was the first half of</p> <p>22 that?</p>	<p>1 A What page?</p> <p>2 Q I'm sorry, it's paragraph 73 on page 41.</p> <p>3 Now, if you would read through</p> <p>4 paragraph 73, the whole thing, and let me know when</p> <p>5 you are done, and then I will have a few questions</p> <p>6 for you. All right?</p> <p>7 A (Perusing document.)</p> <p>8 Okay.</p> <p>9 Q All right, sir. Now, paragraph 73 on</p> <p>10 page 41 of Exhibit 3, that's something that you</p> <p>11 wrote, correct?</p> <p>12 A (The witness nods.)</p> <p>13 Q You have to say "yes" or "no" for her.</p> <p>14 A Yes.</p> <p>15 Q And at the top there, it says: "I can</p> <p>16 provide insight into our research. I was approached</p> <p>17 by USP Labs to conduct the analysis of 1,3-DMAA and</p> <p>18 1,4-DMAA in some geranium samples as an independent</p> <p>19 laboratory."</p> <p>20 Do you see that?</p> <p>21 A I do.</p> <p>22 Q And was that the scope of your initial</p>

Page 62	Page 64
<p>1 assignment with USP Labs?</p> <p>2 A As best as I can recall.</p> <p>3 Q That's what you put in your report and</p> <p>4 swore to anyway?</p> <p>5 A Yeah, that is. That's correct.</p> <p>6 Q And in relation -- and that testing that</p> <p>7 you -- the reference there in paragraph 73 of your</p> <p>8 report is the testing that you then described the</p> <p>9 outcome of in Exhibit 5, your -- I'm sorry,</p> <p>10 Exhibit 4, the article that you had published,</p> <p>11 correct?</p> <p>12 A Correct.</p> <p>13 Q Now, below that it says: "Prior to my</p> <p>14 contract with USP Labs, I had never heard of them or</p> <p>15 the controversy surrounding DMAA."</p> <p>16 Do you see that?</p> <p>17 A Yes.</p> <p>18 Q And that's to the best of your</p> <p>19 recollection?</p> <p>20 A Yes.</p> <p>21 Q How did they approach you? How did they</p> <p>22 find you?</p>	<p>1 A I do.</p> <p>2 Q Who is Gary Emmert?</p> <p>3 A He is my Ph.D. advisor and colleague at</p> <p>4 the University of Memphis, and he's now the chair of</p> <p>5 the department.</p> <p>6 Q Was he the chair of the department at</p> <p>7 this time back in 2011?</p> <p>8 A No.</p> <p>9 Q Then it goes on to say that Drs. Emmert</p> <p>10 and Simone -- well, first of all, let me back up.</p> <p>11 Who is Richard Bloomer?</p> <p>12 A He is -- at the time he was a professor</p> <p>13 in another department. I think exercise science,</p> <p>14 something along those lines.</p> <p>15 Q Another department at the University of</p> <p>16 Mississippi?</p> <p>17 A Yes -- no, at the University of Memphis.</p> <p>18 Q I'm sorry. University of Memphis.</p> <p>19 Okay. Had you dealt with him before</p> <p>20 receiving this e-mail?</p> <p>21 A Not that I can recall.</p> <p>22 Q All right, sir. And it goes on to say --</p>
Page 63	Page 65
<p>1 MS. WOOLSON: Objection to form.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: I'm sure it's in this</p> <p>4 e-mail.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Well, let's look over the e-mail. You</p> <p>7 have in front of you what's been marked for</p> <p>8 identification purposes as Exhibit 5 to your</p> <p>9 deposition. It's a multi-page exhibit bearing</p> <p>10 identification numbers UMPS-HT-004885 through 4887,</p> <p>11 and I will ask you to take a look through that. Look</p> <p>12 through all of it, and then let me know when you're</p> <p>13 done, and then we will have some questions. All</p> <p>14 right?</p> <p>15 A (The witness nods.)</p> <p>16 (Perusing document.)</p> <p>17 Okay.</p> <p>18 Q All right, sir. If you would look at the</p> <p>19 last page of that Exhibit 5 where there is an e-mail</p> <p>20 from Richard J. Bloomer, dated December 12, 2011, to</p> <p>21 a Gary Emmert, E-M-M-E-R-T, and yourself.</p> <p>22 Do you see that?</p>	<p>1 or the e-mail from Dr. Bloomer: "We do work for a</p> <p>2 nutraceutical/dietary supplement company which has</p> <p>3 the need for sample analysis as indicated below.</p> <p>4 Would either of you have the interest and ability to</p> <p>5 do such testing? If not, would you know others in</p> <p>6 your department who may? Any help would be much</p> <p>7 appreciated. Thank you and regards, Rick Bloomer."</p> <p>8 Do you see that?</p> <p>9 A Mm-hmm.</p> <p>10 Q Yes?</p> <p>11 A Yes.</p> <p>12 Q Did you have any conversations with</p> <p>13 Dr. Bloomer regarding this potential assignment?</p> <p>14 A Not that I can recall.</p> <p>15 Q Now, the description of work down there</p> <p>16 says that we need either LC/MS/MS or GC/MS analysis</p> <p>17 for 1,3-dimethylpentylamine (small volatile amine) in</p> <p>18 a sample matrix of geranium oil."</p> <p>19 Do you see that?</p> <p>20 A Yes.</p> <p>21 Q Is this the first time you had ever heard</p> <p>22 of that chemical?</p>

Page 66	Page 68
<p>1 A Yes.</p> <p>2 Q And it goes on to say that: "We already</p> <p>3 have a validated method for the determination and</p> <p>4 quantitation of 1,3-dimethylpentylamine," parens,</p> <p>5 "(CAS No. 105-41-9) in geranium oil and geranium</p> <p>6 plant tissue using LC/MS/MS, so we can supply the</p> <p>7 method for them which will be a major part of the</p> <p>8 work."</p> <p>9 Do you see that?</p> <p>10 A Yes.</p> <p>11 Q And then it goes on to say: "I would</p> <p>12 like to pass along the full method, but we'd have to</p> <p>13 have an NDA in place first. As far as samples, I</p> <p>14 would expect 5-10 samples of geranium oil and</p> <p>15 perhaps 3-5 samples of geranium plant. As far as</p> <p>16 cost, \$100-300 per sample."</p> <p>17 Do you see that?</p> <p>18 A Yes.</p> <p>19 Q Now, above that, it says: "Richard, I am</p> <p>20 definitely interested in working with you on these</p> <p>21 sample analyses. However, I need to know some</p> <p>22 analysis details before I can agree to the work and a</p>	<p>1 going to have to budget it in the contract to do it.</p> <p>2 Q Well, did you have in place the type of</p> <p>3 equipment, chemicals, glassware and whatnot to do</p> <p>4 this type of testing?</p> <p>5 A Yes. Let me back up. So without knowing</p> <p>6 what specifically was required and the method, the</p> <p>7 description of work was pretty broad. And so it was</p> <p>8 either they were going to provide it as in Rick</p> <p>9 Bloomer or the company or they were going to provide</p> <p>10 me the money to purchase what I needed. I mean</p> <p>11 chemicals, glassware and materials is pretty broad.</p> <p>12 Q All right. In dealing with Mr. White,</p> <p>13 did he ever use any other name?</p> <p>14 A No.</p> <p>15 Q Have you ever heard of anyone named Sy</p> <p>16 Wilson?</p> <p>17 A No.</p> <p>18 Q Why the grin?</p> <p>19 A It was on the subpoena that I received</p> <p>20 from the -- I think it was the FDA from -- I think it</p> <p>21 was Mr. Harlow.</p> <p>22 Q And do you know who Sy Wilson is?</p>
Page 67	Page 69
<p>1 price per sample. A couple of questions that you can</p> <p>2 answer without a need of an NDA are: What type of</p> <p>3 timetable are you looking at for this sample</p> <p>4 analysis? And do you have the chemicals, glassware,</p> <p>5 and materials needed to do the analysis?"</p> <p>6 Do you see that?</p> <p>7 A Yes.</p> <p>8 Q Why was it a concern for you regarding</p> <p>9 the time frame?</p> <p>10 A Any time I've worked with a company on</p> <p>11 doing research and development work and contracts in</p> <p>12 general, my big question is always how fast do they</p> <p>13 want it, because their idea of fast and my idea of</p> <p>14 fast may be different.</p> <p>15 Q All right. And it goes on to say: "And</p> <p>16 two, do you have the chemicals, glassware and</p> <p>17 materials needed to do the analysis?"</p> <p>18 Do you see that?</p> <p>19 A Yes.</p> <p>20 Q Why were you asking that?</p> <p>21 A Did they -- you know, did they have the</p> <p>22 materials in place already for me to do it or was I</p>	<p>1 A No.</p> <p>2 Q Now, if you look at the first page of</p> <p>3 that exhibit, it says: "As much as I would like to</p> <p>4 agree to do the analysis work, the time frame of</p> <p>5 analysis makes it very difficult for us to do. The</p> <p>6 typical amount of time it takes to learn a new method</p> <p>7 is longer than 1-2 weeks, and the cost to pay a</p> <p>8 student and buy the materials would approach the cost</p> <p>9 of a typical contract analysis lab."</p> <p>10 Do you see that?</p> <p>11 A I do.</p> <p>12 Q What are you referring to there by</p> <p>13 "typical contract analysis lab"?</p> <p>14 A So a contract lab is going to have a lot</p> <p>15 of things in place, like already kind of like general</p> <p>16 needs in place already. And we don't typically</p> <p>17 operate as a contract lab. And so when I do, I have</p> <p>18 a higher price tag than a typical lab and I need more</p> <p>19 time.</p> <p>20 Q Were you seeing this project as putting</p> <p>21 you in a position where you would be acting like a</p> <p>22 contract lab?</p>

Page 70

1 MS. WOOLSON: Objection to form.  
 2 You can answer.  
 3 THE WITNESS: I mean they specified a  
 4 contract for a price per sample analysis, and that's  
 5 kind of what I think of when I think of contract lab.  
 6 BY MR. SCOTT:  
 7 Q All right, sir. And in the response,  
 8 Erik White says in the e-mail: "Could you suggest  
 9 what a reasonable time frame and cost would be?  
 10 We're open on this. Also, would you anticipate  
 11 publication of these data? It's something we're  
 12 interested in."  
 13 Do you see that?  
 14 A Yes.  
 15 Q Now, did you have any conversations with  
 16 him after receiving this e-mail regarding why they  
 17 wanted you to do it as opposed to a contract lab,  
 18 which you indicated would already be set up to go  
 19 ahead and do this type of testing?  
 20 A Not that I can recall.  
 21 Q Do you recall discussing with anyone why  
 22 they picked you out to do this as opposed to a

Page 71

1 contract lab or some other university?  
 2 MS. WOOLSON: Objection to form.  
 3 You can answer.  
 4 THE WITNESS: Repeat the question.  
 5 BY MR. SCOTT:  
 6 Q Sure. Did you have any conversation with  
 7 anyone regarding why you were chosen by USP Labs to  
 8 do this work as opposed to a contract lab or some  
 9 other institution?  
 10 A Not that I can recall.  
 11 Q Did you ever wonder about that?  
 12 A No.  
 13 Q Now, do you know how much work  
 14 Mr. Bloomer had been doing for USP labs?  
 15 A I don't believe at the time I did. Not  
 16 specifics.  
 17 Q Did you learn how much work Dr. Bloomer  
 18 had done for USP Labs at some point?  
 19 A No.  
 20 Q Was the work that Dr. Bloomer was doing  
 21 for USP Labs, was that paid for directly into  
 22 Dr. Bloomer or was it paid into the university in

Page 72

1 some form?  
 2 A I have no idea. I don't know how he set  
 3 it up.  
 4 Q All right. Do you know how much USP Labs  
 5 paid Dr. Bloomer for his work?  
 6 A No.  
 7 Q Now, the work that you did for USP Labs,  
 8 at least this initial lab where you were testing  
 9 these samples, was that paid to you directly or to  
 10 the university?  
 11 A To the university. The contract was  
 12 through the university and administered both by  
 13 research support services and the accounting office.  
 14 They handled payments and certification of time and  
 15 all that.  
 16 Q Now, in relation to your initial work in  
 17 testing these samples, you said here in your report,  
 18 page 41, exhibit -- paragraph 73: "I can provide  
 19 insight. I was approached by USP Labs to conduct the  
 20 analysis of 1,3-DMAA and 1,4-DMAA in geranium samples  
 21 as an independent laboratory."  
 22 What do you mean there by "independent

Page 73

1 laboratory"?  
 2 A A laboratory that had no affiliation with  
 3 them.  
 4 Q And when you started the work, though,  
 5 you knew that they wanted to publish the outcome?  
 6 MS. WOOLSON: Objection to form.  
 7 You can answer.  
 8 THE WITNESS: I mean, they stated that in  
 9 the e-mail.  
 10 BY MR. SCOTT:  
 11 Q Now, when you say here in your report  
 12 that they wanted you to conduct the analysis of  
 13 1,3-DMAA and 1,4-DMAA, what did you understand that  
 14 analysis to consist of?  
 15 A I'm sorry. Can you repeat the question?  
 16 Q Sure. Well, let me ask it a slightly  
 17 different way.  
 18 You said in your report you were  
 19 approached by USP Labs to conduct the analysis of  
 20 1,3-DMAA and 1,4-DMAA in some geranium samples. Do  
 21 you see that?  
 22 A Yes.

Page 74	Page 76
<p>1 Q What do you mean there by "analysis" in</p> <p>2 the context of what you were asked to do by USP Labs?</p> <p>3 A What was I asked to do?</p> <p>4 Q Yeah.</p> <p>5 A Take the Intertek lab method and run with</p> <p>6 it, and analyze 1,3 and 1,4-DMAA in geranium plants.</p> <p>7 Q In other words, to test geranium plants</p> <p>8 and see if you could identify the presence of 1,3 or</p> <p>9 1,4-DMAA.</p> <p>10 A It was -- I wouldn't characterize it that</p> <p>11 way. I would say that we were asked to do -- to</p> <p>12 determine -- to determine -- I guess -- I'm sorry.</p> <p>13 Your question is worded oddly for me.</p> <p>14 So we were asked to do the analysis for</p> <p>15 1,3 and 1,4-DMAA and report our results.</p> <p>16 Q And the results were primarily whether</p> <p>17 you found 1,3 or 1,4-DMAA or not in the geranium</p> <p>18 samples using the Intertek procedure?</p> <p>19 A Well, the -- if -- I guess, yes. If the</p> <p>20 concentrations were there, then we report what they</p> <p>21 were, you know, if they were above the method</p> <p>22 detection limit. If they were not there, then we</p>	<p>1 MS. WOOLSON: I said, Objection to form.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: Are you referring to the</p> <p>4 standard addition method?</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Yeah.</p> <p>7 A So in the -- what was the question again?</p> <p>8 Q Sure. You found -- you tested the</p> <p>9 samples that you were provided by USP Labs, and some</p> <p>10 of them you said did not reflect any DMAA. So,</p> <p>11 therefore, you said it wasn't there at the minimum</p> <p>12 detection limit.</p> <p>13 Does that mean it may be there below the</p> <p>14 minimum detection limit?</p> <p>15 A It's possible.</p> <p>16 Q Did you test to see?</p> <p>17 A So, if I recall correctly, we had no</p> <p>18 evidence to suggest that they -- the concentrations</p> <p>19 might have been there below the detection limit, and</p> <p>20 we did not pursue it any further.</p> <p>21 Q All right, sir. Now, in the course of</p> <p>22 doing your work for USP Labs and testing for DMAA in</p>
Page 75	Page 77
<p>1 would report it as less than method detection limit.</p> <p>2 Q What do you mean by the term "method</p> <p>3 detection limit"?</p> <p>4 A That is the lowest concentration that can</p> <p>5 be distinguished from the noise in the analysis.</p> <p>6 Q Now, in relation to the samples that you</p> <p>7 tested for using the Intertek procedure for USP Labs</p> <p>8 that are reported in exhibit -- the article itself,</p> <p>9 were there some of those that you did not detect</p> <p>10 DMAA?</p> <p>11 MS. WOOLSON: Objection to form.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: Yes.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q And with those, did you do any exercise</p> <p>16 using addition procedures to check and see if there</p> <p>17 was any evidence that it may be there at below the</p> <p>18 MDL?</p> <p>19 MS. WOOLSON: Objection to form.</p> <p>20 You can answer.</p> <p>21 THE WITNESS: Are you -- well, what was</p> <p>22 that?</p>	<p>1 geranium samples, did you obtain reference samples?</p> <p>2 A What do you mean?</p> <p>3 Q I mean, did you get a reference from</p> <p>4 somewhere to use for your testing as a standard for</p> <p>5 your testing?</p> <p>6 MS. WOOLSON: Objection to form.</p> <p>7 You can answer.</p> <p>8 BY MR. SCOTT:</p> <p>9 Q A reference sample, did you get a --</p> <p>10 A Are you talking like a -- are you asking</p> <p>11 about a plant that had a known concentration of</p> <p>12 1,3-DMAA in it?</p> <p>13 Q Did you go out to a chemical company and</p> <p>14 buy DMAA?</p> <p>15 A Yes.</p> <p>16 Q And for what purpose?</p> <p>17 A To be a standard that we used for</p> <p>18 calibration of 1,3-DMAA for the analysis.</p> <p>19 Q And where did you get it from?</p> <p>20 A Let's see. Pharmakon USA.</p> <p>21 Q Was the reference sample that you</p> <p>22 obtained to use in your testing synthetic DMAA?</p>

Page 78	Page 80
<p>1 A As far as I'm aware, it is.</p> <p>2 Q Did you try to get a naturally produced</p> <p>3 version of DMAA to use as a reference sample?</p> <p>4 A To the best of my knowledge, that's -- I</p> <p>5 don't know if that's available or not.</p> <p>6 Q Did you look for a naturally --</p> <p>7 A I don't recall.</p> <p>8 Q -- produced version of DMAA to use as a</p> <p>9 reference?</p> <p>10 A I don't recall.</p> <p>11 Q Why do you think naturally occurring DMAA</p> <p>12 is not available as a reference sample?</p> <p>13 MS. WOOLSON: Objection to form. Calls</p> <p>14 for speculation.</p> <p>15 You can answer.</p> <p>16 THE WITNESS: Well, can you repeat the</p> <p>17 question?</p> <p>18 BY MR. SCOTT:</p> <p>19 Q Why do you think that a naturally</p> <p>20 produced version of DMAA is not available to use as a</p> <p>21 reference sample?</p> <p>22 A I don't know.</p>	<p>1 referenced some things in the paper I published,</p> <p>2 and --</p> <p>3 Q Well, you know that Eli Lilly had a</p> <p>4 patent on DMAA that was issued in the 1940s, correct?</p> <p>5 A Yes.</p> <p>6 Q Do you recall finding any reference to</p> <p>7 DMAA in existence as a chemical compound prior to</p> <p>8 that patent being issued?</p> <p>9 A Not that I'm aware of.</p> <p>10 Q Now, in the context of the testing that</p> <p>11 you did for USP Labs that shows up in your Exhibit 4,</p> <p>12 your article, did USP Labs as part of the exercise</p> <p>13 that you were hired for ask you to take steps to</p> <p>14 determine if DMAA was naturally produced by the</p> <p>15 geranium plant?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: I don't understand.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q Sure. Let me ask it again.</p> <p>21 You were approached by USP Labs and USP</p> <p>22 Labs asked you to test certain samples of geraniums</p>
Page 79	Page 81
<p>1 Q But you just don't think it is?</p> <p>2 A I -- I don't know.</p> <p>3 Q You're not aware of it being -- anywhere</p> <p>4 that you can get a naturally occurring or naturally</p> <p>5 produced version of DMAA to use as a reference</p> <p>6 sample?</p> <p>7 MS. WOOLSON: Objection to form.</p> <p>8 You can answer.</p> <p>9 THE WITNESS: No, if it exists, I'm</p> <p>10 unaware of it.</p> <p>11 BY MR. SCOTT:</p> <p>12 Q Now, in relation to the work that you did</p> <p>13 on DMAA, did you do literature research regarding the</p> <p>14 chemical studies that were relating to the chemical</p> <p>15 or anything else?</p> <p>16 A What do you mean?</p> <p>17 Q I mean did you do any literature release</p> <p>18 search as part of your work for USP Labs?</p> <p>19 A More than likely.</p> <p>20 Q But you don't recall having done it</p> <p>21 specifically?</p> <p>22 A It was like five years ago. I mean I</p>	<p>1 to see if you could locate the existence of DMAA in</p> <p>2 those samples based on the Intertek process and</p> <p>3 protocol, right?</p> <p>4 A Yes.</p> <p>5 Q Did they also ask you as part of that</p> <p>6 exercise to determine if that geranium -- DMAA, if</p> <p>7 you found it in a geranium plant, if it was something</p> <p>8 that the geranium plant naturally produced as part of</p> <p>9 its biological functions?</p> <p>10 A No.</p> <p>11 MS. WOOLSON: Objection to form.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: No.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Did they ever ask you to do that, to --</p> <p>16 did USP Labs ever ask you to determine if DMAA was</p> <p>17 naturally produced as part of the biological</p> <p>18 functions of geranium plants?</p> <p>19 MS. WOOLSON: Objection to form.</p> <p>20 You can answer.</p> <p>21 THE WITNESS: Are you referring to -- not</p> <p>22 that I can recall.</p>

Page 82

1 BY MR. SCOTT:  
 2 Q Okay. Let's turn if we could here -- I  
 3 will show you another exhibit.  
 4 Well, before we do that, let me ask you  
 5 this: The samples that you worked on that you tested  
 6 for USP Labs, where did you get them?  
 7 A From --  
 8 Q Well, we will get to the specifics, but I  
 9 mean, were they sent to you or did you go out and  
 10 acquire them?  
 11 A Some were sent via shipping services. I  
 12 think a couple that we got for essentially practice  
 13 were sourced locally in Memphis.  
 14 Q So you got -- you went ahead and bought  
 15 some geranium samples locally in Memphis so that you  
 16 could practice using the Intertek procedure; is that  
 17 right?  
 18 A Yes.  
 19 Q And then you were sent some by a shipping  
 20 service from China?  
 21 MS. WOOLSON: Objection to form.  
 22 You can answer.

Page 83

1 THE WITNESS: Yes.  
 2 BY MR. SCOTT:  
 3 Q And then did Intertek also send you some  
 4 samples that it had of geranium plants that it had  
 5 previously tested?  
 6 A Yes.  
 7 Q Are you familiar with the name Yi Jin?  
 8 A How do you spell that?  
 9 Q Well, let me focus you a little bit here  
 10 since you are looking at Exhibit 4.  
 11 If you would, look in Exhibit 4 on page  
 12 71 under "Acknowledgments."  
 13 A Okay.  
 14 Q Under "Acknowledgements," it says: "The  
 15 authors would like to acknowledge and thank Dr. Yi,"  
 16 Y-I, "Jin," J-I-N, "of Yunnan University for  
 17 overseeing the geranium sample collection and  
 18 shipment to the University of Memphis."  
 19 Do you see that?  
 20 A I do.  
 21 Q And does that refresh your recollection  
 22 about Dr. Yi Jin?

Page 84

1 A Yes.  
 2 Q Do you recall now who he was or who he  
 3 is?  
 4 A To an extent.  
 5 Q And what is your recollection?  
 6 A He's the guy who sent the samples to the  
 7 University of Memphis from China.  
 8 Q Now, had you ever dealt with Dr. Yi Jin  
 9 before doing this project for USP Labs?  
 10 A No.  
 11 Q Had you ever heard of him?  
 12 A No.  
 13 Q Had you ever heard of Yunnan University?  
 14 A Not that I can recall.  
 15 Q Now, when the samples were sent to you by  
 16 Dr. Yi Jin, had you contacted him to order those or  
 17 were they facilitated being delivered to you by a USP  
 18 Labs person?  
 19 A They were facilitated.  
 20 Q By a USP Labs person?  
 21 A By -- what was the last part?  
 22 Q By UPS Labs personnel.

Page 85

1 A Yes.  
 2 Q And do you know who for USP Labs was  
 3 coordinating with Dr. Yi Jin regarding getting these  
 4 samples?  
 5 A To the best of my knowledge, it was Erik  
 6 White.  
 7 Q Okay. Now, the samples that you received  
 8 from Dr. Yi Jin, did you have any involvement in  
 9 setting up any protocols for the identification and  
 10 gathering of those samples?  
 11 A No. But I don't think anybody else did  
 12 either.  
 13 Q So what do you mean by you don't think  
 14 anybody else did either?  
 15 A The other researchers.  
 16 Q Well, do you know what happened with  
 17 those and how they got those samples?  
 18 A No.  
 19 Q Well, in the context of yours, your  
 20 samples that you got, Dr. Yi Jin didn't get any  
 21 instruction from you on how to select or prepare the  
 22 samples to be shipped to you?



Page 86

1 A No.

2 Q Did all the information that you had

3 regarding the selection, preparation of the samples

4 that you were sent by Dr. Yi Jin come to you through

5 USP Labs?

6 A Say that again.

7 Q Sure. Did the information that you had,

8 whatever it was, regarding the selection and

9 preparation of your samples of geraniums from Dr. Yi

10 Jin, did that information come to you through USP

11 Labs, Erik White?

12 MS. WOOLSON: Objection to form.

13 You can answer.

14 THE WITNESS: I don't know. I mean I

15 clearly have written that they were authenticated

16 by -- I will try to pronounce the name -- Xu Youkai,

17 but I don't recall how I got that information. It

18 could have been from Dr. Yi Jin.

19 BY MR. SCOTT:

20 Q Okay. Did you -- the gentleman that you

21 just said authenticated these, did you deal with him

22 directly?

Page 87

1 A No.

2 Q And you don't -- and the information that

3 you got about him authenticating the plants came

4 either through Dr. Yi Jin or USP Labs?

5 A To the best of my knowledge.

6 Q And what information were you given

7 regarding what that means, that he authenticated the

8 samples that you eventually tested?

9 A That they were in fact pelargonium

10 graveolens samples and the regions they were

11 collected from.

12 Q Were you given any information regarding

13 the growing conditions of the plants that were

14 sampled and provided to you to test for USP Labs?

15 A Just what's in my published paper.

16 Q All right. And does your published paper

17 include anything regarding growing conditions,

18 climatological conditions, geographic conditions,

19 soil conditions, anything like that regarding your

20 samples?

21 MS. WOOLSON: Objection to form.

22 You can answer.

Page 88

1 THE WITNESS: It says -- do you want me

2 to read it to you?

3 BY MR. SCOTT:

4 Q Sure.

5 A It says: "Samples were collected from

6 three regions in China, Changzhou, Guiyang, Kunming,

7 during three different harvest seasons. The Chinese

8 Academy received the geranium herbs as potted plants

9 originally grown in the field. Multiple plants,"

10 parentheses, "ranging from two to ten in number,"

11 closed parentheses, "were collected from each

12 location. The plants from each location were

13 combined prior to shipment to the University of

14 Memphis. Therefore, concentrations of 1,3-DMAA and

15 1,4-DMAA of individual plants and variations thereof

16 are not reported here."

17 And the samples were sent by Express

18 Mail, stored at minus 20 Celsius, and then I have the

19 dates that each one was collected.

20 Q Is that the sum total of the information

21 you have regarding the selection and preparation of

22 the samples that you tested?

Page 89

1 A Very likely.

2 Q So if that is the sum total, you did not

3 have any information regarding soil conditions,

4 correct?

5 A No.

6 Q Or growing conditions, correct?

7 A What do you mean by growing conditions?

8 Q The climate it was grown in, the

9 circumstances where it was grown, anything like that.

10 A I have the seasons they were grown in.

11 Q Beyond the seasons, do you have any

12 information regarding the growing conditions

13 associated with the plants that you tested?

14 A No.

15 Q Do you have any information regarding the

16 water, soil or fertilizers that may have been used to

17 grow these plants?

18 MS. WOOLSON: Objection to form.

19 THE WITNESS: No.

20 MR. SCOTT: All right. We've been going

21 at this a while. Why don't we take a short break.

22 MS. WOOLSON: Okay.

Page 90	Page 92
<p>1 (Recess.)</p> <p>2 (Exhibit No. 6 was marked for</p> <p>3 identification.)</p> <p>4 BY MR. SCOTT:</p> <p>5 Q All right. We're back on the record</p> <p>6 after a short break.</p> <p>7 Dr. Simone, I will remind you, you are</p> <p>8 still under oath. All right?</p> <p>9 A I understand.</p> <p>10 Q And, again, if my questions are unclear,</p> <p>11 let me know. Okay?</p> <p>12 A Okay.</p> <p>13 Q And if you need to take a break at any</p> <p>14 point, please let us know.</p> <p>15 A Okay.</p> <p>16 Q All right, sir. Let me hand you a</p> <p>17 document. You've been given a document which has</p> <p>18 been marked for identification purposes as Exhibit 6</p> <p>19 to the deposition. It is a multi-page document</p> <p>20 bearing -- running from pages 1 through 45. The</p> <p>21 first page is "Analysis of dimethylamylamine DMAA in</p> <p>22 Geranium Plants Using HPLC-MS/MS," Heather L.</p>	<p>1 with them, but I understood that their production had</p> <p>2 been turned over to everybody.</p> <p>3 BY MR. SCOTT:</p> <p>4 Q All right, sir. Exhibit 6 there, you</p> <p>5 said that you've seen this before?</p> <p>6 A If I produced it, then, yes, I've</p> <p>7 probably seen it before.</p> <p>8 Q And Heather Fleming you said was one of</p> <p>9 your grad students?</p> <p>10 A Yes.</p> <p>11 Q And do you recall helping her prepare</p> <p>12 this particular slide show?</p> <p>13 A I mean, not specifically. I'm pretty</p> <p>14 sure I did.</p> <p>15 Q All right. And why do you think you</p> <p>16 helped her to prepare the Exhibit 6?</p> <p>17 A Because she at one point gave a seminar</p> <p>18 in the Department of Chemistry related to this work.</p> <p>19 Q Relating to the DMAA work.</p> <p>20 A Well, this -- yeah, and this -- this</p> <p>21 particular slide show.</p> <p>22 Q Okay. So you think that she used this</p>
Page 91	Page 93
<p>1 Fleming, Department of Chemistry University of</p> <p>2 Memphis.</p> <p>3 Have you seen this document before?</p> <p>4 A I probably helped Heather put it</p> <p>5 together.</p> <p>6 MS. WOOLSON: Just let me note for the</p> <p>7 record that this doesn't seem to have Bates-stamped</p> <p>8 numbers on it, so I don't know that it was part of</p> <p>9 the government's production or any production in this</p> <p>10 case.</p> <p>11 MR. SCOTT: Well, actually it was part of</p> <p>12 the production from the University of Memphis.</p> <p>13 Unfortunately, because of the form in which it was</p> <p>14 produced, because it is a slide, the Bates numbers</p> <p>15 did not convey with it. But it was produced by the</p> <p>16 University of Memphis, and I understand you folks</p> <p>17 also have a copy of this from that production.</p> <p>18 MS. WOOLSON: I'm not sure that is</p> <p>19 correct, but I will accept that representation for</p> <p>20 purposes of today.</p> <p>21 MR. SCOTT: Well, if you don't have it</p> <p>22 from the University of Memphis, you should take it up</p>	<p>1 slide show in a seminar that she gave regarding your</p> <p>2 work on DMAA in the U of M chemistry department?</p> <p>3 A Yes.</p> <p>4 Q And so, therefore, you would have helped</p> <p>5 her put it together?</p> <p>6 A Yes.</p> <p>7 Q All right, sir. And do you recall when</p> <p>8 that slide show -- when that seminar was, when she</p> <p>9 gave that?</p> <p>10 A After April 2013.</p> <p>11 Q Were you there when she gave the</p> <p>12 presentation based on the slide show?</p> <p>13 A As best as I can recall.</p> <p>14 Q All right, sir. You can set that aside</p> <p>15 for the moment.</p> <p>16 Let me send you back to Exhibit 3, your</p> <p>17 report, page 41.</p> <p>18 A Okay.</p> <p>19 Q And still in paragraph 73, about five</p> <p>20 lines down there, there's a sentence that says: "The</p> <p>21 work at MAMML proceeded and the initial results</p> <p>22 determined two outcomes: One, there were matrix</p>

Page 94	Page 96
<p>1 effects present in the analysis; and, two, 1,3-DMAA</p> <p>2 was present and detected in the Changzhou samples</p> <p>3 where the concentrations were below the detection</p> <p>4 limit for the Guiyang and Kunming samples."</p> <p>5 Do you see that?</p> <p>6 A I do.</p> <p>7 Q And that's information that you included</p> <p>8 in this report yourself. You wrote that.</p> <p>9 A Yes.</p> <p>10 Q Now, what do you mean by there were</p> <p>11 matrix effects presented -- present in the analysis?</p> <p>12 A There were -- so based on the percent</p> <p>13 recovery that we got from our preliminary extraction</p> <p>14 protocol that we outlined in the paper, Exhibit 4, we</p> <p>15 found that the -- let me look at the sample analysis.</p> <p>16 So the percent recoveries for those</p> <p>17 samples, the Changzhou, Kunming, and Guiyang, were</p> <p>18 relatively low. Here they range from 19 to 44</p> <p>19 percent. And what we believed occurred was signal</p> <p>20 suppression in the electrospray ionization source of</p> <p>21 the tandem mass spectrometer. And basically due to</p> <p>22 that, it lowered the signal from our 1,3- and</p>	<p>1 discovery and all that.</p> <p>2 Q All right. So it's your position that</p> <p>3 Dr. Khan and ElSohly found DMAA?</p> <p>4 A Based on the documentation that they</p> <p>5 provided after I submitted the report.</p> <p>6 Q All right, sir. And that's not in your</p> <p>7 report?</p> <p>8 A That is not in my report.</p> <p>9 Q So this is an opinion that's not in your</p> <p>10 report?</p> <p>11 A I mean, I wrote my opinion based on the</p> <p>12 documentation that I had at the time, and then new</p> <p>13 documentation became available that was different</p> <p>14 than what had been presented to me before, and so...</p> <p>15 Q Now, did the documentation that you saw</p> <p>16 pertaining to Dr. ElSohly and Dr. Khan's work, did</p> <p>17 they write that up? Was that published?</p> <p>18 A It was not published.</p> <p>19 Q So they did a study that they didn't</p> <p>20 publish anything about?</p> <p>21 MS. WOOLSON: Objection to form.</p> <p>22 You can answer.</p>
Page 95	Page 97
<p>1 1,4-DMAA analytes and basically the matrix effects.</p> <p>2 Q When you say "matrix," what are you</p> <p>3 talking about in the context of plant material?</p> <p>4 A The -- so the matrix is everything in the</p> <p>5 sample you are analyzing that is not your analyte.</p> <p>6 So if you've got your analyte, in our case it is</p> <p>7 1,3-DMAA and 1,4-DMAA, the matrix is like literally</p> <p>8 everything else in the sample itself.</p> <p>9 Q Now, further down in exhibit -- in</p> <p>10 paragraph 73 of 41, it said: "Based on my review of</p> <p>11 the literature time, I knew that this would be</p> <p>12 considered an outlier analysis."</p> <p>13 Do you see that?</p> <p>14 A Yes.</p> <p>15 Q What do you mean there by "outlier</p> <p>16 analysis"?</p> <p>17 A Well, in the context of this gestalt of</p> <p>18 1,3-DMAA work published by myself and others, there</p> <p>19 have been four reports of 1,3-DMAA present in sample.</p> <p>20 The Fleming paper, the Li paper from Intertek, the</p> <p>21 Ping paper, and Professor Khan and ElSohly's work as</p> <p>22 produced in the documentation for this deposition in</p>	<p>1 THE WITNESS: No, it was part of their</p> <p>2 2015 multi-center study, and one of their centers</p> <p>3 reportedly found 1,3-DMAA concentrations at a pretty</p> <p>4 low level. And I think they further determined that</p> <p>5 their analysis through their methods of confirmation</p> <p>6 was less than their stated detection limits. So they</p> <p>7 had a -- what's the word for it? -- a -- some</p> <p>8 preliminary evidence that it may in fact have been in</p> <p>9 the sample. I reviewed the chromatograms, and it</p> <p>10 looks like it could have been there. And to the best</p> <p>11 of my knowledge, they did no further work to try to</p> <p>12 resolve whether that peak was there or not.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q Now, is it your understanding that there</p> <p>15 is a chromatogram in some work associated with the</p> <p>16 multi-lab work done by Drs. ElSohly and Khan that</p> <p>17 shows a peak that you think might be DMAA?</p> <p>18 MS. WOOLSON: Objection to form.</p> <p>19 You can answer.</p> <p>20 THE WITNESS: It matches the retention</p> <p>21 time and the multiple reaction monitoring signal from</p> <p>22 1,3-DMAA based on their admittedly poorly reproduced</p>

<p>Page 98</p> <p>1 chromatograms.                  2 BY MR. SCOTT:                  3 Q And did they do any work associated with                  4 that poorly reproduced chromatogram to determine --                  5 to determine whether or not what was shown there was                  6 DMAA?                  7 A I don't know.                  8 Q Did they do any work to try and verify                  9 any of the outcomes that were shown by the labs where                  10 this work was done, including this one?                  11 MS. WOOLSON: Objection to form.                  12 You can answer.                  13 THE WITNESS: To the best of my                  14 knowledge, they look to be discussed in their                  15 e-mails.                  16 BY MR. SCOTT:                  17 Q Did they do any work to test whether or                  18 not that particular outcome was accurate to the                  19 extent it showed there was DMAA?                  20 MS. WOOLSON: Objection to form.                  21 You can answer.                  22 THE WITNESS: They -- based on my</p>	<p>Page 100</p> <p>1 effort to generate a supplemental report adding this                  2 analysis or any conclusions you reached from it to                  3 your report or give us notice of what you were                  4 relying on in doing the analysis?                  5 MS. WOOLSON: Objection to form. He's                  6 relying on documents that you produced.                  7 MR. SCOTT: I understand that. And the                  8 federal rules say if you are relying on documents,                  9 wherever you got them from, you are supposed to give                  10 the other side notice that there is an opinion and                  11 they are being -- documents are being relied on to                  12 support it, which you haven't done.                  13 MS. WOOLSON: Well, I think you can rest                  14 assured it's coming.                  15 MR. SCOTT: And we will depose him again.                  16 MS. WOOLSON: And we raised the issue at                  17 Dr. Khan's deposition.                  18 MR. SCOTT: And we will depose him again                  19 once we get something.                  20 MS. WOOLSON: And we will depose Dr. Khan                  21 again.                  22 BY MR. SCOTT:</p>
<p>Page 99</p> <p>1 recollection of the e-mail, somebody had analyzed the                  2 sample at approximately 2 nanograms per mil of                  3 1,3-DMAA, and which was less than their stated                  4 detection limit. And the signal-to-noise ratio on                  5 that sample was approximately 38, which is generally                  6 well above the typical standard we use for method                  7 detection limits of 3, assuming a signal-to-noise                  8 ratio of 3 for the method detection limit, and the                  9 limit of quantitation of signal-to-noise ratio of 10.                  10 BY MR. SCOTT:                  11 Q And when did you do this analysis?                  12 MS. WOOLSON: Objection to form.                  13 You can answer.                  14 THE WITNESS: When I was presented with                  15 the e-mails.                  16 BY MR. SCOTT:                  17 Q And when was that?                  18 A After my expert report was turned in.                  19 Q How long after?                  20 A I think I saw it like last week maybe.                  21 Maybe -- yeah, last week, sometime last week.                  22 Q All right, sir. Has there been any</p>	<p>Page 101</p> <p>1 Q So did anybody -- have you put any of                  2 this in writing?                  3 A No. No.                  4 Q Are there any other opinions that are not                  5 in your report that you would like to share with me?                  6 MS. WOOLSON: Objection to form.                  7 You can answer.                  8 THE WITNESS: I don't believe so.                  9 BY MR. SCOTT:                  10 Q Have you done any work to answer the                  11 question of whether a geranium plant produces DMAA as                  12 a natural function of its biology?                  13 MS. WOOLSON: Objection to form.                  14 You can answer.                  15 THE WITNESS: What do you mean?                  16 BY MR. SCOTT:                  17 Q I mean, have you done any scientific work                  18 to answer the question of whether or not a geranium                  19 plant actually, through its natural biological                  20 processes, produces DMAA in any form, 1,3 or 1,4?                  21 MS. WOOLSON: Objection to form.                  22 You can answer.</p>

Page 102	Page 104
<p>1 THE WITNESS: No.</p> <p>2 BY MR. SCOTT:</p> <p>3 Q Now, the DMAA that you report in your --</p> <p>4 the document that you published, Exhibit 4 to your</p> <p>5 deposition, that DMAA was identified for you at what</p> <p>6 level of concentration? What was the measure of</p> <p>7 concentration that you found?</p> <p>8 A Can you restate the question?</p> <p>9 Q Sure. Let me ask it a little more</p> <p>10 specifically, maybe it will help. The DMAA that you</p> <p>11 report in your article, Exhibit 4, was found at parts</p> <p>12 per billion levels?</p> <p>13 A In the plant?</p> <p>14 Q Yeah.</p> <p>15 A Yes.</p> <p>16 Q Would you consider that to be a trace</p> <p>17 concentration of the chemical?</p> <p>18 A Yes.</p> <p>19 MS. WOOLSON: Objection to form.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q And you are using the term "trace," so</p> <p>22 the record is clear here, what do you mean?</p>	<p>1 Q Any type of error, in what you've</p> <p>2 identified, whether it's what you say it is, the</p> <p>3 concentration levels, anything.</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: There is always error in</p> <p>7 any analytical protocol that you undertake. The</p> <p>8 errors at 1 to 10 parts per billion are traditionally</p> <p>9 much higher simply because the concentrations are</p> <p>10 lower. And you could see errors on a range as high</p> <p>11 as 100 percent from one lab to another. And I would</p> <p>12 say that is not uncommon in this line of work.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q Okay. And when you say you can see</p> <p>15 errors from one lab to another as much as</p> <p>16 100 percent, are you talking about errors in the</p> <p>17 identification of chemicals or the quantification for</p> <p>18 chemicals or both?</p> <p>19 A We're talking about the reported</p> <p>20 concentration as determined by the lab. Multiple</p> <p>21 labs.</p> <p>22 Q Now, in relation to your article,</p>
Page 103	Page 105
<p>1 A Well, there is a variety of definitions</p> <p>2 for "trace." If I recall correctly, I think "trace"</p> <p>3 refers to kind of the textbook definition as 1 to</p> <p>4 100 -- 1 part per billion to, I think, 10 to 100 part</p> <p>5 per million. But as somebody who does work at the</p> <p>6 part per billion level routinely, working at a single</p> <p>7 part per billion or 10 part per billion is very</p> <p>8 different than working at 10 part per million, which</p> <p>9 is -- you know, 10 part per million is a thousand</p> <p>10 times higher in concentration. And so the way you go</p> <p>11 about measuring concentrations at 10 part per million</p> <p>12 can be very different than those at 10 part per</p> <p>13 billion.</p> <p>14 Q Different in what general sense?</p> <p>15 A They have to be more sensitive and more</p> <p>16 selective.</p> <p>17 Q Is there also, because they have to be</p> <p>18 more sensitive and selective looking at 10 parts per</p> <p>19 billion, more possibility of there being some type of</p> <p>20 error in the quantification and identification of</p> <p>21 chemicals?</p> <p>22 A What kind of error?</p>	<p>1 Exhibit 4, did you include any information regarding</p> <p>2 potential error rates in the work that you had done?</p> <p>3 A I don't understand your question as</p> <p>4 phrased.</p> <p>5 Q Sure. Well let's do it this way: If</p> <p>6 you would, turn in the document to Table 2. It's on</p> <p>7 page 65.</p> <p>8 A All right.</p> <p>9 Q Now, you have there Table 2 which has</p> <p>10 some headings across the top with numbers flowing</p> <p>11 below there.</p> <p>12 Do you see that?</p> <p>13 A I do.</p> <p>14 Q Analysis Set 1, Analysis Set 2 and</p> <p>15 Analysis Set 3, what were they?</p> <p>16 A Those were the sets of analysis we did</p> <p>17 for each batch of samples that we reported.</p> <p>18 Q These were the samples that USP Labs</p> <p>19 supplied you from China or you got from Intertek?</p> <p>20 A These are the labs that I received</p> <p>21 directly from China and from Intertek.</p> <p>22 Q Did you pay anybody in China for</p>

Page 106	Page 108
<p>1 gathering and shipping the samples of geraniums to 2 you for testing?</p> <p>3 A No.</p> <p>4 Q Who did that, who paid?</p> <p>5 A I don't know.</p> <p>6 Q Do you know of anyone other than USP Labs 7 who might have been willing to pay them for this 8 work?</p> <p>9 MS. WOOLSON: Objection to form. 10 You can answer.</p> <p>11 THE WITNESS: I have no data regarding 12 who paid for the shipment of those samples.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q So you have no data that would indicate 15 someone other than USP Labs paid, correct?</p> <p>16 MS. WOOLSON: Objection to form. 17 You can answer.</p> <p>18 THE WITNESS: Ask that question again.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q Sure. So you have no data to suggest 21 that someone other than USP Labs paid the folks in 22 China to gather and send you the geranium samples</p>	<p>1 "Check Standard UG/L" mean?</p> <p>2 A That's the check standard concentration 3 as -- and for 1,4-DMAA at concentrations of 3 4 micrograms per liter and 8 micrograms per liter, and 5 going down, and micrograms per liter is equivalent to 6 a part per billion.</p> <p>7 Q And then "USEPA MDL UG/L," what does that 8 information represent?</p> <p>9 A That is the method detection limit using 10 the USEPA, United States Environmental Protection 11 Agency protocol for determination of the method 12 detection limit.</p> <p>13 Q All right. So the method detection 14 limit, is that the minimum level at which you can 15 detect the particular concentration?</p> <p>16 A That is the lowest concentration 17 distinguishable from noise.</p> <p>18 Q All right. And so it appears that it 19 varies from analysis set to analysis set and in some 20 cases from sample to sample, correct, the MDL?</p> <p>21 A It changes from -- it changes based on 22 any number of factors. The method detection limit</p>
Page 107	Page 109
<p>1 that you tested, correct?</p> <p>2 MS. WOOLSON: Objection to form, 3 misstates testimony.</p> <p>4 You can answer.</p> <p>5 THE WITNESS: What I know is the samples 6 were shipped from China to the University of Memphis 7 and from Intertek to the University of Memphis. I 8 don't know if USP Labs paid for it. I don't know if 9 they were paid for by the people who shipped it.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q So you think the people who gathered the 12 geranium samples may have paid themselves to gather 13 them and ship them to you?</p> <p>14 MS. WOOLSON: Objection to form. 15 You can answer.</p> <p>16 THE WITNESS: I don't know. I mean, 17 we've collected samples and shipped them out 18 ourselves, so whether we paid ourselves or not is 19 internal accounting.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q All right. So now in relation to 22 Table 2, what does the information under the heading</p>	<p>1 that you report for a given analysis should be done 2 prior to that analysis, and we're talking about best 3 analytical practices. And if we talk about what 4 amounts to broadly the philosophy of analytical 5 chemistry, the method detection limit in any report 6 is simply an estimate. It's kind of hard to tell 7 what the true method detection limit is other than 8 when you run the instrument at that given time and 9 that given conditions, you determine the method 10 detection limit prior to the analysis of samples so 11 that you can provide a reporting of those 12 concentrations.</p> <p>13 And so in Table 2, for 1,4-DMAA we see 14 that our method detection limit ranges from 15 approximately 0.8 on what was likely a very good day 16 to 2.4 micrograms per liter at the high end. And the 17 fact that it ranges is not uncommon.</p> <p>18 Q Well, what are the factors that go into 19 the range being different over time?</p> <p>20 A The environmental conditions the analysis 21 was performed under, so like temperature of the lab, 22 the response of the instrument itself, the -- well,</p>

Page 110	<p>1 that's a big chunk of it.</p> <p>2 Q What does "mean percent recovery" mean?</p> <p>3 A That is the -- that's our estimate of</p> <p>4 accuracy of the method. And when you are within a</p> <p>5 factor of 2 to 5 of your method detection limit, that</p> <p>6 mean percent recovery can range from approximately 50</p> <p>7 to 150 percent and be considered normal.</p> <p>8 Q And percentage RSD, what does that relate</p> <p>9 to?</p> <p>10 A That is our estimate of precision, and</p> <p>11 it's the percent relative to standard deviation as</p> <p>12 determined by the standard deviation of your seven</p> <p>13 measured check standards, the standard deviation of</p> <p>14 their concentration divided by the average</p> <p>15 concentration times 100 percent, and when you are</p> <p>16 within a factor of 2 to 5 of that method detection</p> <p>17 limit, the percent relative to standard deviation can</p> <p>18 be as high as 30 to 40 percent.</p> <p>19 Q So does that mean that you have a -- the</p> <p>20 RSD, does that mean that there is an error rate</p> <p>21 potential in there or not?</p> <p>22 A Yeah, when --</p>	Page 112	<p>1 your method detection limit for the analysis, the</p> <p>2 error rates -- not the error rates, but the percent</p> <p>3 relative to standard deviation on that check standard</p> <p>4 is going to increase.</p> <p>5 Q All right. And the MDL factor, what is</p> <p>6 that?</p> <p>7 A That is how close you are to the method</p> <p>8 detection limit. So you see we are -- so a good</p> <p>9 example of this is -- the thing we were just talking</p> <p>10 about, Analysis Set 2, line number 1 at 3 part per</p> <p>11 billion, our MDL factor is 1.5, which means that our</p> <p>12 method detection limit was within a factor of 1.5 of</p> <p>13 our check standard. And when your -- when your</p> <p>14 detection limit is that close to your check standard,</p> <p>15 the error rates are going to be very high.</p> <p>16 But then as we look, let's call the line</p> <p>17 at the bottom of that, where Analysis Set 2, line 4,</p> <p>18 for 8 part per billion, you will see our MDL factor</p> <p>19 is 3.9, so about 4. So our method detection limit</p> <p>20 was 2.1, when we determined it on a check standard of</p> <p>21 8.0, and you see that the percent RSD is</p> <p>22 substantially lower.</p>
Page 111	<p>1 MS. WOOLSON: Objection to form.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: Restate the question,</p> <p>4 please.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Sure. RSD, does that relate in any way</p> <p>7 to potential error rates in your analysis?</p> <p>8 A Yes.</p> <p>9 Q And how does it relate to potential</p> <p>10 errors?</p> <p>11 A It provides you an estimate of the error</p> <p>12 on that day for the analysis itself.</p> <p>13 Q So the analysis on the day -- for</p> <p>14 example, Analysis Set 2, the first sample there, that</p> <p>15 would be a 30 percent error rate?</p> <p>16 A Yes. So when you are at concentrations</p> <p>17 of approximately 3 micrograms per liter as measured</p> <p>18 by the instrument, the error rate can range from --</p> <p>19 as its written here, from 10 to 30 percent. If you</p> <p>20 are at a higher concentration, such as 8 micrograms</p> <p>21 per liter as what's right below it, the error rate is</p> <p>22 going to likely be lower. And as you get closer to</p>	Page 113	<p>1 Q All right. And the equation of linear</p> <p>2 regression, what does that represent?</p> <p>3 A That is the equation of the line for the</p> <p>4 calibration curve determined by linear regression</p> <p>5 that we use to -- that relates the analytical signal</p> <p>6 of the response of our tandem mass spectrometer, our</p> <p>7 HPLC tandem MS, to the concentration of the analyte</p> <p>8 standard. So on the x-axis we plot -- not plot. On</p> <p>9 the X-axis is concentration, on the Y-axis is signal,</p> <p>10 and we generate a line from a series of standards</p> <p>11 that we run.</p> <p>12 Q All right, sir. And R2, R squared?</p> <p>13 A That is -- let me see. I want to be sure</p> <p>14 I use the right term. I believe the term</p> <p>15 "coefficient of variance," I think. Anyway, it's a</p> <p>16 measure of how well your line fits to the data that</p> <p>17 you have used to generate that line. So, ideally, if</p> <p>18 everything is perfect, that line is going to be 1, a</p> <p>19 1.00 on a range of zero to 1. In this case, our R</p> <p>20 squareds were greater than 0.995 or higher, which is</p> <p>21 excellent.</p> <p>22 Q All right, sir.</p>

Page 114	Page 116
<p>1 THE WITNESS: Can we take a break so I 2 can use the restroom? 3 MR. SCOTT: Oh, certainly. 4 (Recess.) 5 BY MR. SCOTT: 6 Q All right. We're back on the record 7 after a short break. 8 Dr. Simone, I will remind you that you 9 are still under oath. 10 A Understood. 11 Q And again, if my questions are unclear, 12 let me know. And again, if you need to take a break, 13 we will try to accommodate you. 14 A Okay. 15 Q In relation to your work as an expert in 16 this matter, are you offering an opinion that you 17 have a scientific basis to conclude that the geranium 18 plant as a natural function of its biology produces 19 1,3- or 1,4-DMAA? 20 MS. WOOLSON: Objection to form. 21 You can answer. 22 THE WITNESS: I'm offering my expert</p>	<p>1 first column there. The first paragraph, last 2 sentence -- or, actually, next to the last sentence 3 which says: "Finally, the diastereomers in ratios of 4 1,3-DMAA in geranium plants from Changzhou are 5 similar to those in the synthetic standards. This 6 indicates that 1,3-DMAA could be a natural product 7 extract fulfilling the requirement of the Dietary 8 Supplement Health and Education Act." 9 Do you see that? 10 A I do. 11 Q Was that your opinion at the time? 12 A Yes. 13 Q Has your opinion changed? 14 A No. 15 Q Are you in a position where you can say 16 to a reasonable degree of scientific certainty today 17 that in fact geraniums produce 1,3- and 1,4-DMAA 18 naturally? 19 A Yes. 20 Q And that's based on finding it in the 21 samples you tested for USP Labs? 22 A Yes.</p>
Page 115	Page 117
<p>1 witness report and I guess testimony that I was -- I 2 had sets of plants, I analyzed them at a sufficient 3 degree of analytical rigor. Those plants were 4 determined to have 1,3- and 1,4-DMAA in them as 5 outlined in the paper that I published. And that's 6 my statement, that 1,3-DMAA is naturally occurring in 7 those plants. 8 BY MR. SCOTT: 9 Q All right. And what part of your study 10 directed itself as determining how those particular 11 samples obtained or got in the DMAA that you found in 12 them? 13 Strike that. Let me ask it a different 14 way. 15 So the basis for your saying that DMAA 16 naturally occurs as a geranium plant is the testing 17 you did of the samples for USP Labs that is reported 18 in Exhibit 4? 19 A Yes. 20 Q And in Exhibit 4, do you offer that 21 opinion, that it's naturally occurring? 22 And let me direct you to page 71, the</p>	<p>1 Q Anything else that you are basing that 2 statement on? 3 A Does that include materials that I've 4 provided in the discovery process? 5 Q I have no idea what you're talking about. 6 Do you have any basis, other than the work that you 7 did for USP Labs as reported in Exhibit 4, for your 8 opinion that you just articulated that DMAA is a 9 naturally occurring product of geranium plants? 10 A Based on the work that I did for USP Labs 11 and the published paper, that's my basis. 12 Q Any work that you are relying on, other 13 than what's described in the published paper that you 14 did for USP Labs, for your position that the geranium 15 plant naturally produces DMAA? 16 MS. WOOLSON: I assume you are including 17 in that his expert report? 18 MR. SCOTT: I don't think he did that for 19 USP Labs. 20 MS. WOOLSON: Well, you're asking him the 21 basis for his opinion. 22 MR. SCOTT: Yeah.</p>



Page 118	Page 120
<p>1 MS. WOOLSON: And you're asking if it's</p> <p>2 limited to just Exhibit 4, his article; is that</p> <p>3 correct?</p> <p>4 MR. SCOTT: No, I'm not. And if you have</p> <p>5 an objection, make it. I'm not going to debate the</p> <p>6 questions with you.</p> <p>7 MS. WOOLSON: I'm not asking you to</p> <p>8 debate the question.</p> <p>9 MR. SCOTT: Actually you are.</p> <p>10 MS. WOOLSON: I'm asking you to verify</p> <p>11 the question.</p> <p>12 MR. SCOTT: You are.</p> <p>13 MS. WOOLSON: No. I think you are trying</p> <p>14 to mislead the witness.</p> <p>15 MR. SCOTT: No, actually, I'm not, but</p> <p>16 you're obviously trying to coach the witness.</p> <p>17 MS. WOOLSON: No.</p> <p>18 MR. SCOTT: Oh, really.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q Doctor, for your opinion that the</p> <p>21 geranium plants naturally produce 1,3- and 1,4-DMAA,</p> <p>22 are you relying upon any scientific evidence other</p>	<p>1 1,3-DMAA in a Home Depot geranium plant.</p> <p>2 Q Was that the graveolens geranium plant?</p> <p>3 A I believe so, yeah.</p> <p>4 Q And does that paper that is yet to be</p> <p>5 published regarding that Home Depot geranium, does</p> <p>6 that article explain why you think that is a</p> <p>7 naturally occurring portion of the geranium?</p> <p>8 A I don't believe it does, other than its</p> <p>9 presence in the geranium plant.</p> <p>10 Q All right. Have you done any work to</p> <p>11 determine whether or not there is a biological</p> <p>12 pathway by which a geranium plant can make DMAA?</p> <p>13 MS. WOOLSON: Objection to form. Asked</p> <p>14 and answered four times.</p> <p>15 THE WITNESS: No.</p> <p>16 (Exhibit No. 7 was marked for</p> <p>17 identification.)</p> <p>18 BY MR. SCOTT:</p> <p>19 Q All right, sir. You have in front of you</p> <p>20 what has been marked for identification purposes as</p> <p>21 Exhibit 7 to your deposition. It's a one-page</p> <p>22 document bearing identification numbers</p>
Page 119	Page 121
<p>1 than the testing that you did for USP Labs that's</p> <p>2 described in your article, Exhibit 4?</p> <p>3 A Can you repeat the question one back</p> <p>4 time?</p> <p>5 MR. SCOTT: Read it back, please.</p> <p>6 THE WITNESS: What?</p> <p>7 MR. SCOTT: She will read it back.</p> <p>8 MS. WOOLSON: He's asking the court</p> <p>9 reporter.</p> <p>10 (Whereupon, the requested record was</p> <p>11 read.)</p> <p>12 THE WITNESS: I am relying on the testing</p> <p>13 that I did for USP Labs.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Anything else?</p> <p>16 A Not that I can recall.</p> <p>17 Q Are you relying on any testing you did</p> <p>18 for USP Labs other than what is described -- the</p> <p>19 testing that was done and described in Exhibit 4?</p> <p>20 A We had a paper we submitted that was for</p> <p>21 peer review in addition for chiral separation that</p> <p>22 has yet to be published that showed there was</p>	<p>1 UMPS-HT-002361. It appears to be a letter dated</p> <p>2 August 8, 2012, to a Professor Thevis, T-H-E-V-I-S,</p> <p>3 from you.</p> <p>4 Do you see that?</p> <p>5 A I see it.</p> <p>6 Q Is this a letter that you prepared and</p> <p>7 signed?</p> <p>8 A Say that again.</p> <p>9 Q Is this a letter that you prepared and</p> <p>10 signed?</p> <p>11 A Yes.</p> <p>12 Q Now, who is Professor Thevis?</p> <p>13 A Based on the letter, I assume he is an</p> <p>14 editor at Drug Testing and Analysis.</p> <p>15 Q Is that one of the periodicals that you</p> <p>16 submitted your article to for potential publication?</p> <p>17 A I ultimately did not submit this paper to</p> <p>18 Drug Testing and Analysis.</p> <p>19 Q And why was that?</p> <p>20 A I believe there was a conversation where</p> <p>21 Erik White and I were discussing where to submit the</p> <p>22 journal, and we agreed upon Analytical Chemistry and</p>

Page 122	Page 124
<p>1 Science.</p> <p>2 Q Did you submit it to any periodicals that</p> <p>3 did not accept it?</p> <p>4 A No.</p> <p>5 Q Now, the letter dated August 8, 2012, in</p> <p>6 the third paragraph there, it says, talking about</p> <p>7 your article: "To the best of our knowledge, this is</p> <p>8 the first report providing confirmation of 1,3-DMAA</p> <p>9 in geranium plants using standard addition analysis</p> <p>10 and in a laboratory sample analysis between labs,</p> <p>11 which both quantify 1,3-DMAA in an identical geranium</p> <p>12 plant sample."</p> <p>13 Do you see that?</p> <p>14 A Yes.</p> <p>15 Q You are referring to testing that</p> <p>16 Intertek did?</p> <p>17 A For the -- for what?</p> <p>18 Q For the other lab.</p> <p>19 A Yes.</p> <p>20 Q And it says: "However, we believe</p> <p>21 there's a regional effect on the presence of the</p> <p>22 1,3-DMAA species in geranium and is detailed in the</p>	<p>1 paper as I summarized, measured the diastereomer</p> <p>2 ratios of two standards, Sigma-Aldrich and ChromaDex,</p> <p>3 along with the dietary supplements, and what he found</p> <p>4 was that the supplements had identical ratios to</p> <p>5 those standards.</p> <p>6 And then based on Zhang's work, we took</p> <p>7 it upon ourselves to measure the diastereomer ratios</p> <p>8 of our plants and our standards as well, which we</p> <p>9 did. And what we found was that the ratios ranged.</p> <p>10 They were similar to the synthetic standards</p> <p>11 presented here, as well as to the standards and</p> <p>12 supplements analyzed by Zhang.</p> <p>13 Q Okay. So the standard -- the ratios of</p> <p>14 the diastereomers that you found within the samples</p> <p>15 that you tested were identical to the ratios that you</p> <p>16 saw in the standards that you got which were</p> <p>17 synthetic, correct?</p> <p>18 MS. WOOLSON: Objection to form.</p> <p>19 You can answer.</p> <p>20 THE WITNESS: Say that again.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Sure. The ratios of the diastereomers</p>
Page 123	Page 125
<p>1 manuscript."</p> <p>2 And you see that?</p> <p>3 A Yes.</p> <p>4 Q "Additionally, this manuscript contains</p> <p>5 the first report of a comparison of the diastereomer</p> <p>6 ratios of the 1,3 species confirmed to be present in</p> <p>7 the geranium plant to synthetic standards. The</p> <p>8 diastereomer ratio of the 1,3-DMAA in the plant and</p> <p>9 synthetic standards were of similar magnitude."</p> <p>10 Do you see that?</p> <p>11 A I do.</p> <p>12 Q And what does that mean?</p> <p>13 A It means that -- so 1,3-DMAA exists as</p> <p>14 four stereoisomers, and within those four</p> <p>15 stereoisomers there are two pairs of enantiomers, and</p> <p>16 then those two pairs of enantiomers are</p> <p>17 diastereomers. And because they are diastereomers,</p> <p>18 they have different physical and chemical properties</p> <p>19 compared to the enantiomers. So you get two peaks</p> <p>20 when you separate 1,3-DMAA on a standard non-chiral</p> <p>21 chromatography column.</p> <p>22 And Zhang in his paper, as detailed in my</p>	<p>1 that you saw were equivalent to the ratios that you</p> <p>2 saw in the standard which was synthetic?</p> <p>3 MS. WOOLSON: Same objection. Form.</p> <p>4 You can answer.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Right?</p> <p>7 A Are you -- you're referring to the plants</p> <p>8 in the standards that we --</p> <p>9 Q Yeah.</p> <p>10 A Okay. You didn't mention plant, so I'm</p> <p>11 just trying to be precise.</p> <p>12 So, yes, we measured the diastereomer</p> <p>13 ratios of the plants, we found that they varied. And</p> <p>14 we measured them in the standards, and they were</p> <p>15 similar.</p> <p>16 Q Okay.</p> <p>17 A Some overlapped and some did not.</p> <p>18 Q I mean normally in plants, would you</p> <p>19 expect there to be a difference in the diastereomers?</p> <p>20 MS. WOOLSON: Objection to form.</p> <p>21 You can answer.</p> <p>22 THE WITNESS: Yeah, probably.</p>

Page 126	Page 128
<p>1 BY MR. SCOTT:</p> <p>2 Q How often have you in looking at plants</p> <p>3 found the ratio to have different -- a ratio of</p> <p>4 diastereomers to have something other than a constant</p> <p>5 ratio?</p> <p>6 MS. WOOLSON: Objection to form.</p> <p>7 You can answer.</p> <p>8 THE WITNESS: Well, in the plants we</p> <p>9 measured here, they're not all the same.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q Have you found that in any other plant</p> <p>12 that you ever measured?</p> <p>13 A Not that I have directly measured.</p> <p>14 Q Are you aware of any other plants where</p> <p>15 the ratio differs in the plant material?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: I'm going to say no, but I</p> <p>19 find your question to be oddly worded.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q Well, I mean from the standpoint of if</p> <p>22 you've got a chiral profile of the four peaks, is the</p>	<p>1 natural extract of geranium plants, and thus, has</p> <p>2 important ramifications for commercial interest with</p> <p>3 respect to the regulations and the Food and Drug</p> <p>4 Administration."</p> <p>5 Do you see that?</p> <p>6 A What exhibit are we on?</p> <p>7 Q We are on Exhibit 7, the last sentence.</p> <p>8 A Okay. Okay. (Perusing document.)</p> <p>9 Okay.</p> <p>10 Q Was that your position and opinion at the</p> <p>11 time?</p> <p>12 A I wrote it, yes.</p> <p>13 Q All right, sir. So based on the work</p> <p>14 that you did for USP Labs as reported in Exhibit 4,</p> <p>15 your position was because you found DMAA in some</p> <p>16 samples of geraniums, it could possibly be that they</p> <p>17 were producing it naturally, the geranium plants?</p> <p>18 A Yes.</p> <p>19 Q Did you ever get past the point where</p> <p>20 your opinion was, based on finding DMAA in some</p> <p>21 samples, it was possible that it could be naturally</p> <p>22 occurring, part of the geranium plant, the DMAA?</p>
Page 127	Page 129
<p>1 plant generally going to have a standard looking</p> <p>2 profile where the ratio -- they're all pretty much</p> <p>3 the same, the chiral peaks.</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: To the best of my</p> <p>7 knowledge, nobody else has measured four peaks of</p> <p>8 1,3-DMAA in the plant.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q I'm not talking about DMAA. I'm talking</p> <p>11 generally now.</p> <p>12 In plants, are you going to expect</p> <p>13 normally to see the chiral profile to be of a</p> <p>14 standard as opposed to having different ratios of the</p> <p>15 chiral peaks that you see?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: I don't know.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q All right, sir. Back to the exhibit.</p> <p>21 It goes on to say here: "This result</p> <p>22 indicates that 1,3-DMAA could potentially be a</p>	<p>1 A I don't understand your question.</p> <p>2 Q Well, did your opinion ever get beyond</p> <p>3 the possibility to a point where you could say that</p> <p>4 based on your evidence, to a reasonable degree of</p> <p>5 scientific evidence, you believe that the geranium</p> <p>6 plants were producing DMAA as a natural part of their</p> <p>7 biological function?</p> <p>8 A Yes.</p> <p>9 Q And what did you base that on?</p> <p>10 A Some -- I guess our further work and</p> <p>11 analysis that we did as part of the USP Labs</p> <p>12 contracts.</p> <p>13 Q What further work and analysis did you do</p> <p>14 for USP Labs that caused you to go from DMAA could</p> <p>15 possibly be a natural product from geranium plants to</p> <p>16 saying it actually -- yes, you believe to a</p> <p>17 reasonable degree of scientific certainty that it is?</p> <p>18 A Our work with the chiral derivatization</p> <p>19 and detection of 1,3-DMAA in additional plants.</p> <p>20 Q And did that tell you how the plant got</p> <p>21 that DMAA?</p> <p>22 A No.</p>

<p>Page 130</p> <p>1 Q Whether it was by natural or some type of 2 contamination or something along that line? 3 MS. WOOLSON: Objection to form. 4 You can answer. 5 THE WITNESS: Can you repeat the 6 question? 7 BY MR. SCOTT: 8 Q Sure. 9 Did the additional work that you did 10 regarding the chiral platform where you identified 11 some DMAA in another geranium, did that tell you 12 whether it got there naturally or by some other 13 means? 14 MS. WOOLSON: Objection to form. 15 You can answer. 16 THE WITNESS: It was there. 17 BY MR. SCOTT: 18 Q But it didn't tell you how it got there? 19 MS. WOOLSON: Objection to form. 20 You can answer. 21 THE WITNESS: No. 22 BY MR. SCOTT:</p>	<p>Page 132</p> <p>1 questions, let me know. All right? 2 A Will do. 3 Q And if you need to take a break, let us 4 know. 5 A Okay. 6 Q So let me hand you a document which has 7 been marked for identification purposes as Exhibit 8 8 to your deposition. 9 It's a multi-page document bearing 10 identification numbers UMPS-HT-005617 through 5619. 11 And the first page is an e-mail from Erik, USP Labs, 12 to Paul Simone, dated July 1st, 2012. 13 And just look through it and familiarize 14 yourself that you're -- you know, that this is a 15 series of e-mails between you and Erik at USP Labs, 16 and then after you've done that, let me know, and I 17 will have a couple of questions. 18 A (Perusing document.) 19 Okay. 20 Q Do you recognize that as an e-mail going 21 back and forth between you and Erik at USP Labs? 22 A I don't remember writing the e-mail, but</p>
<p>Page 131</p> <p>1 Q And it didn't identify any biological 2 mechanism by which the -- that work didn't identify a 3 biological mechanism by which the geranium plant 4 could make DMAA, did it? 5 MS. WOOLSON: Objection to form. Asked 6 and answered six times. 7 You can answer. 8 THE WITNESS: No. 9 MR. SCOTT: All right. Why don't we 10 break for lunch. Let's shoot for coming back around 11 1:00, a little after maybe, depending on how long it 12 takes to get there. 13 (Lunch recess.) 14 (Exhibit No. 8 was marked for 15 identification.) 16 BY MR. SCOTT: 17 Q All right. We're back on the record 18 after a lunch break. 19 Dr. Simone, I will remind you, you are 20 still under oath. All right? 21 A Understood. 22 Q And, again, if you don't understand my</p>	<p>Page 133</p> <p>1 I'm -- it looks to be something I wrote, yes. 2 Q All right, sir. If you would, turn to 3 the last page of Exhibit 8. 4 A Okay. 5 Q And the e-mail that's on that page again 6 is directed to Erik at USP Labs. It starts over on 7 the prior page. Would that be Erik White? 8 A Yes. 9 Q All right. Now, if you would, look on 10 the last page of the exhibit, Exhibit 8, the third 11 paragraph down, about halfway down there is a 12 sentence that says: "Independent interlaboratory 13 analysis should be undertaken with samples from the 14 regions of China shown to contain 1,3-DMAA and other 15 parts of the world where geraniums may grow and those 16 geranium plants grown at the University of 17 Mississippi used by ElSohly to determine whether the 18 effects seen are regional or artifact of a laboratory 19 bias for all involved in the analysis." 20 Do you see that? 21 A I see that. 22 Q What does that mean?</p>

Page 134

1 A It means that you've essentially got --  
 2 you've got multiple laboratories that are in  
 3 disagreement as whether or not 1,3-DMAA is occurring  
 4 in geranium plants. And in order to essentially hash  
 5 out what is actually happening, he should have  
 6 multiple people look at these geranium plants and see  
 7 if it's there.  
 8 Q You say here "to determine whether the  
 9 effects seen." I take it whether geranium -- DMAA is  
 10 in geraniums or not is the effect you're talking  
 11 about?  
 12 A Yes.  
 13 Q And it goes on to say that "... are a  
 14 regional or artifact of a laboratory bias for all  
 15 involved in the analysis." What do you mean there by  
 16 "artifact of a laboratory bias"?  
 17 A I mean it's -- as I previously mentioned,  
 18 there are errors in all analytical methods, and both  
 19 random and systematic, and determining if there is a  
 20 random or systematic error can be difficult. So it's  
 21 a -- that's one way to take a look at it.  
 22 And the bias could be bias for not

Page 135

1 finding it and the bias could be for finding it.  
 2 And, you know, if this is a factfinding mission  
 3 essentially for the truth of whether 1,3-DMAA is  
 4 present in geranium plants, as I've stated it is,  
 5 then the goal should be that you get laboratories  
 6 that find it and laboratories that don't find it  
 7 together analyzing the same set of samples.  
 8 Q All right, sir. And did you ever reach  
 9 out to any of the laboratories who tested geraniums  
 10 and did not find 1,3-DMAA regarding doing such a  
 11 study?  
 12 A No.  
 13 Q Now, it goes on to say in the next  
 14 paragraph on the last page of Exhibit 8: "The  
 15 interlaboratory analysis is certainly a gamble, but I  
 16 believe that it would be something to buy you time to  
 17 do more detailed studies and to let cooler heads  
 18 prevail."  
 19 Do you see that?  
 20 A I do.  
 21 Q What do you mean there by "the  
 22 interlaboratory analysis is certainly a gamble"?

Page 136

1 A I mean it's possible that the -- even if  
 2 we did the interlaboratory study, there would still  
 3 be disagreement.  
 4 Q Would it also be possible if you did the  
 5 interlaboratory study that it may be determined that  
 6 in fact DMAA is not in geranium plants?  
 7 MS. WOOLSON: Objection to form.  
 8 BY MR. SCOTT:  
 9 Q Would that be part of the gamble?  
 10 A I mean, that would be possible. I mean,  
 11 I could -- you know, I -- to be frank, I have  
 12 analyzed plants that show it's there and show it's  
 13 not, and if -- and it's pretty clear that it's a --  
 14 it's a thing where it's hard to determine which  
 15 plants are going to have 1,3-DMAA and which are not.  
 16 And further in there I speak of it being  
 17 a shotgun approach to determining whether 1,3-DMAA is  
 18 present, and -- and beyond the Changzhou samples  
 19 consistently showing 1,3-DMAA in it, those are the --  
 20 those are the only ones that I have found that  
 21 contain 1,3-DMAA.  
 22 Q The Changzhou samples that you are

Page 137

1 referring to there, how many shipments of samples did  
 2 you receive that showed DMAA?  
 3 Well, let me ask the question, it may be  
 4 simpler: The Changzhou samples that you say had DMAA  
 5 in them, that you found DMAA in, were those the ones  
 6 that are referenced by your article, Exhibit 4?  
 7 A Yes.  
 8 Q Did you receive any additional samples  
 9 after that testing from Changzhou that showed DMAA?  
 10 A I can't speak with certainty.  
 11 Q Do you recall receiving any more samples  
 12 from China after you did the testing there that is  
 13 outlined in your Exhibit 4?  
 14 A Yes.  
 15 Q You received additional shipments from  
 16 China?  
 17 A Yes.  
 18 Q And did you receive shipments from  
 19 Changzhou, geraniums from Changzhou?  
 20 A I think it was.  
 21 Q Do you recall whether or not you tested  
 22 that material and whether it showed to have DMAA in

Page 138	Page 140
<p>1 it?</p> <p>2 A I believe I did, and it had 1,3-DMAA in</p> <p>3 it.</p> <p>4 Q All right, sir. And when did that</p> <p>5 testing occur?</p> <p>6 A After this analysis. The timing is -- I</p> <p>7 believe it was in 2013 or so.</p> <p>8 Q Okay. And when did you get those</p> <p>9 shipments, the additional shipments of Changzhou</p> <p>10 geranium plants?</p> <p>11 A Well, to be clear, I can't recall if they</p> <p>12 were Changzhou or not. When did I get them or where</p> <p>13 did I get them?</p> <p>14 Q Yes, where did you get them from?</p> <p>15 A They were shipped directly from China.</p> <p>16 Q From Dr. Yi Jim?</p> <p>17 A I don't remember. Probably.</p> <p>18 Q Were they shipped based on any efforts</p> <p>19 by -- on your part, or did you order them, give</p> <p>20 instructions to whoever shipped them to you?</p> <p>21 MS. WOOLSON: Objection to form.</p> <p>22 You can answer.</p>	<p>1 and answered.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: I -- I mean, I -- you know,</p> <p>4 whether everybody came up with the same answer or</p> <p>5 not.</p> <p>6 BY MR. SCOTT:</p> <p>7 Q Or the answer came out in a way that they</p> <p>8 didn't -- wasn't in line with their commercial</p> <p>9 interest of wanting to sell DMAA products, right?</p> <p>10 MS. WOOLSON: Objection to form, asked</p> <p>11 and answered.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: No, the -- I guess maybe</p> <p>14 that would be the case.</p> <p>15 BY MR. SCOTT:</p> <p>16 Q When you say to let cooler heads prevail,</p> <p>17 what are you talking about?</p> <p>18 A Honestly, I don't remember.</p> <p>19 Q Okay. You can set that one aside.</p> <p>20 (Exhibit No. 9 was marked for</p> <p>21 identification.)</p> <p>22 BY MR. SCOTT:</p>
Page 139	Page 141
<p>1 THE WITNESS: No, these were shipped as</p> <p>2 part of a shipment for the second USP Labs contract.</p> <p>3 BY MR. SCOTT:</p> <p>4 Q And were they handled the same way as the</p> <p>5 shipment of geranium material for the first USP Labs</p> <p>6 contract?</p> <p>7 A Yes.</p> <p>8 MS. WOOLSON: Objection to form.</p> <p>9 You can answer.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q Now, in your view, would the -- an</p> <p>12 interlab study, as you describe there in the document</p> <p>13 that we were just talking about, Exhibit 8, having</p> <p>14 such a thing, would that be a gamble for USP Labs?</p> <p>15 MS. WOOLSON: Objection to form.</p> <p>16 You can answer.</p> <p>17 THE WITNESS: I mean, if -- I -- I mean,</p> <p>18 I clearly wrote that.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q What did you understand the gamble to be</p> <p>21 as it relates to USP Labs?</p> <p>22 MS. WOOLSON: Objection to form, asked</p>	<p>1 Q All right, sir. You have in front of you</p> <p>2 a document which has been marked for identification</p> <p>3 purposes as Exhibit 9 to your deposition. It is a</p> <p>4 two-page document bearing no identification numbers.</p> <p>5 It's headed "Fertilizer Analysis."</p> <p>6 And I will ask you if you recognize this</p> <p>7 document as something you've seen previously.</p> <p>8 A (Perusing document.)</p> <p>9 When you say "seen previously," what do</p> <p>10 you mean?</p> <p>11 Q Well, do you recognize the document,</p> <p>12 Exhibit 9?</p> <p>13 A Yeah. Yes.</p> <p>14 Q What is Exhibit 9? How do you recognize</p> <p>15 it?</p> <p>16 A It looks like a document related to an</p> <p>17 analysis of fertilizers for 1,3-DMAA and 1,4-DMAA</p> <p>18 using what is likely equipment at the University of</p> <p>19 Memphis.</p> <p>20 Q Do you know who prepared this document?</p> <p>21 A I mean -- I'm not really sure how to</p> <p>22 answer that.</p>

Page 142	Page 144
<p>1 Q Well --</p> <p>2 A I mean --</p> <p>3 Q Did you write this document?</p> <p>4 A It's probably a group effort between me</p> <p>5 and the graduate student.</p> <p>6 Q So this document, Exhibit 9, would have</p> <p>7 been prepared by you in concert with Ms. Fleming?</p> <p>8 A Probably. Did this come out of the</p> <p>9 University of Memphis --</p> <p>10 Q Yes.</p> <p>11 A -- discovery? All right. Yeah.</p> <p>12 Q And do you recall when this document was</p> <p>13 prepared?</p> <p>14 A Late 2013 probably.</p> <p>15 Q All right, sir. And what prompted you</p> <p>16 folks to develop this fertilizer analysis protocol?</p> <p>17 A I was trying to rule in or rule out a</p> <p>18 plausible reason for 1,3-DMAA in geranium plants.</p> <p>19 Q All right. And did somebody outside of</p> <p>20 the university ask you to do this or was this done at</p> <p>21 your volition?</p> <p>22 A It was done at my volition.</p>	<p>1 proposal that I wrote to USP Labs, the preliminary</p> <p>2 estimates I believe said there was 1,3-DMAA in the</p> <p>3 fertilizer.</p> <p>4 Can we --</p> <p>5 Q In Osmocote or more than one?</p> <p>6 A In Osmocote.</p> <p>7 THE WITNESS: Can I take a break and use</p> <p>8 the restroom?</p> <p>9 MR. SCOTT: Sure.</p> <p>10 (Recess.)</p> <p>11 BY MR. SCOTT:</p> <p>12 Q All right, sir. And do you recall if you</p> <p>13 found DMAA in fertilizers in addition to Osmocote?</p> <p>14 A There might have been one other, but let</p> <p>15 me be clear about the level of rigor that was</p> <p>16 involved in that. And so the preliminary estimates</p> <p>17 came up positive. And -- but we were really never</p> <p>18 able to publish that work. And it's -- that's</p> <p>19 because the -- I could not -- we could not get the</p> <p>20 method reproducible enough. There were significant</p> <p>21 matrix effects. And the analysis was actually pretty</p> <p>22 hard on the instrument, and so it was a very</p>
Page 143	Page 145
<p>1 Q And to what degree did Ms. Fleming assist</p> <p>2 you in developing this protocol?</p> <p>3 A I -- I'm trying to give a good</p> <p>4 percentage. Probably 50/50.</p> <p>5 Q And did in fact you folks, you and</p> <p>6 Ms. Fleming, put this fertilizer analysis protocol</p> <p>7 into action? Did you actually analyze fertilizers to</p> <p>8 determine if they had 1,3- and 1,4-DMAA as part of</p> <p>9 their constituents?</p> <p>10 MS. WOOLSON: Objection to form.</p> <p>11 You can answer.</p> <p>12 THE WITNESS: We tried.</p> <p>13 BY MR. SCOTT:</p> <p>14 Q And what fertilizers did you analyze?</p> <p>15 A So let me be clear. In terms of specific</p> <p>16 memory, I honestly don't remember. But I read the</p> <p>17 expert reports and they mention -- I think Osmocote</p> <p>18 is one of them, so I went back and reviewed the</p> <p>19 record, and Osmocote was in fact one of them.</p> <p>20 Q Did you find DMAA in Osmocote?</p> <p>21 A So the -- so looking at our records and</p> <p>22 looking at some of the expert reports and the</p>	<p>1 difficult analysis.</p> <p>2 And if I compare that to the -- what</p> <p>3 ended up being an unpublished report using the chiral</p> <p>4 stuff, we actually submitted that to a journal and</p> <p>5 got reviews back, and did our best to address the</p> <p>6 reviews. But then we were unable to finish that work</p> <p>7 due to instrumentation problems.</p> <p>8 Q Okay. But you did some work on</p> <p>9 fertilizers, and the preliminary results showed DMAA,</p> <p>10 correct?</p> <p>11 MS. WOOLSON: Objection to form.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: Very preliminary, correct.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q And did you ever do any work that</p> <p>16 reversed those results, that showed that in fact</p> <p>17 these fertilizers that you preliminarily identified</p> <p>18 as having DMAA actually didn't have it?</p> <p>19 MS. WOOLSON: Objection to form,</p> <p>20 misstates testimony.</p> <p>21 You can answer.</p> <p>22 THE WITNESS: No. I believe -- and like</p>

Page 146	Page 148
<p>1 I said, I have not looked very closely at some of</p> <p>2 that work since then. We essentially abandoned that</p> <p>3 work. And, you know, the -- I believe we -- and --</p> <p>4 I'm actually kind of nervous about talking about this</p> <p>5 because I don't have the data in front of me to talk</p> <p>6 about it.</p> <p>7 But, you know, the only report I made to</p> <p>8 the presence of 1,3-DMAA in the fertilizer was that</p> <p>9 proposal to USP Labs that I can recall. And the</p> <p>10 reason in that proposal we actually wanted to</p> <p>11 continue doing that work was to actually solidify</p> <p>12 the research we had done up to that point, which was</p> <p>13 is it in there or is it not. And so we found it in</p> <p>14 kind of like a screening preliminary analysis but</p> <p>15 were never able to confirm it to an acceptable</p> <p>16 standard for publication.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q Well, based on the work that you</p> <p>19 described that you did and looking at fertilizers to</p> <p>20 see if it had DMAA, were you able to eliminate</p> <p>21 fertilizer contamination as a possible source of DMAA</p> <p>22 in geraniums that you had tested?</p>	<p>1 contamination for geranium plants?</p> <p>2 MS. WOOLSON: Objection to form.</p> <p>3 You can answer.</p> <p>4 BY MR. SCOTT:</p> <p>5 Q Based on the work you did?</p> <p>6 A I was never able to confirm nor deny it.</p> <p>7 The level of rigor in that work is preliminary for a</p> <p>8 reason. It was never published for a reason, because</p> <p>9 we couldn't get the method to work reproducibly.</p> <p>10 (Exhibit No. 10 was marked for</p> <p>11 identification.)</p> <p>12 BY MR. SCOTT:</p> <p>13 Q All right, sir. You have in front of you</p> <p>14 what's been marked for identification purposes as</p> <p>15 Exhibit 10 to your deposition. It's a multi-page</p> <p>16 document bearing identification numbers</p> <p>17 UMPS-HT-001088 through 1093. And I will ask you --</p> <p>18 the heading on the first page is "Confidential: Do</p> <p>19 not distribute. Analysis Survey for 1,3-DMAA and</p> <p>20 1,4-DMAA in Food and Geranium Plants." It says -- it</p> <p>21 has listed here Paul Simone and Randall Bayer.</p> <p>22 Is this the proposal you put together to</p>
Page 147	Page 149
<p>1 A I had no reason to believe the geraniums</p> <p>2 that we tested were contaminated.</p> <p>3 Q Do you know what fertilizers were used</p> <p>4 with the geraniums that you --</p> <p>5 MS. WOOLSON: Objection to form.</p> <p>6 You can answer.</p> <p>7 BY MR. SCOTT:</p> <p>8 Q -- that you tested?</p> <p>9 A Repeat the question.</p> <p>10 Q Sure. You got geraniums from -- samples</p> <p>11 from China. What fertilizers were used to grow them?</p> <p>12 MS. WOOLSON: Objection to form.</p> <p>13 You can answer.</p> <p>14 THE WITNESS: I have no data indicating</p> <p>15 whether fertilizer was or was not used in those</p> <p>16 geraniums, and based on my reading of other -- of</p> <p>17 other people's papers, they also don't have -- they</p> <p>18 don't know whether fertilizer was used in growing</p> <p>19 their plants.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q Were you able to eliminate fertilizer</p> <p>22 with DMAA in it as a source of potential</p>	<p>1 give to USP Labs for additional work?</p> <p>2 A Yes.</p> <p>3 Q And who is Mr. Bayer?</p> <p>4 A He is the chair of the biology department</p> <p>5 at the University of Memphis, and his role was going</p> <p>6 to be simply authentication of the plants.</p> <p>7 Q All right, sir. If you would, turn to</p> <p>8 page 4 of the document. The third paragraph down --</p> <p>9 A Let me -- I want to amend that previous</p> <p>10 answer. So it was authentication and help growing</p> <p>11 the plants.</p> <p>12 Q Okay. And was this proposal given to USP</p> <p>13 Labs?</p> <p>14 A I think so, yes.</p> <p>15 Q Did they adopt it? Did they tell you to</p> <p>16 go ahead with this work?</p> <p>17 A No.</p> <p>18 Q Now, if you would turn to page 4, the</p> <p>19 third paragraph down there starts: "Prior to</p> <p>20 planting of the geranium plants, all soils and</p> <p>21 fertilizers will be analyzed for 1,3-DMAA and</p> <p>22 1,4-DMAA. This is an important step as a</p>



Page 150	Page 152
<p>1 commercially purchased time-release fertilizer</p> <p>2 Osmocote was found to contain 12 ng/g of 1,3-DMAA,</p> <p>3 which could potentially contaminate plants if blindly</p> <p>4 used."</p> <p>5 Do you see that?</p> <p>6 A I do.</p> <p>7 Q Is that 12 ng/g, is that parts per</p> <p>8 billion?</p> <p>9 A Yes, nanograms per gram.</p> <p>10 Q Is that the same unit of measure that you</p> <p>11 used in the tests that you did for geranium samples,</p> <p>12 parts per billion?</p> <p>13 A Yes.</p> <p>14 Q And it goes on to say: "A screening of</p> <p>15 fertilizers will be conducted to identify</p> <p>16 commercially available sources with high nitrogen,</p> <p>17 phosphorous and potassium content to provide growth</p> <p>18 to all aspects of the plants."</p> <p>19 Do you see that?</p> <p>20 A I do.</p> <p>21 Q And then it goes on to say: "Fertilizers</p> <p>22 containing DMAA could potentially act as a source of</p>	<p>1 Q Why were you concerned, at least in the</p> <p>2 context of this document, of fertilizers containing</p> <p>3 DMAA potentially acting as a source of DMAA into the</p> <p>4 human food diet?</p> <p>5 A If the fertilizer contained DMAA, then</p> <p>6 the -- based on my conversations with Randy Bayer, he</p> <p>7 said that it could be taken up into the plant.</p> <p>8 Q Absorbed into the plant through the root</p> <p>9 system, DMAA?</p> <p>10 A I assume so, yes.</p> <p>11 Q And why would that matter to you in the</p> <p>12 context of what you were proposing to do here whether</p> <p>13 or not the plants were absorbing DMAA through the</p> <p>14 root system based on fertilizer contamination?</p> <p>15 MS. WOOLSON: Objection to form.</p> <p>16 You can answer.</p> <p>17 THE WITNESS: We were looking for</p> <p>18 1,3-DMAA in these other plants.</p> <p>19 BY MR. SCOTT:</p> <p>20 Q To determine if it was there naturally as</p> <p>21 opposed to through some contamination?</p> <p>22 MS. WOOLSON: Objection to form.</p>
Page 151	Page 153
<p>1 DMAA into the human food diet. If all fertilizers</p> <p>2 analyzed contain 1,3-DMAA, then a custom mix of</p> <p>3 fertilizer will be reproduced from a reagent grade</p> <p>4 fertilizer equivalence, all scheduled for both DMAA</p> <p>5 species."</p> <p>6 Do you see that?</p> <p>7 MS. WOOLSON: Objection to form.</p> <p>8 THE WITNESS: I do.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q By "DMAA species," you're talking about</p> <p>11 1,3- and 1,4-DMAA?</p> <p>12 A Correct.</p> <p>13 Q Now -- and this was presented to USP</p> <p>14 Labs?</p> <p>15 MS. WOOLSON: Objection to form, asked</p> <p>16 and answered.</p> <p>17 You may answer.</p> <p>18 BY MR. SCOTT:</p> <p>19 Q This document?</p> <p>20 A I e-mailed it to them. I e-mailed it to</p> <p>21 Erik White. I'm sure there is an e-mail in there</p> <p>22 somewhere that shows it.</p>	<p>1 THE WITNESS: Yes.</p> <p>2 BY MR. SCOTT:</p> <p>3 Q And USP Labs did not authorize this work?</p> <p>4 A What do you mean?</p> <p>5 Q I mean, you made a proposal. Did they</p> <p>6 say, Go do it? The proposal that is set out here in</p> <p>7 Exhibit 10.</p> <p>8 A Are you -- so -- can -- can you -- I'm</p> <p>9 sorry. Can you restate the question?</p> <p>10 Q You called Exhibit 10 a proposal that was</p> <p>11 sent to USP Labs. Correct?</p> <p>12 A Correct.</p> <p>13 Q Did they tell you to do the work set</p> <p>14 forth in the proposal, Exhibit 10?</p> <p>15 A They did not fund the work.</p> <p>16 Q Did you do any work to screen fertilizers</p> <p>17 for DMAA after you sent this proposal to USP Labs?</p> <p>18 A Not that I can recall.</p> <p>19 Q Did the preliminary work you had done in</p> <p>20 fertilizers to identify whether DMAA may be there</p> <p>21 based on the protocol you developed with this one,</p> <p>22 was that done before this proposal was sent?</p>

<p>Page 154</p> <p>1 MS. WOOLSON: Objection to form.                  2 You can answer.                  3 THE WITNESS: Yes.                  4 BY MR. SCOTT:                  5 Q Now, based on the work that you did                  6 looking at the fertilizers, were you able to                  7 eliminate fertilizer contamination from DMAA as a                  8 source of DMAA potentially being in geranium samples?                  9 MS. WOOLSON: Objection to form.                  10 You can answer.                  11 THE WITNESS: Based on the work that I                  12 did, I had no reason to suspect that the geraniums                  13 that I analyzed were contaminated by fertilizer.                  14 BY MR. SCOTT:                  15 Q Well, my question is a little broader                  16 than that. My question is, were you able to                  17 eliminate as a general matter DMAA in fertilizer as a                  18 potential source of contaminate of geraniums?                  19 MS. WOOLSON: Objection to form, asked                  20 and answered twice.                  21 You can answer.                  22 THE WITNESS: I was neither able to</p>	<p>Page 156</p> <p>1 You can answer.                  2 THE WITNESS: It's not written there, no.                  3 But the -- as I said, if this was a preliminary                  4 thing, and I don't know any other way to describe it,                  5 that if we had been able to essentially nail down                  6 whether or not it was there, we would have done so                  7 and we would have published it, whether or not --                  8 regardless of how it actually affected all this other                  9 stuff.                  10 (Exhibit No. 11 was marked for                  11 identification.)                  12 BY MR. SCOTT:                  13 Q All right, sir. You have in front of you                  14 what has been marked for identification purposes as                  15 Exhibit 11 to your deposition. It's a multi-page                  16 exhibit of spreadsheets and graphs. The first page                  17 is headed FHLF111 dated August 17, 2013. And I will                  18 ask you if you can identify this as work product that                  19 was generated by your lab?                  20 A Yes, it very likely is.                  21 Q Now, at the bottom there, it says:                  22 "Fertilizer sample: Osmocote, Scott." Do you see</p>
<p>Page 155</p> <p>1 confirm nor deny that DMAA was in fact in the                  2 fertilizer to begin with. The -- as I said, the                  3 results, you know, stated here concerning the                  4 presence of 1,3- and 1,4-DMAA in the fertilizer were                  5 preliminary. If I had had concrete results, I would                  6 have attempted to publish them. But we couldn't get                  7 concrete results, and part of the work presented in                  8 this proposal would have been developing a method                  9 that was able to actually do that analysis.                  10 BY MR. SCOTT:                  11 Q Now, in the proposal that you made to                  12 your client, USP Labs, you state there that: "This                  13 is an important step. As a commercially purchased                  14 time-release fertilizer Osmocote was found to contain                  15 12 ng/g of 1,3-DMAA." And I'm on page 4.                  16 A Okay.                  17 Q Do you see that sentence?                  18 A I do.                  19 Q Is there anywhere in there you say that                  20 your results on this are preliminary, unreliable or                  21 anything of that ilk?                  22 MS. WOOLSON: Objection to form.</p>	<p>Page 157</p> <p>1 that?                  2 A Yes.                  3 Q And the numbers that go through -- beyond                  4 that are -- say "Concentration." Do you see that?                  5 A Yes. CONC, period?                  6 Q CONC, period. Does that reflect that                  7 there was a concentration of DMAA, 1,3 and 1,4, found                  8 in the Scott and Osmocote fertilizers?                  9 A What it reflects is the fact that the                  10 analysis came up with a concentration of -- let's                  11 see. Oh, the units are on here. Presumably -- let's                  12 see, I have to see what -- let's see. So presumably                  13 concentration of micrograms per liter as measured by                  14 the instrument.                  15 Now, if you look at those numbers, those                  16 concentration numbers, they are all less than the                  17 method detection limit of the fertilizer -- of the                  18 analysis. And so as we previously discussed, if it's                  19 less than the method detection limit, and as many of                  20 my other colleagues, for lack of a better term, who                  21 publish in this area, if it's less than the method                  22 detection limit, then you don't report it.</p>

<p style="text-align: right;">Page 158</p> <p>1 Now, if I recall correctly, we very                  2 likely, as what I would have done, actually tried to                  3 analyze a higher mass of fertilizer to see if that                  4 concentration was in fact real. And then that's                  5 where we get into the -- I couldn't make it                  6 reproducible enough to actually get a number out of                  7 it, because as we increased the mass, the extraction                  8 became significantly more complex.                  9 Q But you were able to get a number                  10 sufficient to tell your client that you found it in                  11 Osmocote at 12 parts per billion in your proposal,                  12 correct?                  13 A It appears so, yes.                  14 Q Okay. You can set the graph aside for a                  15 moment.                  16 Are you familiar with a fertilizer called                  17 Lesco?                  18 A Yes.                  19 Q Did you test that for DMAA?                  20 A Possibly. Is it on that sheet of paper?                  21 (Exhibit No. 12 was marked for                  22 identification.)</p>	<p style="text-align: right;">Page 160</p> <p>1 below what we typically found in the plants.                  2 Q All right, sir. Before testifying here                  3 today, did you go back and look at any of the testing                  4 that you had done relating to the presence of DMAA in                  5 fertilizer?                  6 A Not in this detail.                  7 Q Well, what level of detail did you do it?                  8 A Scanned our lab notebooks that said we                  9 had done it.                  10 Q And did you look at what the                  11 concentration levels were found to be?                  12 A Not in detail.                  13 Q At all?                  14 A I simply looked at the lab notebooks that                  15 had mentioned it.                  16 Q Okay. And you didn't look at the --                  17 what sample concentrations were?                  18 A No. I did not specifically look at these                  19 spreadsheets.                  20 Q Well, I'm not talking about just these                  21 spreadsheets. Any evidence in your own records                  22 regarding what samples you found when you were</p>
<p style="text-align: right;">Page 159</p> <p>1 BY MR. SCOTT:                  2 Q You have in front of you what's been                  3 marked for identification purposes as Exhibit 12 to                  4 your deposition. The first page of the spreadsheet                  5 is headed "FHLFF135, 9/18/2013, Lesco."                  6 Do you see that?                  7 A Where do you see Lesco?                  8 Q At the top next to the date.                  9 A Oh, I see it. Okay.                  10 Q Have you seen this document before?                  11 A Not specifically. I mean it's a document                  12 produced in our lab, but I don't think I've --                  13 Q Does this reflect a test showing the                  14 presence of either 1,3 or 1,4-DMAA in the test                  15 sample?                  16 A (Perusing document.) Can you repeat the                  17 question?                  18 Q Sure. Does that reflect a test that                  19 shows the presence in the sample, the Lesco sample,                  20 of 1,3- or 1,4-DMAA?                  21 A Apparently it does, at a concentration of                  22 0.05 nanograms per gram. A factor of 100 to 1000</p>	<p style="text-align: right;">Page 161</p> <p>1 testing fertilizers for DMAA.                  2 A No, I did not.                  3 MS. WOOLSON: Objection to form. It's                  4 been asked and answered.                  5 You can answer.                  6 THE WITNESS: And I didn't -- I did not                  7 rely on this for my expert report, just as I did not                  8 rely on the work we had attempted to publish relating                  9 to the chiral derivatization of 1,3-DMAA.                  10 BY MR. SCOTT:                  11 Q All right, sir. Would you agree that                  12 there is a probability that plants have been and can                  13 be contaminated with DMAA through soil and fertilizer                  14 contamination?                  15 MS. WOOLSON: Objection to form.                  16 You can answer.                  17 THE WITNESS: Well, if we are talking                  18 about work that I did not include in my expert                  19 report, further work we did related to this, we                  20 almost killed the plants when we tried to spike in                  21 1,3-DMAA into the geranium plants.                  22 BY MR. SCOTT:</p>

<p>Page 162</p> <p>1 Q Spiking it at levels higher than you 2 found in the fertilizers? 3 A I don't believe so. 4 Q And when was that work done? 5 A Sometime around some of this. 6 Q Was any of that documented? 7 A I believe it was. But it was -- this is 8 essentially a qualitative assessment of we put 9 1,3-DMAA in water that we poured over the plants, and 10 they about died doing it. 11 Q And what concentration levels were the 12 DMAA in the water? 13 A Sufficient to be approximately equal to 14 that of what we found in the plants. 15 Q All at one time you put the -- what you 16 found in the plants, that concentration level in the 17 water and poured it on the plant? 18 A (The witness nods.) 19 Q Would you expect if the plant was 20 absorbing DMAA through its root system that it would 21 take up the entire concentration in one event? 22 A I have no idea.</p>	<p>Page 164</p> <p>1 talking about pouring spiked water on geranium plants 2 done before or after this presentation? 3 A (Perusing document.) I don't know. 4 Q Well, if you would turn to page 39 in 5 Exhibit 6. That's the one in your hand. 6 A Okay. 7 Q Up on the top there is a heading "Planned 8 Research." It's kind of hard to read. 9 A Yes. 10 Q But down at the bottom it says: 11 "Investigate probability that plants are being 12 exposed to DMAA and absorbing the compound," with a 13 bullet point under that, "Soil, fertilizer analysis." 14 Do you see that? 15 A I see it. 16 Q Did you write that language? 17 A Probably. 18 Q And certainly if Ms. Fleming wrote it, 19 you saw it before she gave the presentation? 20 A Yes. 21 Q Do you know what is meant there by 22 "Investigate probability that plants are being</p>
<p>Page 163</p> <p>1 MS. WOOLSON: Objection to form. 2 BY MR. SCOTT: 3 Q Was Ms. Fleming involved in this study, 4 this work? 5 A Yes. And I directed her doing it. 6 Q And so do you remember when that was? 7 MS. WOOLSON: Objection to form, asked 8 and answered. 9 You can answer. 10 THE WITNESS: Sometime around when this 11 was going on. I mean, honestly, I don't remember. 12 BY MR. SCOTT: 13 Q Was it before this document and this 14 presentation was done? 15 MS. WOOLSON: What presentation? 16 MR. SCOTT: The presentation that's 17 marked as Exhibit 6. He gave us a date on that, I 18 believe of April of 2013. 19 THE WITNESS: No, I gave you an after 20 date. I said it was after April 2013. 21 BY MR. SCOTT: 22 Q Okay. Well, was the work that you were</p>	<p>Page 165</p> <p>1 exposed to DMAA and absorbing the compound"? 2 A It's probably poor word choice on our 3 part, and as I say, looking to see if DMAA could be 4 exposed to -- I mean DMAA could be -- the plants 5 could be exposed to DMAA through the soil and 6 fertilizer. 7 Q Well, now, let me back up to something 8 you talked about a while ago. As I understand it, 9 you said you did an exercise where you got geranium 10 plants, live geranium plants, correct? 11 A Yes. 12 Q And then you put DMAA in water in 13 concentration levels equivalent of what you had found 14 when you did your DMAA testing of geranium plants for 15 USP Labs, correct? 16 A Approximately the same concentrations. 17 Q Well, the concentrations in those -- your 18 samples, you know, had some ranges there. So do you 19 recall what range you picked? Was it the high end or 20 the low end? 21 A No. 22 Q Or something in between?</p>

Page 166

1 A No.

2 Q But it was something that approximated

3 what you found -- the concentration levels in the

4 water that you poured over the plants, it

5 approximated the levels that you found when you did

6 your testing of USP Labs samples, correct?

7 A Correct.

8 Q And then you said that once you poured

9 the water over them, the -- did you do it all at one

10 time?

11 A We repeatedly watered the plants.

12 Q With the concentration levels that were

13 in what -- equivalent to what you found when you did

14 your testing?

15 A Correct.

16 Q Each water sample had the same

17 concentration level in it?

18 A To the best of my knowledge.

19 Q Now, if you are watering geranium plants

20 with water that's been spiked with DMAA equivalent of

21 what you found in your test causes them to die, how

22 do you juxtapose that with your view that the

Page 167

1 geranium plants naturally produce the product at

2 those concentration levels?

3 MS. WOOLSON: Objection to form.

4 You can answer.

5 THE WITNESS: You know, I can't really

6 give you a good answer. It -- we -- well, for the

7 same reason I didn't include it in my report. We --

8 about that time period, I moved at the time

9 Ms. Fleming, now Dr. Fleming, to another project

10 because it had more pressing needs, and that was

11 related to haloacetic acids in drinking water and

12 bleach. And the work here was no longer being funded

13 by USP Labs, and so I moved on.

14 BY MR. SCOTT:

15 Q Well, that all may be, but my question

16 was a little more specific than that.

17 My question is, do you have an

18 explanation of why -- if geranium plants naturally

19 produce DMAA at the concentration levels that you

20 found it in your test for USP Labs, why pouring that

21 concentration level over them in water would kill

22 them?

Page 168

1 MS. WOOLSON: Objection to form, asked

2 and answered.

3 You can answer.

4 THE WITNESS: I don't know.

5 (Exhibit No. 13 was marked for

6 identification.)

7 BY MR. SCOTT:

8 Q You have in front of you what's been

9 marked for identification purposes as Exhibit 13 to

10 your deposition. It's a two-page document bearing

11 identification -- well, no identification numbers.

12 This was produced by the University of Memphis. It's

13 headed "FHLFF131, Fertilizer, 09/11/13."

14 And I will ask you, first of all, to take

15 a look at it and see if you've seen this before.

16 A I have not seen it before, but it looks

17 like something that came out of our lab.

18 Q All right, sir. And there are several

19 products or names here starting with Lesco. Do you

20 see that?

21 A I do.

22 Q Is that a fertilizer?

Page 169

1 A Presumably.

2 Q Spring Valley, is that a fertilizer?

3 A I believe it is.

4 Q Scott Extract, is that a fertilizer?

5 A I believe it is.

6 Q And Earthmate, is that a fertilizer?

7 A I believe it is.

8 Q Now, do these appear to be chromatographs

9 that were done of samples of each of the fertilizers

10 I just named?

11 A Yes.

12 Q Do any of these show the presence of

13 DMAA, either 1,3 or 1,4-DMAA, in those fertilizers?

14 A I don't know.

15 Q What would you have to do to determine

16 that?

17 A I would have to look at a standard run

18 approximately at the same time, look at the retention

19 times, and do a much more detailed analysis than -- I

20 might look at some chromatograms on a sheet of paper

21 that aren't labeled.

22 Q All right, sir.

<p style="text-align: right;">Page 170</p> <p>1 (Exhibit No. 14 was marked for 2 identification.) 3 BY MR. SCOTT: 4 Q All right, sir. You have in front of you 5 what's been marked for identification purposes as 6 Exhibit 14 to your deposition. It is a multi-page 7 document, pages 1 through 23. The first page is 8 "Analysis of 1,3-DMAA and 1,4-DMAA in geranium plants 9 using high performance liquid chromatography with 10 tandem mass spectrometry and nuclear magnetic 11 resonance, Heather L. Fleming, September 2013." 12 Do you see that? 13 A I do. 14 Q And do you know what this document is? 15 A Probably her prospectus document. 16 Q And what's that? 17 A That's the document that she writes as 18 part of her cumulative examinations to qualify as a 19 Ph.D. candidate at the University of Memphis. 20 Q All right, sir. Did you have any 21 involvement in the preparation of this? 22 A I probably edited it, more than likely.</p>	<p style="text-align: right;">Page 172</p> <p>1 Q Now, in your published study you talk 2 about a number of other studies that were performed 3 pertaining to trying to identify DMAA in geranium 4 plants. Right? 5 A Right. 6 Q Now, are you familiar with something that 7 is generally referred to as the Ping study? 8 A Yes. 9 Q And do you refer to or cite the Ping 10 study in your published study there, Exhibit 4? 11 A No. 12 Q Why is that? 13 A I had not read that study. 14 Q All right. So when you did your work for 15 USP Labs, you had not seen the Ping study? 16 A That's correct. 17 Q Were you aware of it? 18 A I don't recall. 19 Q But certainly when you put forward your 20 findings in Exhibit 4, you had not reviewed the Ping 21 study and were not relying on it for any purpose? 22 A I had not reviewed it and I did not</p>
<p style="text-align: right;">Page 171</p> <p>1 I mean -- yes. 2 Q And so you would have looked it over and 3 told her go/no go with the document before she 4 submitted it? 5 A Yes. 6 Q All right, sir. You can set that aside. 7 MR. SCOTT: Let's take a short break here 8 while I shift materials. 9 (Recess.) 10 BY MR. SCOTT: 11 Q Back on the record after a short break. 12 Doctor, I will remind you you're still 13 under oath, all right? 14 A Understood. 15 Q And please let me know if my questions 16 are unclear. 17 A Understood. 18 Q And if you need a break, again let me 19 know. 20 If you would, grab Exhibit 4, your 21 published study. 22 A Okay.</p>	<p style="text-align: right;">Page 173</p> <p>1 reference it. 2 Q Did you rely on it for anything? 3 A No. I had not read it, had not seen it. 4 Q All right, sir. When do you recall first 5 seeing the Ping study? 6 A Sometime after it was provided to me 7 during this -- the generation of my expert report as 8 related to this particular case. 9 Q Okay. So you first saw Ping after you 10 were retained as an expert in this case? 11 A That's correct. 12 Q And I will assume a lawyer gave it to 13 you? 14 MS. WOOLSON: Objection to form. 15 You can answer. 16 THE WITNESS: Yeah, it was provided as 17 part of the documents that were given to me. 18 BY MR. SCOTT: 19 Q Okay. Now, I probably should have asked 20 this earlier, but in the course of preparing as an 21 expert here, have you had any conversations with 22 anyone at Hi-Tech?</p>

Page 174	Page 176
<p>1 A I don't understand.</p> <p>2 Q You've been working as an expert for</p> <p>3 Hi-Tech in this matter. Have you talked to anybody</p> <p>4 who works directly for Hi-Tech as opposed to lawyers</p> <p>5 working for Hi-Tech?</p> <p>6 A I've only spoken to the lawyers, that I'm</p> <p>7 aware of.</p> <p>8 Q When you were doing your work that</p> <p>9 resulted in Exhibit 4 being prepared, your -- the</p> <p>10 published article about your study, did you have any</p> <p>11 direct communications, conversations with anyone</p> <p>12 employed by Intertek?</p> <p>13 MS. WOOLSON: Did you say Intertek?</p> <p>14 MR. SCOTT: Yes.</p> <p>15 THE WITNESS: Not that I can recall.</p> <p>16 BY MR. SCOTT:</p> <p>17 Q Have you had any conversations with</p> <p>18 anyone employed by Intertek since you've been</p> <p>19 employed as an expert in this case?</p> <p>20 A No.</p> <p>21 Q If you would, flip over to your report in</p> <p>22 this case, Exhibit 4.</p>	<p>1 Q Then it goes on to say there: "This</p> <p>2 manuscript should be viewed for what it is, a typical</p> <p>3 chemical survey using GC/MS to separate, provide</p> <p>4 relative quantity and initial identification of the</p> <p>5 compounds present in the geranium oil extract."</p> <p>6 Do you see that?</p> <p>7 A I do.</p> <p>8 Q What do you mean by "a typical chemical</p> <p>9 survey"?</p> <p>10 A Well, based on my review of additional</p> <p>11 literature as part of this report, there were</p> <p>12 multiple surveys referenced for geranium that used</p> <p>13 similar methods to identify the components.</p> <p>14 Q Okay. And do you rely on those for any</p> <p>15 purpose, those other surveys in your report?</p> <p>16 A (Perusing document.) Yes.</p> <p>17 Q And what purpose do you rely on those</p> <p>18 other general surveys for?</p> <p>19 A The composition of geranium plants as</p> <p>20 referenced by multiple authors, and the composition</p> <p>21 of those geranium plants and oil extracts vary</p> <p>22 widely, based on the metal ion variation of the soil,</p>
Page 175	Page 177
<p>1 MS. WOOLSON: Report?</p> <p>2 MR. SCOTT: Report.</p> <p>3 MS. WOOLSON: That's not Exhibit 4.</p> <p>4 MR. SCOTT: I'm sorry. Exhibit 3.</p> <p>5 You're correct.</p> <p>6 BY MR. SCOTT:</p> <p>7 Q Flip over to Exhibit 3, the report you</p> <p>8 prepared, the declaration that you prepared as an</p> <p>9 expert in this matter, and turn to page 23.</p> <p>10 A Okay.</p> <p>11 Q Paragraph 39 there starts off: "The</p> <p>12 publication as the stem of many discussions and</p> <p>13 analysis in the peer-reviewed literature is Ping</p> <p>14 1996."</p> <p>15 You see that?</p> <p>16 A I do.</p> <p>17 Q And it goes on to say: "Here Ping</p> <p>18 reports using gas chromatography, GC, with mass</p> <p>19 spectrometry, MS, to identify components, including</p> <p>20 1,3-DMAA in geranium oil extract."</p> <p>21 Do you see that?</p> <p>22 A I do.</p>	<p>1 the growing region and growing climate. In addition,</p> <p>2 the method of preparation can affect the apparent</p> <p>3 composition of the geranium plant oil extracts. And</p> <p>4 what I said was -- yeah.</p> <p>5 Q You are reading from part of your report?</p> <p>6 A I am.</p> <p>7 Q What page?</p> <p>8 A 42, paragraph 74.</p> <p>9 Q All right, sir. Now, in any of -- do any</p> <p>10 of the other general surveys of components of the</p> <p>11 geranium plants and oil extracts identify DMAA as a</p> <p>12 component, anybody beyond Ping?</p> <p>13 A Can you repeat the question?</p> <p>14 Q Sure. You said that you looked at and</p> <p>15 relied on for some purpose general surveys of</p> <p>16 geranium oil and components, right?</p> <p>17 A Correct.</p> <p>18 Q Do any of the -- do any general surveys</p> <p>19 of geranium oil components other than Ping identify</p> <p>20 DMAA as a component?</p> <p>21 A Not that I'm aware of, at the</p> <p>22 concentrations that they reported their components</p>

Page 178

1 at.

2 Q What concentrations did Ping report his

3 at?

4 A I don't remember off the top of my head.

5 I would have to actually look at it.

6 Q Do you consider the Ping report to

7 conclusively demonstrate that it found DMAA?

8 MS. WOOLSON: I'm sorry. Could you

9 repeat that question?

10 MR. SCOTT: Read it back.

11 (Whereupon, the requested record was

12 read.)

13 MS. WOOLSON: You can answer.

14 THE WITNESS: They reported that they

15 did, and -- and I assume, like I do with others, that

16 the results were reported in good faith.

17 BY MR. SCOTT:

18 Q Well, people make mistakes. Correct?

19 A Correct.

20 Q Well, let me ask you this: Have you seen

21 the Ping report in its original Chinese?

22 A Maybe. I don't remember.

Page 179

1 Q Were you given an English translation of

2 it?

3 A Yes.

4 Q Did you attempt to duplicate the results

5 of Ping?

6 A No.

7 Q Now, based on the information that's

8 provided in the Ping study, would it be possible to

9 actually follow what they did and try to duplicate

10 its results?

11 A Do you have a copy of the Ping paper?

12 Q Sure.

13 Well, let me ask you this: Do you

14 know -- while I pull it out, in relation to the Ping

15 paper, did Ping compare a chromatograph to a

16 reference library to identify what he says was DMAA?

17 A I would have to read the paper to tell

18 you.

19 Q Well, let me ask you this: When you were

20 given the paper, did you do anything to determine

21 whether or not his results were in fact subject to

22 duplication?

Page 180

1 A I don't understand.

2 (Exhibit No. 15 was marked for

3 identification.)

4 BY MR. SCOTT:

5 Q All right, sir. You've got in front of

6 you what's been marked for identification purposes as

7 Exhibit 15. It's a multi-page document bearing

8 identification numbers HT 06200 through 6207. The

9 first page is "A Study on the Chemical Constituents

10 of Geranium Oil."

11 Is that the translation of the Ping study

12 that you were provided?

13 A Yes.

14 Q Now, did Ping take a chromatograph and

15 compare it to a reference library to identify

16 substances that it said were DMAA?

17 A (Perusing document.)

18 So what was the question?

19 Q I'm not even sure at this point.

20 MR. SCOTT: What was the last question?

21 (Whereupon, the requested record was

22 read.)

Page 181

1 THE WITNESS: Potentially.

2 BY MR. SCOTT:

3 Q You can't tell whether he did that or

4 not?

5 A There's a sentence that says:

6 "Furthermore, complex quantitative operations such as

7 a spectrum temperature control, collection of

8 spectrum data, data storage and mass spectrum image

9 examination will be performed by a computer."

10 Q Was any of the results of that reported

11 in his study, in his report, that exhibit?

12 A I mean, he reported the conditions of the

13 GC/MS, and that's his analysis conditions. The --

14 the specifics of data storage were not described, but

15 basically nobody describes the specifics of how you

16 store the things on the computer. And the mass

17 spectrum image examination is what I assume to be

18 the -- a scan against the reference library.

19 Q Okay. Did he tell you what reference

20 library he used?

21 A No.

22 Q Did he tell you how close the match was,



Page 182	Page 184
<p>1 either quantitatively or qualitatively?</p> <p>2 A No.</p> <p>3 Q Would it be possible for you to go back</p> <p>4 then and take what he gave you in the chromatograph</p> <p>5 and determine if his analysis was done correctly?</p> <p>6 A I mean, can -- can you repeat the</p> <p>7 question?</p> <p>8 Q Sure. How would you duplicate the</p> <p>9 results that he has without knowing the reference</p> <p>10 library used or the closeness of the match?</p> <p>11 A I would start with the equipment provided</p> <p>12 and -- and the scan speed, the column conditions, the</p> <p>13 temperature of the oven, the injector port and the</p> <p>14 detector conditions. Start with all of those, as</p> <p>15 well as the column that he provided, and the</p> <p>16 5 percent benzyl polysiloxane fused silica capillary</p> <p>17 column. He's got the scan speeds here. The</p> <p>18 electron -- the electric potential for the ionization</p> <p>19 is there, which is the standard 70 electron volts</p> <p>20 that has been used for as long as I've been an</p> <p>21 analytic chemist. And provided -- I think he</p> <p>22 provided somewhere how they did the oil extraction.</p>	<p>1 THE WITNESS: I was -- I don't know if I</p> <p>2 would --</p> <p>3 BY MR. SCOTT:</p> <p>4 Q I'm sorry, I didn't hear you.</p> <p>5 A Hold on one moment. I'm looking for my</p> <p>6 statement.</p> <p>7 So in my Summary of Opinions, page 87, I</p> <p>8 state that: "It is my opinion that the geranium</p> <p>9 plants analyzed by Li, 2012, and Fleming, 2012,</p> <p>10 contain concentrations of 1,3-DMAA in the stated</p> <p>11 range for both, and that these studies were done to</p> <p>12 the same level of rigor as ElSohly, 2012 and 2015;</p> <p>13 Austin, 2013; Zhang, 2011; and DiLorenzo, 2012, both</p> <p>14 in terms of the analytical chemistry and level of</p> <p>15 authentication of the geranium plants."</p> <p>16 Q Well, I'm confused because earlier in</p> <p>17 your testimony I thought you said that you were</p> <p>18 relying on four studies that you said found DMAA,</p> <p>19 including Ping. So are you not relying on Ping?</p> <p>20 A I guess I misstated it. That should be</p> <p>21 three.</p> <p>22 Q So you are not relying on Ping for any</p>
Page 183	Page 185
<p>1 Let's see. That's where I would start.</p> <p>2 Q All right, sir. If you would look at</p> <p>3 your report again, paragraph 38.</p> <p>4 A Okay.</p> <p>5 Q Page 22.</p> <p>6 A What page?</p> <p>7 Q Page 22, paragraph 38.</p> <p>8 A Okay.</p> <p>9 Q It states there: "In either case, the</p> <p>10 most credible publications of 1,3-DMAA analysis</p> <p>11 report parameters such as detection limits, accuracy,</p> <p>12 precision, and percentage recoveries."</p> <p>13 Do you see that?</p> <p>14 A Yeah.</p> <p>15 Q Does Ping supply you with that</p> <p>16 information in his report?</p> <p>17 A No. And neither do -- there's a few</p> <p>18 other papers that I reviewed that also did not.</p> <p>19 Q But you are relying on Ping but not the</p> <p>20 others, correct?</p> <p>21 MS. WOOLSON: Objection to form.</p> <p>22 You can answer.</p>	<p>1 purpose in your report?</p> <p>2 A Other than I reviewed it as a report that</p> <p>3 purported to contain DMAA, and then I would say that</p> <p>4 my analysis of Ping is -- it is what it is.</p> <p>5 Let me put it this way: It is not the --</p> <p>6 I guess the same level of rigor that the other more</p> <p>7 quantitative papers used. It's a qualitative</p> <p>8 identification.</p> <p>9 Q Did Ping use a reference sample to</p> <p>10 identify the DMAA he claims to have found?</p> <p>11 A I don't know. It's not stated.</p> <p>12 Q If he did, he didn't say so?</p> <p>13 A Correct.</p> <p>14 Q Do you know if in the Ping paper what he</p> <p>15 identifies as DMAA elutes at the level and time frame</p> <p>16 that you would expect to --</p> <p>17 A Can you repeat that or restate it?</p> <p>18 Q Sure, are you familiar with the term</p> <p>19 "elutes"?</p> <p>20 A Yes.</p> <p>21 Q And what is that?</p> <p>22 A It's the -- well, that's the -- it's</p>

Page 186	Page 188
<p>1 referring to a chemical compound exiting a 2 chromatography column.</p> <p>3 Q And they generally exit at a given time 4 frame based on the size and configuration of the 5 molecule, correct?</p> <p>6 MS. WOOLSON: Objection to form.</p> <p>7 You can answer.</p> <p>8 THE WITNESS: Based on the -- it's a 9 little more complicated than that. It's based on the 10 stationary phase, the -- and the interaction between 11 it and the molecule.</p> <p>12 BY MR. SCOTT:</p> <p>13 Q Is the time frame in the analysis in 14 which Ping reports DMAA to have eluted consistent 15 with what you understand the time frame that DMAA 16 will elute in doing this type of analysis?</p> <p>17 MS. WOOLSON: Objection to form.</p> <p>18 You can answer.</p> <p>19 THE WITNESS: (Perusing document.) Can 20 you restate the question just so I'm clear?</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Sure. Have you looked at the Ping study</p>	<p>1 this: You don't know when Ping says DMAA eluted in 2 the process, do you?</p> <p>3 MS. WOOLSON: Objection to form.</p> <p>4 THE WITNESS: Yeah, I don't see the -- I 5 don't see 1,3-DMAA or 4-methylhexane on there.</p> <p>6 BY MR. SCOTT:</p> <p>7 Q Do you see in the Ping paper 1,3-DMAA or 8 1,4-DMAA listed at all?</p> <p>9 A No. Not as stated.</p> <p>10 Q All right, sir. If you go back to your 11 report, Exhibit 3.</p> <p>12 In Exhibit 3, if you would, turn to 13 page 27, paragraph 46.</p> <p>14 A Okay.</p> <p>15 Q Now, I take it certainly you've reviewed 16 the Li protocol used in testing geranium plants for 17 1,3-DMAA and 1,4-DMAA?</p> <p>18 A Correct.</p> <p>19 Q And, in fact, you implemented those 20 protocols in doing the testing for USP Labs that is 21 reported in your article, Exhibit 4, correct?</p> <p>22 A Correct.</p>
Page 187	Page 189
<p>1 sufficiently to determine if he is reporting what he 2 considers to be DMAA to be eluting the process at the 3 time frame that you think DMAA should be shown to be 4 eluting the process?</p> <p>5 MS. WOOLSON: Objection to form.</p> <p>6 You can answer.</p> <p>7 THE WITNESS: I have not reviewed it in 8 that detail, no.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q Based on the information that you have 11 and based on your review of Ping, to the extent that 12 you have had a chance to review it, can you confirm 13 within a reasonable degree of scientific certainty 14 that what he claims to have found as DMAA in fact was 15 DMAA?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: (Perusing document.) 19 Can you -- just so it's clear, can you 20 restate what -- the question that you asked?</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Let me withdraw the question and ask you</p>	<p>1 To be clear, I got what amounts to a -- I 2 reviewed their standard analysis method. I didn't 3 review the paper before.</p> <p>4 Q All right. So you reviewed their 5 standard analysis method when you did your testing. 6 You hadn't actually seen their final paper since 7 then?</p> <p>8 A That's correct.</p> <p>9 Q Do you have any understanding of what the 10 conclusions were reached in the final paper?</p> <p>11 And we'll get to that. I'm asking if 12 you -- is that something you looked at in the course 13 of doing your expert work?</p> <p>14 A Yes.</p> <p>15 Q Is that something you did before 16 looking -- doing your expert work, looked at the Li 17 paper to see what his conclusions were?</p> <p>18 A I may have.</p> <p>19 Q You don't have a specific recollection of 20 that?</p> <p>21 A No. I mean, other than what I probably 22 mentioned in my publication.</p>

<p style="text-align: right;">Page 190</p> <p>1 (Exhibit Nos. 16 and 17 were marked 2 for identification.) 3 BY MR. SCOTT: 4 Q All right, sir. You have in front of you 5 a document which has been marked for identification 6 purposes as Exhibit 16 to your deposition. It's a 7 multi-page document bearing identification numbers 8 UMPS-HT-00682 through 686. 9 And I will ask you to take a look at 10 this, and tell me if this is a copy of the standard 11 analytical method that you were provided pertaining 12 to the work done by Intertek. 13 A Yeah, as best as I can recall. 14 Q All right, sir. And there's also 15 Exhibit 17, which bears identification numbers 16 UMPS-HT-687 through 691. The first page is headed 17 "Standard Analytical Methods, Intertek ACC Labs." 18 Is this an additional standard analytical 19 methods sheet that you were provided by Intertek 20 while you were doing your work for USP Labs? 21 A I don't believe I ever received these 22 from Intertek.</p>	<p style="text-align: right;">Page 192</p> <p>1 Intertek's work or do you rely on Intertek's work to 2 support that opinion? 3 A I based it on the paper that they 4 published. 5 Q Okay. And did you -- what -- and what in 6 the paper did they publish are you basing -- are you 7 using for support? 8 A Do you have the paper on you? 9 Q Sure. 10 (Exhibit No. 18 was marked for 11 identification.) 12 BY MR. SCOTT: 13 Q All right, sir. You have in front of you 14 what's been marked as 19 to your deposition -- 18, 15 I'm sorry. 16 You have in front of you what's been 17 marked as Exhibit 18 to your deposition. It is a 18 multi-page document bearing identification numbers 19 HT-06140 through 06151. The first page is headed 20 "Identification and Quantification of 21 Dimethylamylamine in Geranium By Liquid 22 Chromatography Tandem Mass Spectrometry," J. S. Li,</p>
<p style="text-align: right;">Page 191</p> <p>1 Q Where did you get them? 2 A Through USP Labs. 3 Q All right. But these were both given to 4 you by USP Labs while you were doing your work on the 5 samples that they provided? 6 A As best as I can recall, that's correct. 7 Q Now, do you rely on the Intertek work to 8 support your view that geranium plants naturally 9 produce DMAA? 10 A What do you mean? 11 Q I mean you've offered the opinion, I 12 thought, correct me if I'm wrong, that DMAA is 13 naturally produced by geranium plants, and you based 14 that in part, I thought, on the studies that you did 15 and the testing of the geranium samples provided by 16 USP Labs. Am I right so far? 17 A You're -- hold on. The question was 18 really long, so I'm sorry. 19 Q Is it your opinion that geranium plants 20 naturally produce DMAA? 21 A Well, yeah, as I wrote in my report, yes. 22 Q And is it your -- did you rely on any of</p>	<p style="text-align: right;">Page 193</p> <p>1 M. Chan, and Z. C. Li are the authors. 2 Do you see that? 3 A I see that. 4 Q This is the write-up of the Li work, the 5 Intertek work, correct? 6 A As far as I know, yes. 7 Q Have you seen this before? 8 A Yes. 9 Q And is there anything in the Intertek 10 work that you're relying upon to support your 11 position that geranium plants naturally produce DMAA? 12 A They have plants and oils, what looks to 13 be three plants and three oils from various regions 14 of China that they analyzed and report to contain 15 concentrations of 1,3-DMAA and 1,4-DMAA. 16 Q Now, does anything in their study in your 17 view support a conclusion that their work shows that 18 geranium plants actually naturally produce DMAA as 19 opposed to, Well, we tested some samples and we found 20 it? 21 MS. WOOLSON: Objection to form. 22 You can answer.</p>

Page 194

1 THE WITNESS: Can you restate the  
 2 question?  
 3 BY MR. SCOTT:  
 4 Q Sure. Do you know what they were asked  
 5 to do by USP Labs in doing this work?  
 6 MS. WOOLSON: That's a different question  
 7 completely.  
 8 MR. SCOTT: Yes, I know it. I'm asking a  
 9 different question because he didn't understand the  
 10 other one.  
 11 MS. WOOLSON: No, he asked you to restate  
 12 it. Now you're asking him a completely different  
 13 question. And that's fine, but that's what you're  
 14 doing.  
 15 Go ahead, you can answer.  
 16 MR. SCOTT: So what's the objection if  
 17 it's fine for me to ask a different question?  
 18 MS. WOOLSON: The witness asked you to  
 19 restate the question that you asked him, and instead,  
 20 you chose to ask him a completely different question  
 21 in response.  
 22 MR. SCOTT: Yes, and do you have an

Page 195

1 objection or is it just you want to get involved --  
 2 MS. WOOLSON: Yes, my objection is the  
 3 witness asked you to restate the question, and you  
 4 didn't do that. You chose to ask him a different  
 5 question from what he asked you to do.  
 6 MR. SCOTT: Okay. I still haven't heard  
 7 an objection.  
 8 MS. WOOLSON: I'm just making sure we're  
 9 clear for the record that you did not respond to the  
 10 witness's request.  
 11 BY MR. SCOTT:  
 12 Q Sir, you read the Intertek paper as part  
 13 of you being an expert in this case, right?  
 14 A Correct.  
 15 Q Did you rely on that for your conclusion  
 16 in any form or fashion that DMAA is naturally  
 17 produced by geranium plants?  
 18 MS. WOOLSON: Objection to form. Asked  
 19 and answered three times.  
 20 You can answer.  
 21 THE WITNESS: Yes.  
 22 BY MR. SCOTT:

Page 196

1 Q And what was that?  
 2 MS. WOOLSON: Objection to form. Asked  
 3 and answered three times.  
 4 You can answer.  
 5 THE WITNESS: That they measured -- that  
 6 they analyzed plants from three different regions in  
 7 China, and the oils that they analyzed, although I'm  
 8 not sure if I specifically mentioned the oils  
 9 themselves because I did not analyze them, but they  
 10 did the three different plants from China, three  
 11 different regions and found DMAA in all of them above  
 12 their detection limits.  
 13 BY MR. SCOTT:  
 14 Q Okay. What did Intertek do, if anything,  
 15 to ensure that the plants they tested had not been  
 16 contaminated before they reached Intertek's lab by  
 17 water, air or fertilizer or soil?  
 18 MS. WOOLSON: Objection to form.  
 19 You can answer.  
 20 THE WITNESS: I have no evidence in any  
 21 way, shape or form of -- that their plants could have  
 22 been contaminated, and the -- there was no evidence

Page 197

1 based on my dealings with -- where I got my samples  
 2 from that they were adulterated or contaminated.  
 3 BY MR. SCOTT:  
 4 Q Were fertilizers used on the plants that  
 5 you tested in growing them?  
 6 MS. WOOLSON: Objection to form, asked  
 7 and answered.  
 8 You can answer.  
 9 THE WITNESS: Can you repeat that?  
 10 BY MR. SCOTT:  
 11 Q Sure. The plants that you received for  
 12 testing, had fertilizer been used to grow them?  
 13 MS. WOOLSON: Same objection.  
 14 You can answer.  
 15 THE WITNESS: I don't know.  
 16 BY MR. SCOTT:  
 17 Q Were fertilizers used on the plants that  
 18 were grown and supplied to Intertek?  
 19 A I don't know.  
 20 Q All right, sir. Is there anything that  
 21 Intertek did that you rely upon to support your  
 22 conclusion that geranium plants actually produce DMAA

Page 198	Page 200
<p>1 other than they tested samples provided by USP Labs</p> <p>2 and found what they believed to be DMAA in those</p> <p>3 samples?</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: Can you repeat the</p> <p>7 question?</p> <p>8 BY MR. SCOTT:</p> <p>9 Q Sure. Is there anything other than</p> <p>10 Intertek -- in the Intertek report that you are</p> <p>11 relying on for your opinion that geranium plants</p> <p>12 naturally produce DMAA other than the fact that they</p> <p>13 tested samples that USP Labs had sent to them and</p> <p>14 found trace elements of DMAA in some of those</p> <p>15 samples?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 You can answer.</p> <p>18 THE WITNESS: I'm -- I'm not really sure</p> <p>19 what -- I don't understand your question.</p> <p>20 BY MR. SCOTT:</p> <p>21 Q Okay. Well, let me make it very, very</p> <p>22 simple.</p>	<p>1 in the report to support your conclusion regarding</p> <p>2 DMAA being naturally produced by geraniums?</p> <p>3 MS. WOOLSON: Objection to form.</p> <p>4 You can answer.</p> <p>5 THE WITNESS: I mean, I've looked at</p> <p>6 their report, and it said they found it in there.</p> <p>7 I've used my report, we found it, and that's what</p> <p>8 I in my report based my conclusions on.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q All right, sir. Turn to page 57 of</p> <p>11 Exhibit 18, the Li study.</p> <p>12 A Okay.</p> <p>13 Q Up at the top of that page, it says that:</p> <p>14 "Further study is needed to elucidate the</p> <p>15 biosynthetic pathway of DMAAs in the geranium plant."</p> <p>16 Do you see that?</p> <p>17 A I see that.</p> <p>18 Q I know you said you haven't talked to</p> <p>19 anybody from Intertek regarding their study, right?</p> <p>20 A Correct.</p> <p>21 Q And do you know what they meant by that?</p> <p>22 A Based on what knowledge?</p>
Page 199	Page 201
<p>1 A Great.</p> <p>2 Q You say you read the Intertek report.</p> <p>3 A Correct.</p> <p>4 Q And it says in there that USP Labs gave</p> <p>5 us samples of geraniums, we tested them and found</p> <p>6 some DMAA, right?</p> <p>7 A Let me see. (Perusing document.)</p> <p>8 It says they received their plants</p> <p>9 and geranium -- their plants from Mr. Yi Jin of</p> <p>10 Yunnan University and authenticated by Professor Xu</p> <p>11 Youkai of the Xishuangbanna Tropical Botanical</p> <p>12 Garden, Chinese Academy of Sciences.</p> <p>13 Q Just like you did.</p> <p>14 MS. WOOLSON: Objection to form.</p> <p>15 You can answer.</p> <p>16 THE WITNESS: Yes.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q Okay. They got those samples, and they</p> <p>19 tested them and found some DMAA. They say that in</p> <p>20 their report, right?</p> <p>21 A Correct.</p> <p>22 Q Do you rely on anything other than that</p>	<p>1 Q Based on any you have.</p> <p>2 A I mean, I read Dr. Brown's report and she</p> <p>3 discussed needing to come up with a biosynthetic</p> <p>4 pathway, but I'm presuming that means the plant takes</p> <p>5 something in and makes DMAA.</p> <p>6 Q Okay. Well, I mean -- well, moving away</p> <p>7 from Dr. Brown, do you have any idea of what Intertek</p> <p>8 meant here by "elucidate a biosynthetic pathway of</p> <p>9 DMAAs"?</p> <p>10 MS. WOOLSON: Objection to form.</p> <p>11 You can answer.</p> <p>12 THE WITNESS: I mean, that's not -- that</p> <p>13 sounds like to me somebody needs to go do some</p> <p>14 biochemistry research to figure out what it is.</p> <p>15 BY MR. SCOTT:</p> <p>16 Q To figure out what what is?</p> <p>17 A What the biosynthetic pathway is.</p> <p>18 Q And do you -- based on reading</p> <p>19 Dr. Brown's report or any other source, do you have</p> <p>20 an understanding of what a biosynthetic pathway is as</p> <p>21 it relates to a chemical like DMAA?</p> <p>22 MS. WOOLSON: Objection to form, asked</p>

Page 202	Page 204
<p>1 and answered.</p> <p>2 You can answer.</p> <p>3 THE WITNESS: I mean, I --</p> <p>4 BY MR. SCOTT:</p> <p>5 Q I mean as a general idea what a</p> <p>6 biosynthetic pathway is. I'm not asking you if you</p> <p>7 know of one particular chemical.</p> <p>8 A No, I think I understand. I think -- I'm</p> <p>9 not sure if that's a question -- like I'm not sure</p> <p>10 that's a question that my background covers, let me</p> <p>11 put it that way.</p> <p>12 Q The question of what a biosynthetic</p> <p>13 pathway is, is not something that is typically to be</p> <p>14 dealt with by an analytical chemist. Is that what</p> <p>15 you're saying?</p> <p>16 A Yeah.</p> <p>17 Q Now, when you looked at Dr. Brown's</p> <p>18 report and she talked about biosynthetic pathways in</p> <p>19 determining whether the geranium plant could make</p> <p>20 DMAA or not, you saw that?</p> <p>21 A I saw that.</p> <p>22 Q And did you agree with her view that that</p>	<p>1 A Okay.</p> <p>2 Q Now, do you know if the levels of DMAA</p> <p>3 that Li reports that he found in samples of DMAA were</p> <p>4 lower or higher than were reported by Ping?</p> <p>5 A (Perusing document.)</p> <p>6 So I previously stated that I didn't see</p> <p>7 1,3-DMAA on the --</p> <p>8 Q On the Ping study?</p> <p>9 A -- on the Ping study.</p> <p>10 Q Okay. I'm sorry. I had forgotten that.</p> <p>11 Okay. Well, look at the Li study, if you</p> <p>12 would, Exhibit 18 to your deposition. If you would,</p> <p>13 turn to page 55.</p> <p>14 A Okay.</p> <p>15 Q Under the heading there, "Application of</p> <p>16 the Method to Investigating Geranium Plants and</p> <p>17 Geranium Oils," do you see that?</p> <p>18 A I do.</p> <p>19 Q And under that it says: "The current</p> <p>20 method was applied to analyze geranium plants and</p> <p>21 geranium oils from different sources. The results</p> <p>22 are shown in Table 5."</p>
Page 203	Page 205
<p>1 was important in determining whether the DMAA that</p> <p>2 you found was either synthetic or naturally produced</p> <p>3 by geranium plants?</p> <p>4 MS. WOOLSON: Objection. Form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: You're going to have to</p> <p>7 show me where she wrote it, so I can read what she</p> <p>8 stated.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q Okay. But you've done -- strike that.</p> <p>11 Now, in doing your work on your study,</p> <p>12 did you do ion detection analysis?</p> <p>13 A What are you talking about?</p> <p>14 Q Did you use ions or identifying ions to</p> <p>15 try to determine whether or not what you were seeing</p> <p>16 was DMAA in your study, your analysis?</p> <p>17 A I understand you're saying did I use</p> <p>18 ions, but I'm not -- I don't understand -- it's an</p> <p>19 oddly worded question. I mean, like ions is pretty</p> <p>20 broad.</p> <p>21 Q All right. Well, we will hold that one</p> <p>22 and get back to it.</p>	<p>1 Do you see that?</p> <p>2 A I see that.</p> <p>3 Q And feel free to take a look at Table 5</p> <p>4 if you'd like.</p> <p>5 A (Perusing document.) Okay.</p> <p>6 Q Now, on Table 5, we have there various</p> <p>7 concentrations of DMAA that they purport to have</p> <p>8 found in samples from China.</p> <p>9 Do you see that?</p> <p>10 A I see that.</p> <p>11 Q And do you know which of these samples</p> <p>12 you also tested?</p> <p>13 A Yes.</p> <p>14 Q Which ones?</p> <p>15 A I believe it is the -- I believe it's the</p> <p>16 Changzhou sample, but I would have to like basically</p> <p>17 look at Google Maps to figure out -- make sure the</p> <p>18 regions were right. We -- we did the work to tie</p> <p>19 them all together when we published the paper, but --</p> <p>20 the specifics are hazy.</p> <p>21 Q All right, sir. Now, if you would, look</p> <p>22 down at the bottom of the page, at Table 6 there on</p>

Page 206	Page 208
<p>1 that same page. It has "The relative intensity of</p> <p>2 transitions for qualifying DMAAs in geranium</p> <p>3 pelargonium graveolens."</p> <p>4 Do you see that?</p> <p>5 A I see that.</p> <p>6 Q And it says: "Detection ion MZ precursor</p> <p>7 production ion." And then it's got some numbers in</p> <p>8 the column going off to the right, 116, 43, 57 and</p> <p>9 99. Do you see that?</p> <p>10 A I see that.</p> <p>11 Q Do you have any understanding what that</p> <p>12 information represents?</p> <p>13 A Yes.</p> <p>14 Q What is that?</p> <p>15 A That is the transitions that we used and</p> <p>16 multiple reaction monitoring analysis using tandem</p> <p>17 mass spectroscopy.</p> <p>18 Q All right, sir. And when it says</p> <p>19 "detection ion," what is a detection ion?</p> <p>20 A That is the mass-to-charge ratio of the</p> <p>21 ions used in the mass spectrometer for the analysis.</p> <p>22 Q All right, sir. And how many ion</p>	<p>1 A The same.</p> <p>2 Q The same ones?</p> <p>3 A Mm-hmm.</p> <p>4 Q How many transitions did you do?</p> <p>5 A Let me double-check.</p> <p>6 (Perusing document.)</p> <p>7 So I used the 116 to 99.7 and 116 to 57.</p> <p>8 Q So you did two transitions?</p> <p>9 A Yes.</p> <p>10 Q Using how many ions?</p> <p>11 A Two measurement ions.</p> <p>12 Q All right, sir. Turn to page 28 in your</p> <p>13 report.</p> <p>14 A Okay.</p> <p>15 Q If you would, read through paragraph 47</p> <p>16 there on page 28 in your report, and let me know when</p> <p>17 you are done.</p> <p>18 A (Perusing document.)</p> <p>19 Okay.</p> <p>20 Q Now, the last sentence of that paragraph</p> <p>21 says: "Importantly, Fleming, et al., reported</p> <p>22 concentrations of 1,3-DMAA present in samples arising</p>
Page 207	Page 209
<p>1 transitions, if I'm using that term correctly, did Li</p> <p>2 use in doing his analysis?</p> <p>3 A He used two transitions.</p> <p>4 Q And how many ions is he using?</p> <p>5 A Either two or three, depending on how you</p> <p>6 look at it.</p> <p>7 Q And when you say "two or three, depending</p> <p>8 on how you look at it," what would we be looking at</p> <p>9 to make that differential?</p> <p>10 A So if you -- in a tandem MS you've got</p> <p>11 three quadrupoles in series, for a triple quad at</p> <p>12 least, and the analysis that is being done here, what</p> <p>13 Li has stated is that MS1 is set to 116, a</p> <p>14 mass-to-charge ratio, and then he measures the</p> <p>15 products of -- at 57, I think, the product of the</p> <p>16 collision cell at 57 and 99, where 57 was used for</p> <p>17 quantification for the measurement and 99 was used as</p> <p>18 his qualifying ion.</p> <p>19 Q Did you do a similar analysis in part of</p> <p>20 your work?</p> <p>21 A Yes.</p> <p>22 Q How many ions did you use?</p>	<p>1 from the Changzhou region of China, but not the</p> <p>2 Kunming or the Guiyang regions."</p> <p>3 Do you see that?</p> <p>4 A I do.</p> <p>5 Q What do you mean there, "importantly," in</p> <p>6 reference to those findings?</p> <p>7 A I think it's highlighting the fact that I</p> <p>8 -- that we determined that they were present in --</p> <p>9 1,3-DMAA was present in geranium samples in the</p> <p>10 Changzhou region, but we didn't find anything above</p> <p>11 the detection limit in Kunming or Guiyang.</p> <p>12 Q And in fact, with the Kunming and Guiyang</p> <p>13 samples, you did addition -- standard addition work</p> <p>14 with them, correct?</p> <p>15 And if you look up in paragraph 47, I can</p> <p>16 save you some time. It says: "Fleming, et al., used</p> <p>17 standard addition, which works by analyzing a sample,</p> <p>18 a sample with known concentrations of 1,3-DMAA added</p> <p>19 in to determine concentrations."</p> <p>20 A I -- yeah, we used standard addition.</p> <p>21 Q And so does that mean you spike a sample</p> <p>22 with DMAA to see if then you can measure -- get a</p>

Page 210	Page 212
<p>1 measure, a detection indication that would be over 2 the spiked amount? 3 Well, let me withdraw the question and 4 ask it in a different way. 5 Did you use standard addition analysis 6 with the Kunming sample, for example? 7 A Yes. 8 Q And what did you do? 9 A I -- we had -- in this case -- let me see 10 if I can kind of walk you through it. 11 All right. So we would have taken the 12 individual plant sample -- if we're doing standard 13 addition and we did this for all the samples -- we 14 would take the sample, we'd analyze it. We would 15 spike in a known concentration of 1,3- and 1,4-DMAA 16 into that plant, you know, level 1. And then we 17 would spike in into another sample, another aliquot 18 of that sample, level 2. 19 So we have the unknown, level 1 spike and 20 level 2 spike. We analyzed all three of those 21 samples for 1,3- and 1,4-DMAA. And then we generate 22 a plot, and from that plot of signal versus spiked-in</p>	<p>1 Q And both agreed that you found no signs 2 of DMAA in the Kunming and Changzhou samples? 3 MS. WOOLSON: Objection to form. 4 THE WITNESS: That's correct. 5 BY MR. SCOTT: 6 Q Did Intertek find DMAA in samples from 7 those regions? 8 A That is correct. 9 Q And were you using the same samples that 10 they used? 11 A For one of them. 12 Q Which one? 13 A The Changzhou sample. Changzhou, it's 14 got a specific number. Changzhou S11. 15 Q And he found -- and that was the same 16 sample that you tested, a split from it? 17 A Yes, that was -- it was a split sample. 18 Q And at what concentration levels did they 19 find it? 20 A Approximately 165 nanograms per gram. 21 Q And you didn't find it at all? 22 A No. I found it at 254 nanograms per</p>
Page 211	Page 213
<p>1 concentration, the negative X intercept is the 2 concentration in that aliquot that we spiked in, and 3 then we back calculate based on the dilution factor 4 an extraction protocol that we use to determine the 5 concentration present in those samples. 6 Q So by doing this, you're trying to 7 determine if the original sample where you didn't see 8 signs of DMAA may have it at concentrations below 9 your ability to detect? 10 A Can you repeat that? 11 Q Sure. 12 So you got a sample, you're not showing 13 DMAA; you use standard addition and you spike it. Is 14 this exercise to determine -- to double-check to 15 determine whether or not DMAA is present in the 16 sample below your MDLs? 17 A We used -- I guess the best way to 18 describe it is that we used an external calibration 19 procedure to determine concentrations, and we also 20 used the standard addition procedure to determine 21 concentrations, and both should agree approximately 22 with each other.</p>	<p>1 gram, roughly. 2 Q All right. And was there a sample where 3 they found it and you didn't? 4 A Yes. The Kunming and Guiyang samples. 5 Q Were they splits? 6 A No. No. The Changzhou was the only one 7 that was an actual split sample. 8 Q Okay. And the difference again was 156 9 versus 254 in concentration? 10 A Li had 165, and Fleming reported 254, 11 plus or minus 17 nanograms per gram. 12 Q All right, sir. And how do you account 13 for the difference in concentration levels across the 14 two tests? 15 MS. WOOLSON: Are you talking about the 16 S11 sample? 17 MR. SCOTT: Yeah. 18 THE WITNESS: So as I previously 19 discussed earlier, you know, we were operating at 20 concentrations that are considered trace levels on 21 the parts-per-billion scale, and at those levels the 22 differences between laboratories can be as high as</p>



Page 214	Page 216
<p>1 100 percent, you know, basically using textbook</p> <p>2 definitions.</p> <p>3 BY MR. SCOTT:</p> <p>4 Q How do you account for Li finding DMAA in</p> <p>5 the Kunming and Changzhou -- not Changzhou -- the</p> <p>6 Kunming and the Guiyang samples and you not finding</p> <p>7 it?</p> <p>8 A So, one, they were different samples.</p> <p>9 Let me be clear about that. Two, so they -- so they</p> <p>10 have one, their Yunnan China one, they're reporting</p> <p>11 13.6 micrograms per gram -- I'm sorry, nanograms per</p> <p>12 gram of 1,3-DMAA in their plant.</p> <p>13 Now, assuming that we were to get that</p> <p>14 same plant sample, based on the analysis and analysis</p> <p>15 at two, that was less than our method detection</p> <p>16 limit, and in that case we actually would agree on</p> <p>17 the report. I report as less than the method</p> <p>18 detection limit of 20, plus or minus 4; and Li</p> <p>19 reported basically 14. And so I wouldn't detect</p> <p>20 that.</p> <p>21 As far as the one at 365 nanometers,</p> <p>22 again, I did not get that sample -- I'm sorry,</p>	<p>1 detect it.</p> <p>2 Now, you know, why did they detect it and</p> <p>3 I didn't? I mean, if it's a different harvest</p> <p>4 season, which, you know, I think I mentioned in my</p> <p>5 report that is a factor in variability as referenced</p> <p>6 by other people.</p> <p>7 Q Now, did you do any scientific analysis</p> <p>8 to determine why they were finding DMAA in samples</p> <p>9 that you weren't, whether it was impacted by harvest</p> <p>10 season or any other things specific to the regions in</p> <p>11 China where these samples came from?</p> <p>12 MS. WOOLSON: Objection to form.</p> <p>13 You can answer.</p> <p>14 THE WITNESS: What do you mean? You mean</p> <p>15 like --</p> <p>16 BY MR. SCOTT:</p> <p>17 Q Well, let's turn to your report.</p> <p>18 A Okay.</p> <p>19 MS. WOOLSON: His report or his study?</p> <p>20 MR. SCOTT: His study. I'm sorry.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Now, your original retention by USP Labs</p>
Page 215	Page 217
<p>1 not nanometers -- 365 nanograms per gram, I did not</p> <p>2 get that sample, so I can't really provide a reason</p> <p>3 why I wouldn't have detected it.</p> <p>4 Q What region are you talking about for</p> <p>5 that sample?</p> <p>6 A That's Guizhou (phonetic).</p> <p>7 Q So Guizhou, they came in -- Li came in at</p> <p>8 165 nanograms?</p> <p>9 A No, 365 nanograms.</p> <p>10 Q 365 nanograms per gram?</p> <p>11 A Correct.</p> <p>12 Q And you didn't find it at all.</p> <p>13 A I didn't find it at all. And so, now,</p> <p>14 obviously that is above my method detection limits.</p> <p>15 So if I had that sample, I should have been able to</p> <p>16 detect it. And based on past performance, they</p> <p>17 detected -- you know, in their Changzhou sample where</p> <p>18 they detected at 165 and I detected at 265</p> <p>19 nanometers, it's very likely I would have detected</p> <p>20 it. And if the trends hold, I probably would have</p> <p>21 detected it somewhere around 400 or 450 nanograms per</p> <p>22 gram, but I didn't have that sample, so I didn't</p>	<p>1 did not include you doing any work to display any</p> <p>2 discrepancies between results that you had and</p> <p>3 results that Li had, correct?</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: What do you mean?</p> <p>7 BY MR. SCOTT:</p> <p>8 Q Well, when you were hired by USP Labs to</p> <p>9 test samples, did they also ask you to review Li's</p> <p>10 work for any purpose?</p> <p>11 A Like -- I mean, I looked at -- as far as</p> <p>12 I know, as far as I remember, I looked at these, and</p> <p>13 ran with the sample, I ran with the analysis, and I</p> <p>14 think at some point along the way, they, either</p> <p>15 through publication from Li or via e-mail, I found</p> <p>16 out what their results were, but I don't remember</p> <p>17 now.</p> <p>18 Q Well, did USP Labs make any suggestions</p> <p>19 to you regarding positions, arguments, information</p> <p>20 that they would like to see in the report when it was</p> <p>21 published?</p> <p>22 A I don't recall off the top of my head.</p>

Page 218	Page 220
<p>1 Q What was the limit of detection on</p> <p>2 your -- the studies, the testing that you did of the</p> <p>3 samples you were given?</p> <p>4 A What are you asking again?</p> <p>5 Q You tested samples from USP Labs.</p> <p>6 A Okay.</p> <p>7 Q Did your analysis, the protocol you</p> <p>8 followed, have an LOD that was applicable to your</p> <p>9 work?</p> <p>10 A Yes.</p> <p>11 Q And what was that?</p> <p>12 A For Kunming, it was 20. For Analysis</p> <p>13 Set 2, it was 20. For Analysis Set 3, it was 10</p> <p>14 parts per billion. 10 nanograms per gram on</p> <p>15 Analysis 3. And then Analysis Set 1, looks like it's</p> <p>16 0.5 nanograms per gram for 1,3-DMAA.</p> <p>17 THE WITNESS: Do you mind if I use the</p> <p>18 restroom real quick?</p> <p>19 MR. SCOTT: Sure.</p> <p>20 (Recess.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Back on the record after a short break.</p>	<p>1 Q All right, sir. Now, in that paragraph,</p> <p>2 it says that: "The results reported here provide</p> <p>3 evidence that 1,3-DMAA naturally occurs in geranium</p> <p>4 plants in agreement with Li, but clearly in</p> <p>5 disagreement with other previously reported articles</p> <p>6 by well-respected chemists and organizations."</p> <p>7 Do you see that?</p> <p>8 A I see that.</p> <p>9 Q Now, it goes on to say: "However, this</p> <p>10 may not be a question of right and wrong. In</p> <p>11 analytical chemistry, the critical review of data is</p> <p>12 important for explaining the differences on reported</p> <p>13 results. These data -- the differences can also</p> <p>14 provide insight into why analysis of seemingly</p> <p>15 identical plant species can result in very different</p> <p>16 outcomes."</p> <p>17 Do you see that?</p> <p>18 A I do.</p> <p>19 Q Now, it goes on to say here that: "Khan</p> <p>20 has published an extensive review showing that it is</p> <p>21 not uncommon for plants in different locations to</p> <p>22 exhibit variations in their chemical compositions."</p>
Page 219	Page 221
<p>1 Dr. Simone, I will remind you you're</p> <p>2 still under oath. All right?</p> <p>3 A Understood.</p> <p>4 Q And you understand that if you don't</p> <p>5 understand my questions, you should tell me that.</p> <p>6 A Absolutely.</p> <p>7 Q And if you need to take another break,</p> <p>8 that's in the cards too, all right?</p> <p>9 A Great.</p> <p>10 Q If you would, look at Exhibit 4, your</p> <p>11 article.</p> <p>12 A Okay.</p> <p>13 Q Flip over to page 71.</p> <p>14 A All right.</p> <p>15 Q If you would, on the left-hand column,</p> <p>16 second paragraph down, read through that, and let me</p> <p>17 know when you have, and I will have a couple of</p> <p>18 questions.</p> <p>19 A The one that starts "The results"?</p> <p>20 Q Yes, sir. On page 71.</p> <p>21 A (Perusing document.)</p> <p>22 Okay.</p>	<p>1 Do you see that?</p> <p>2 A Yes.</p> <p>3 Q Now, is it your understanding, based on</p> <p>4 the research that you've done, that plants can</p> <p>5 demonstrate different chemical profiles from location</p> <p>6 to location based on the type of chemical that's in</p> <p>7 their profile?</p> <p>8 Let me back up. You look confused, so</p> <p>9 let me try it again.</p> <p>10 Now, you say here that fluctuating --</p> <p>11 that: "Khan has published an extensive review</p> <p>12 showing it's not uncommon for plants in differing</p> <p>13 locations to exhibit variations in their chemical</p> <p>14 compositions."</p> <p>15 Do you see that?</p> <p>16 A I see that.</p> <p>17 Q What do you understand that to mean, that</p> <p>18 they can show differences in their chemical</p> <p>19 compositions from different locations?</p> <p>20 A I mean -- it means exactly what it says</p> <p>21 it means.</p> <p>22 Q Well, does that mean that they might</p>

Page 222	Page 224
<p>1 make -- that a plant in one location might make</p> <p>2 different chemicals than a plant in another location?</p> <p>3 A I guess it's possible. But I'm not</p> <p>4 necessarily an expert on that.</p> <p>5 Q So that's something that you really don't</p> <p>6 know one way or the other?</p> <p>7 A I mean --</p> <p>8 MS. WOOLSON: Objection to form.</p> <p>9 You can answer.</p> <p>10 THE WITNESS: I read the paper and he</p> <p>11 talked about how plants at different locations</p> <p>12 exhibit variations in chemical compositions. I</p> <p>13 relied on his expertise for that statement in his</p> <p>14 paper that he published, and -- I mean, that seems to</p> <p>15 be the -- I mean, I figured if he wrote it, it must</p> <p>16 be true.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q Well, when you read it, did you</p> <p>19 understand he was saying that plants -- the same</p> <p>20 plant in different locations might make different</p> <p>21 chemicals as opposed to making different</p> <p>22 concentrations of a common set of chemicals across</p>	<p>1 Q All right, sir.</p> <p>2 A And others.</p> <p>3 Q And did you see any paper that indicated</p> <p>4 that a plant, depending on its location, depending on</p> <p>5 things like water intake and that type of thing,</p> <p>6 might make a different type of chemical from other</p> <p>7 versions of it in another location, other versions of</p> <p>8 the same plant?</p> <p>9 MS. WOOLSON: Objection to form.</p> <p>10 You can answer.</p> <p>11 THE WITNESS: Not that I can recall.</p> <p>12 BY MR. SCOTT:</p> <p>13 Q Now, if you would look at the next</p> <p>14 paragraph down, it says there: "Regional</p> <p>15 environmental variations could explain the presence</p> <p>16 of 1,3-DMAA in the Changzhou S11, Changzhou</p> <p>17 March 2012 and Changzhou May 2012 samples, and the</p> <p>18 absence of 1,3-DMAA concentrations in Kunming and</p> <p>19 Guiyang geranium samples reported here."</p> <p>20 A Mm-hmm.</p> <p>21 Q I will stop there for the moment.</p> <p>22 Now, what were the differences in the</p>
Page 223	Page 225
<p>1 the plants?</p> <p>2 MS. WOOLSON: Objection to form.</p> <p>3 You can answer.</p> <p>4 THE WITNESS: I mean, I'm not sure if</p> <p>5 that statement suggests that one way or the other.</p> <p>6 BY MR. SCOTT:</p> <p>7 Q You don't know the answer to that one way</p> <p>8 or the other?</p> <p>9 A I would have to go like read the paper</p> <p>10 and see what he says.</p> <p>11 Q Well, your next statement here is: "For</p> <p>12 example, studies show that fluctuating geographical</p> <p>13 dynamics, such as water stress and nutrient</p> <p>14 availability in the soil, are associated with</p> <p>15 variations and cyanide concentration in the cassava</p> <p>16 plant."</p> <p>17 Do you see that?</p> <p>18 A I see it.</p> <p>19 Q And did that come from Dr. Khan's paper?</p> <p>20 A No. It came from another paper we found.</p> <p>21 Q Reference 19?</p> <p>22 A Yeah. By a guy named Burns.</p>	<p>1 three Changzhou samples?</p> <p>2 A (Perusing document.)</p> <p>3 Just can you just restate the question?</p> <p>4 MR. SCOTT: Would you read it back,</p> <p>5 please.</p> <p>6 (Whereupon, the requested record was</p> <p>7 read.)</p> <p>8 THE WITNESS: They were collected at</p> <p>9 different times of the year.</p> <p>10 BY MR. SCOTT:</p> <p>11 Q All right, sir. And did you find -- were</p> <p>12 your results in testing for DMAA of those three</p> <p>13 samples different?</p> <p>14 A Yes.</p> <p>15 Q And how -- to what degree were they</p> <p>16 different?</p> <p>17 A If we look at Analysis Set 2 and 3, we've</p> <p>18 got Changzhou S11-2, a concentration of 254 nanograms</p> <p>19 per gram. So probably spring 2011 is my guess is</p> <p>20 when it was harvested.</p> <p>21 Well, let me not guess and tell you.</p> <p>22 June 9th, 2011, and then Changzhou 3 was</p>

Page 226	Page 228
<p>1 collected on May 18, 2012.</p> <p>2 Q What was the concentration of that?</p> <p>3 A Roughly 69 nanograms per gram.</p> <p>4 Q And the third?</p> <p>5 A Well, the Changzhou 1, which was</p> <p>6 collected on March 10th, 2012, came up as 213</p> <p>7 nanograms per gram, although the -- yeah.</p> <p>8 Q Were you about to say something?</p> <p>9 A No.</p> <p>10 Q What was the error rate on that</p> <p>11 particular sample?</p> <p>12 A We only were able to analyze one sample</p> <p>13 is what it looks like. Let me see if I can find it</p> <p>14 in the --</p> <p>15 Q Well, I've seen a reference to you losing</p> <p>16 one sample. Is this that circumstance?</p> <p>17 A Let me see. Yeah, I think it is. Let me</p> <p>18 see if I can find where I specifically said that.</p> <p>19 Q Yeah. "There is no reported spike</p> <p>20 analysis for Changzhou 1 due to a sample loss during</p> <p>21 analysis."</p> <p>22 And we had no additional sample of it.</p>	<p>1 Did you do any scientific work to</p> <p>2 determine whether or not there were any regional</p> <p>3 effects on a geranium plant's ability to produce DMAA</p> <p>4 naturally?</p> <p>5 A We measured plants from three different</p> <p>6 regions and found variations in those results.</p> <p>7 Q All right, sir. And does that in and of</p> <p>8 itself establish to a reasonable degree of scientific</p> <p>9 certainty that there is a regional impact on a</p> <p>10 geranium plant's ability to produce DMAA?</p> <p>11 MS. WOOLSON: Objection to form.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: I believe it is.</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Okay. If you would, look at the exhibit.</p> <p>16 Is this a series of e-mails between you and Erik</p> <p>17 White at USP Labs?</p> <p>18 A That's what it looks to be.</p> <p>19 Q All right, sir. At the bottom e-mail</p> <p>20 there, there's a reference from Paul Simone, an</p> <p>21 e-mail sent August 9, 2012, to Erik at USP Labs,</p> <p>22 "Subject: A couple of quick questions."</p>
Page 227	Page 229
<p>1 So we reported it but were truthful about what</p> <p>2 happened to the spike.</p> <p>3 (Exhibit No. 19 was marked for</p> <p>4 identification.)</p> <p>5 BY MR. SCOTT:</p> <p>6 Q All right, sir. You have in front of you</p> <p>7 what's been marked for identification purposes as</p> <p>8 Exhibit 19 to your deposition. It's a two-page</p> <p>9 document bearing identification numbers</p> <p>10 UMPS-HT-005487 through 5488.</p> <p>11 Now, first, let me ask you, did you do</p> <p>12 any scientific research yourself to determine if</p> <p>13 there was any regional variation in either whether or</p> <p>14 not a geranium plant can produce DMAA or an effect on</p> <p>15 the concentration levels that you might find in</p> <p>16 there, in a geranium plant?</p> <p>17 A Is that question related to this</p> <p>18 document?</p> <p>19 Q No, it's not. It's outside of that</p> <p>20 document. We will get to the document in a minute.</p> <p>21 A Okay. So can you repeat the question?</p> <p>22 Q Sure.</p>	<p>1 Do you see that?</p> <p>2 A Oh, yeah.</p> <p>3 Q Now, was this the time frame when you</p> <p>4 were writing your article, Exhibit 4?</p> <p>5 A Probably, but I would actually have to</p> <p>6 look at the timestamps and all of that to give you a</p> <p>7 definitive answer.</p> <p>8 Q Well, the e-mail there says on the first</p> <p>9 line: "The draft of the paper is coming together</p> <p>10 quite nicely, but I need to know the source of the</p> <p>11 summer 2011 Intertek sample that ended up at the</p> <p>12 University of Memphis."</p> <p>13 Do you see that?</p> <p>14 A Then, yes, this is while I was writing</p> <p>15 the paper.</p> <p>16 Q It goes on to say in the next paragraph:</p> <p>17 "Did it also come from the Changzhou region in China</p> <p>18 or was it grown at Intertek? I need to know because</p> <p>19 the Intertek and Changzhou samples were the only two</p> <p>20 that I analyzed that clearly showed 1,3-DMAA and</p> <p>21 1,4-DMAA concentrations as opposed to literally</p> <p>22 everybody else who has published but Li at Intertek."</p>

Page 230	Page 232
<p>1 Do you see that?</p> <p>2 A I see that.</p> <p>3 Q And then it goes on to say: "So I'm</p> <p>4 crafting a regional differences argument. This is</p> <p>5 why I need to know the origin."</p> <p>6 Do you see that?</p> <p>7 A I see that.</p> <p>8 Q And what do you mean there by "regional</p> <p>9 differences argument"?</p> <p>10 A I mean what I stated in the paper, the --</p> <p>11 these results can be explained by regional</p> <p>12 differences of the plants' origins.</p> <p>13 Q But do you have scientific support that</p> <p>14 that actually is the explanation as to why you found</p> <p>15 differences in the plants?</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 BY MR. SCOTT:</p> <p>18 Q That regional differences had an impact?</p> <p>19 A I had Khan's paper, which seemed pretty</p> <p>20 credible.</p> <p>21 Q Did that deal with geraniums?</p> <p>22 A I don't recall if it did or not.</p>	<p>1 A (Perusing document.)</p> <p>2 It looks to be a draft, yes.</p> <p>3 Q Did you prepare the draft or was</p> <p>4 basically the pen handled by Ms. Fleming with you</p> <p>5 editing it?</p> <p>6 A The what?</p> <p>7 Q Is Ms. Fleming the one that did most of</p> <p>8 the drafting with you editing her work?</p> <p>9 A I don't think that's -- I think it's --</p> <p>10 she wrote sections, I wrote sections, I edited it.</p> <p>11 Q All right. If you would, turn to the</p> <p>12 page in Exhibit 20 that is marked with the</p> <p>13 identification number 2156.</p> <p>14 A Okay.</p> <p>15 Q And there is a comment box there. Do you</p> <p>16 see that?</p> <p>17 A I see it.</p> <p>18 Q Do you know who wrote that?</p> <p>19 A It looks like it was me.</p> <p>20 Q And it says there: "Redo the section in</p> <p>21 terms of variation in region rather than right/wrong.</p> <p>22 More likely to get through reviewers this way."</p>
Page 231	Page 233
<p>1 Q How about DMAA, did Khan's paper that you</p> <p>2 were relying on deal with DMAA?</p> <p>3 A I don't recall that it did, off the top</p> <p>4 of my head.</p> <p>5 Q All right, sir. You can put that one</p> <p>6 aside for the moment.</p> <p>7 Did anyone from USP Labs provide you any</p> <p>8 suggestion that you might want to make a regional</p> <p>9 differences argument regarding your findings relating</p> <p>10 to DMAA?</p> <p>11 A Not that I can recall.</p> <p>12 (Exhibit No. 20 was marked for</p> <p>13 identification.)</p> <p>14 BY MR. SCOTT:</p> <p>15 Q All right, sir. You've been handed</p> <p>16 what's been marked for identification purposes as</p> <p>17 Exhibit 20 to your deposition. It's a multi-page</p> <p>18 document bearing identification numbers</p> <p>19 UMPS-HT-002154 through 2168.</p> <p>20 And I will ask you if you can identify</p> <p>21 this as a draft of what was eventually your published</p> <p>22 article, Exhibit 4?</p>	<p>1 Do you see that?</p> <p>2 A I see it.</p> <p>3 Q What did you mean by that?</p> <p>4 A Which part?</p> <p>5 Q Well, "Redo the section in terms of</p> <p>6 variations in region rather than right/wrong," what</p> <p>7 did you mean by that?</p> <p>8 A Well, let me read this, and then I can</p> <p>9 tell you.</p> <p>10 (Perusing document.)</p> <p>11 I have no idea why that comment is there.</p> <p>12 Nothing in that comment relates to regional</p> <p>13 variations.</p> <p>14 Q Nothing in the comment or --</p> <p>15 A Nothing highlighted by that comment</p> <p>16 refers to regional variations.</p> <p>17 Q Well, does that indicate perhaps you</p> <p>18 wanted something in there about regional variations?</p> <p>19 A No. I mean, this was a -- this was a</p> <p>20 draft, and, I mean, things come in and out of drafts.</p> <p>21 Q Do you believe that talking in the</p> <p>22 context of possible regional variations would have an</p>

Page 234	Page 236
<p>1 impact on your ability to get this published?</p> <p>2 A Say that again.</p> <p>3 Q Sure. Well, there is a suggestion there,</p> <p>4 "Redo this section in terms of variation in region</p> <p>5 rather than right/wrong. More likely to get through</p> <p>6 reviewers this way." What reviewers are you</p> <p>7 referring to?</p> <p>8 A Probably the peer reviewers of the paper.</p> <p>9 Q So did you believe that if you talked</p> <p>10 about your results in the context of possibly there</p> <p>11 being some regional variations in the geranium</p> <p>12 plants' ability to produce DMAA that that would help</p> <p>13 you get it past reviewers for publication?</p> <p>14 A Let me step back and put it to you this</p> <p>15 way. Have you -- well, so in the peer review</p> <p>16 process, all right, you are trying to get your peers</p> <p>17 in the field to -- they are reviewing your work as</p> <p>18 well as criticizing it, right. And so then you are</p> <p>19 going to get those reviews back and you are going to</p> <p>20 try to address them.</p> <p>21 So there's two ways to go about this.</p> <p>22 One, you go all willy-nilly and you put everything</p>	<p>1 plants, namely Khan, I. A. Khan, I believe -- yeah,</p> <p>2 I. A. Khan, who is an expert in that, and thus, give</p> <p>3 my statement more credibility, rather than just</p> <p>4 coming from some analytical chemist.</p> <p>5 Q Okay. Are you offering an opinion in</p> <p>6 this case regarding the regional variability of</p> <p>7 geranium plants as it relates to the production of</p> <p>8 DMAA?</p> <p>9 A Based on -- (perusing document.)</p> <p>10 Based on the review of literature by --</p> <p>11 that I referenced, the composition of geranium plants</p> <p>12 and extracts varies widely based on metal ion</p> <p>13 variation in the soil, growing region and growing</p> <p>14 climate. The method of preparation can affect the</p> <p>15 apparent composition of the geranium oil extracts,</p> <p>16 three additional references. That's on paragraph 74.</p> <p>17 And thus, unless the samples and methods of</p> <p>18 preparation were comparable, the results of the</p> <p>19 various studies could differ.</p> <p>20 Q Okay. We'll get to that one and we will</p> <p>21 talk about it.</p> <p>22 If you would turn in your report, which</p>
Page 235	Page 237
<p>1 you ever wanted to say in a paper, whether they are</p> <p>2 founded by -- they are backed up or not. Or you take</p> <p>3 a critical eye at your own work and you review that</p> <p>4 work as if you were a reviewer yourself.</p> <p>5 And I looked at what had been written as</p> <p>6 if I was a reviewer, and I made some comment. I</p> <p>7 don't know why it's -- I don't know why it's</p> <p>8 highlighted in that section, because this was done in</p> <p>9 roughly the time frame of August 2012, so four years</p> <p>10 ago. So like why I highlighted a particular section,</p> <p>11 I couldn't tell you.</p> <p>12 But I mean that highlight -- I mean that</p> <p>13 comment does get to what ultimately ended up in this</p> <p>14 paper that this isn't necessarily a case of right and</p> <p>15 wrong, this is a case of regional availability. We</p> <p>16 went and looked for a reference due to that. So that</p> <p>17 when we put it in the paper, we actually had</p> <p>18 something to reference. Instead of just me saying</p> <p>19 it, who was an analytical chemist with, you know,</p> <p>20 limited background in regional variability of plants,</p> <p>21 I got a reference that somebody who has a very</p> <p>22 extensive background in regional variability in</p>	<p>1 is Exhibit 3, to page 35.</p> <p>2 A Okay.</p> <p>3 Q Now, before we get into page 35, I want</p> <p>4 to ask you a question that doesn't have anything to</p> <p>5 do with it, so I don't want you to get confused here.</p> <p>6 Okay?</p> <p>7 A All right.</p> <p>8 Q Did you consider for your work using GC</p> <p>9 with flame ion detector, the work that you did</p> <p>10 testing for DMAA for USP Labs?</p> <p>11 A I don't recall specifically considering</p> <p>12 it.</p> <p>13 Q Would you consider that to be a useful</p> <p>14 technology to use in looking for DMAA?</p> <p>15 A Yeah.</p> <p>16 MS. WOOLSON: Objection to form.</p> <p>17 THE WITNESS: Yes.</p> <p>18 BY MR. SCOTT:</p> <p>19 Q In paragraph 23, page 15 of your report.</p> <p>20 MS. WOOLSON: We're not going to page 35?</p> <p>21 MR. SCOTT: Nope. We're going to go to</p> <p>22 15 now.</p>

Page 238	Page 240
<p>1 THE WITNESS: What page?</p> <p>2 BY MR. SCOTT:</p> <p>3 Q Page 15?</p> <p>4 MS. WOOLSON: 15. Paragraph 23.</p> <p>5 BY MR. SCOTT:</p> <p>6 Q Paragraph 23.</p> <p>7 A Okay.</p> <p>8 Q It says there that: "The trend in</p> <p>9 detection over the last 30 years has been to replace</p> <p>10 chemically and specific detectors; e.g., flame</p> <p>11 ionization detection for GC, or ultraviolet visible</p> <p>12 absorption and fluorescent detectors for HP/LC with</p> <p>13 mass spectrometry MS techniques."</p> <p>14 Do you see that?</p> <p>15 A Mm-hmm. Yes.</p> <p>16 Q And it goes on to say: "For example, the</p> <p>17 flame ionization detector is selective for reduced</p> <p>18 carbon in a chemical compound. This means that it</p> <p>19 detects chemicals like methane, propane, butane,</p> <p>20 gasoline, benzene, 1,3-DMAA, et cetera."</p> <p>21 Do you see that?</p> <p>22 A I do.</p>	<p>1 there is because while FID, flame ionization</p> <p>2 detection, is nearly universal, it's not what you</p> <p>3 would call the most selective of detectors. It's</p> <p>4 going to give a peak whenever you have reduced</p> <p>5 carbon.</p> <p>6 MS techniques, mass spectroscopy, is nice</p> <p>7 because it's universal and similar in universality to</p> <p>8 the FID, if not more so, and it's also more</p> <p>9 selective. So you can specify what you're looking</p> <p>10 for rather than -- so if you look at GC/MS and you're</p> <p>11 using retention time and the mass-to-charge ratio</p> <p>12 that is detected with flame ionization detection,</p> <p>13 you're using solely the retention time as your</p> <p>14 identifier, and so you end up with basically two</p> <p>15 chemistries for identification when you use GC/MS or</p> <p>16 separation with an MS detector.</p> <p>17 Q Okay. When you say that the flame</p> <p>18 ionization detector is prone to interferences, what</p> <p>19 does that mean?</p> <p>20 A It means that when you do your separation</p> <p>21 on your gas chromatography column, if there is -- if</p> <p>22 two compounds coelute, then that means they elute at</p>
Page 239	Page 241
<p>1 Q And it goes on and says: "Because it</p> <p>2 responds to all forms of reduced carbon, it is merely</p> <p>3 a universal detector and, thus, prone to</p> <p>4 interferences."</p> <p>5 Do you see that?</p> <p>6 A I see that.</p> <p>7 Q What does that mean?</p> <p>8 A So if we -- you are asking what it means.</p> <p>9 So your original question was, is it useful? Yeah,</p> <p>10 it's useful. But, I mean, it means exactly what it</p> <p>11 say it means. The flame ionization detector responds</p> <p>12 to reduced carbon, which means typically carbon with</p> <p>13 hydrogen bonded to it, so in the case of propane,</p> <p>14 it's three carbons, I think eight hydrogens, and so</p> <p>15 it's going to produce a signal that is proportional</p> <p>16 to the number of those reduced carbons.</p> <p>17 But for organic compounds, it's</p> <p>18 essentially universal, and so if you have a lot of</p> <p>19 chemical species present in whatever you're sampling</p> <p>20 that are also reduced carbon, the flame ionization</p> <p>21 detector will produce a signal for those as well.</p> <p>22 Now, the reason I kind of put that all in</p>	<p>1 the same time, emerge from the column at the same</p> <p>2 time, then you're going to get essentially one peak.</p> <p>3 You're not going to be able to necessarily tell the</p> <p>4 difference between those two if you simply use</p> <p>5 external calibration, and one way you get around that</p> <p>6 is actually use standard addition to provide -- to</p> <p>7 minimize interferences due to coelution.</p> <p>8 Q Now, did you use flame ionization in your</p> <p>9 work?</p> <p>10 A No.</p> <p>11 Q Is that because of the interference</p> <p>12 problem?</p> <p>13 A No.</p> <p>14 Q Why then?</p> <p>15 A Because the -- well, two reasons. One,</p> <p>16 at the time I don't believe I had the GC/FID to do</p> <p>17 the analysis. That I could devote to the project.</p> <p>18 And, two, the HPLC with tandem MS provides more</p> <p>19 selectivity and better detection limits than the gas</p> <p>20 chromatography with flame ionization detection.</p> <p>21 Q All right. So it's more accurate?</p> <p>22 A No, it's -- it has better detection</p>

Page 242	Page 244
<p>1 limits and better selectivity.</p> <p>2 Q Okay. All right. So now back to</p> <p>3 page 35.</p> <p>4 There is a heading there on page 35 of</p> <p>5 your report, Exhibit 3, that says: "There are three</p> <p>6 reports of 1,3-DMAA being present in geranium oils or</p> <p>7 plants: Ping 1996; Li, et al., 2012; and Fleming, et</p> <p>8 al., 2012. There are six reports of 1,3-DMAA not</p> <p>9 being detected in geranium oils or plants: Lisi</p> <p>10 2011; DiLorenzo, et al., 2012; ElSohly, et al., 2012;</p> <p>11 Zhang, et al., 2012; Austin, et al., 2013; and</p> <p>12 ElSohly, et al., 2015."</p> <p>13 Do you see that?</p> <p>14 A I do.</p> <p>15 Q And you do not include in this any of the</p> <p>16 surveys of geranium oil, the general surveys that</p> <p>17 were done that did not show there was DMAA in them,</p> <p>18 correct?</p> <p>19 A I did not.</p> <p>20 Q And why is that?</p> <p>21 A Because if we look at only those reports</p> <p>22 that provide detection, that provide limits of</p>	<p>1 Q All right, sir. So we have here, you've</p> <p>2 got -- in paragraph 64, it says: "If we consider</p> <p>3 only those reports that provide limits of detection</p> <p>4 at or near the 1 -300 ppb range" --</p> <p>5 That's parts per billion?</p> <p>6 A Yes, parts per billion.</p> <p>7 Q -- "which is the levels of 1,3-DMAA most</p> <p>8 commonly reported, then it is two reports</p> <p>9 demonstrating presence of 1,3-DMAA," Li and Fleming,</p> <p>10 right?</p> <p>11 A Yes.</p> <p>12 Q -- "and four demonstrating absence,"</p> <p>13 ElSohly, 2012 and 2015; Zhang, 2012; Austin, 2013.</p> <p>14 Do you see that?</p> <p>15 A I see that.</p> <p>16 Q Now, referring back to the six articles</p> <p>17 that you talked about there, it says: "While these</p> <p>18 studies are approximately equal in terms of rigor,"</p> <p>19 what do you mean by that?</p> <p>20 A They have provided the method detection</p> <p>21 limits or limits of detection and other analytical</p> <p>22 figures of merit to make a judgment on their report</p>
Page 243	Page 245
<p>1 detection at or near the 1 to 300 ppb range where</p> <p>2 1,3-DMAA has been mostly commonly reported. Then we</p> <p>3 have two reports demonstrating presence of 1,3-DMAA,</p> <p>4 Li, 2012; Fleming, 2012; and four demonstrating the</p> <p>5 absence, ElSohly, 2012 and 2015; Zhang, 2012; and</p> <p>6 Austin, 2013.</p> <p>7 Q Now, is it your view that the Ping study</p> <p>8 is of equal scientific validity of the other studies</p> <p>9 that are referenced in this paragraph?</p> <p>10 MS. WOOLSON: Objection to form, asked</p> <p>11 and answered.</p> <p>12 You can answer.</p> <p>13 THE WITNESS: Which paragraph?</p> <p>14 BY MR. SCOTT:</p> <p>15 Q Paragraph 63.</p> <p>16 A I mean paragraph 64 says no. In terms of</p> <p>17 my -- I guess my viewpoint of if you are going to</p> <p>18 claim detection or absence, then you need to provide</p> <p>19 the analytical figures of merit, and those parameters</p> <p>20 that I discussed, such as limit of detection, to</p> <p>21 provide a boundary condition on whether it is or is</p> <p>22 not there.</p>	<p>1 of presence or absence. And that that judgment is</p> <p>2 applied equally. You know, it's two reports for and</p> <p>3 four reports against.</p> <p>4 Q So it goes on to say: "That While these</p> <p>5 studies are approximately equal in rigor, the</p> <p>6 importance of interlaboratory confirmations of the</p> <p>7 presence of 1,3-DMAA in plants cannot be</p> <p>8 understated."</p> <p>9 Do you see that?</p> <p>10 A I do.</p> <p>11 Q And what do you mean by that?</p> <p>12 A It means that when we look at analysis,</p> <p>13 it's having essentially two independent labs analyze</p> <p>14 a sample and come up with a similar number is a good</p> <p>15 thing. That's an additional level of rigor for the</p> <p>16 presence or absence of that compound.</p> <p>17 Q Okay. Well, in this case you had two</p> <p>18 labs taking the same sample and applying the same</p> <p>19 protocol to test it, the one that was developed by</p> <p>20 Intertek, correct?</p> <p>21 A Correct. Roughly.</p> <p>22 Q Would you really expect to find -- get</p>



Page 246	Page 248
<p>1 any different results than what you got from the</p> <p>2 standpoint of you finding DMAA in the sample that you</p> <p>3 tested?</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: I mean, the -- yeah, the</p> <p>7 personnel were different. The specifics of the</p> <p>8 analysis, like the instrumentation used, as far as I</p> <p>9 know, were different. And not only did we -- yes, we</p> <p>10 built upon Li's method, but then we added our own</p> <p>11 additional standard addition on top of that really to</p> <p>12 make the paper as bulletproof as we could.</p> <p>13 (Exhibit No. 21 was marked for</p> <p>14 identification.)</p> <p>15 BY MR. SCOTT:</p> <p>16 Q All right, sir. You've got in front of</p> <p>17 you what is marked for identification purposes as</p> <p>18 Exhibit 21 to your deposition. It is a multi-page</p> <p>19 document bearing identification numbers</p> <p>20 UMPHS-HT-005965 through 5969.</p> <p>21 If you would look at that, and it appears</p> <p>22 to be some e-mail traffic between you and Erik of USP</p>	<p>1 A I mean it was a two-lab interlaboratory</p> <p>2 study. That's about as small as you can get.</p> <p>3 Q Particularly when you're dealing with one</p> <p>4 sample.</p> <p>5 MS. WOOLSON: Objection to form.</p> <p>6 You can answer.</p> <p>7 THE WITNESS: Just in terms of</p> <p>8 laboratories. I mean, two laboratories is as small</p> <p>9 as you can get. One laboratory is not an</p> <p>10 interlaboratory study.</p> <p>11 BY MR. SCOTT:</p> <p>12 Q Have you done interlaboratory studies</p> <p>13 before where you were dealing with a test of one</p> <p>14 split sample as part of that study?</p> <p>15 A Before this?</p> <p>16 Q Yeah.</p> <p>17 A No.</p> <p>18 Q Since then?</p> <p>19 A We have participated in an</p> <p>20 interlaboratory study with three samples.</p> <p>21 Q How many labs?</p> <p>22 A I believe it's three.</p>
Page 247	Page 249
<p>1 Labs on or around April 19, 2013.</p> <p>2 A Do you want me to read the --</p> <p>3 Q Just familiarize yourself with that</p> <p>4 that's what it is, and then I will point you to some</p> <p>5 specifics and then with questions.</p> <p>6 A Okay. (Perusing document.)</p> <p>7 Q All right, sir. If you would, look on</p> <p>8 the first page, the e-mail that was written by you,</p> <p>9 where it says from Paul Simone, dated April 19th at</p> <p>10 7:13 p.m.</p> <p>11 Do you see that?</p> <p>12 A I see that.</p> <p>13 Q In that e-mail, third paragraph down, it</p> <p>14 says: "So my thoughts were that the Li paper and the</p> <p>15 Fleming paper ended up being a very small</p> <p>16 interlaboratory study using a split sample of</p> <p>17 geranium, Changzhou sample, based on the Li, et al.,</p> <p>18 method that was published first."</p> <p>19 Do you see that?</p> <p>20 A I do.</p> <p>21 Q What do you mean there by "a very small</p> <p>22 interlaboratory study"?</p>	<p>1 Q And had one of the labs already tested</p> <p>2 the sample to see what was in it before you did this</p> <p>3 interlaboratory study?</p> <p>4 MS. WOOLSON: Objection to form.</p> <p>5 You can answer.</p> <p>6 THE WITNESS: No.</p> <p>7 But I will say that apparently the</p> <p>8 Changzhou region is difficult to get samples from.</p> <p>9 BY MR. SCOTT:</p> <p>10 Q Pardon?</p> <p>11 A Apparently the Changzhou region is</p> <p>12 difficult to get samples from. I don't know why.</p> <p>13 Q Have you tried to get them and couldn't?</p> <p>14 A No. But Professor ElSohly's group, his</p> <p>15 multi-center study, did not.</p> <p>16 Q Turning to page 37 of the report.</p> <p>17 A My expert report?</p> <p>18 Q Yes, sir.</p> <p>19 A Okay.</p> <p>20 Q It says there in paragraph 67 that: "The</p> <p>21 FDA falsely states that detection of 1,3-DMAA</p> <p>22 requires derivatization for analysis at the low</p>

Page 250	Page 252
<p>1 concentrations reported." And then it goes on to  2 say: "The FDA clearly does not understand the  3 purpose of derivatization in analysis."  4 Did in fact you conduct a derivatization  5 analysis as part of your study of the samples  6 provided to you by USP Labs?  7 A I did both. I did both with and without  8 derivatization. In the first study in the published  9 geranium paper in the 2012 Analytical Chemistry  10 Insights paper, we did direct detection of 1,3- and  11 1,4-DMAA.  12 In the second set of analyses that we did  13 for the chiral analysis of the 1,3-DMAA, we used  14 derivatization to convert the two pairs of  15 enantiomers to four diastereomers to more effectively  16 separate our stereoisomers.  17 And so in terms of detection of the  18 1,3-DMAA at the parts per billion level, you don't  19 have to derivatize it. In terms of separating the  20 stereoisomers, you have to do it one of two ways.  21 You have to have either a chiral column, which we  22 tried, and that chiral column was actually developed</p>	<p>1 not as sensitive as tandem MS or really any other  2 methods, such as like flame ionization detection. So  3 like the fact that it didn't work didn't necessarily  4 surprise me. It was a high risk/high reward  5 proposal.  6 Q All right, sir. If you would, turn to  7 page 38 of your report. And I will direct you to  8 paragraph 69.  9 About halfway down that paragraph, it  10 says that: "The FDA makes a point to note that the  11 four studies not funded by USP Labs did not find  12 1,3-DMAA in geranium, but ignores the fact that those  13 studies were funded by agencies such as the U.S. Army  14 Medical Research and Materiel Command, the Australian  15 government through the anti-doping research program  16 of the Department of Prime Minister and Cabinet, and  17 U.S. doping agencies."  18 Do you see that?  19 A Mm-hmm. Yes.  20 Q What is the point you are trying to make  21 there?  22 A Well, if I recall that FDA letter</p>
Page 251	Page 253
<p>1 by Armstrong's group, Daniel Armstrong, who published  2 with Zhang. It turns out it didn't work. I don't  3 really know why it didn't work. The chiral column  4 separation didn't work. And so then we turned to the  5 chiral derivatizing agents of Fleck and Mosher's acid  6 chloride.  7 Q Was any of this work used as a basis for  8 any of the opinions you are offering in this case?  9 A No, I did not refer to the -- to the  10 Fleck or Mosher's acid chloride work.  11 Q All right. Have you done NMR analysis of  12 geranium plants for DMAA analysis?  13 A We tried, yeah.  14 Q And does that mean you have not been able  15 to successfully do that?  16 A Well, we -- we were able to develop an  17 extraction method for NMR analysis of 1,3-DMAA in  18 geranium, but the detection limits were not low  19 enough to successfully detect it. The detection  20 limits were somewhere between 100 and 1000 times too  21 high for NMR.  22 And that's not uncommon for NMR. NMR is</p>	<p>1 correctly, and others, they've previously noted that  2 the studies funded by USP Labs were -- what is the  3 word for it? -- I guess influenced because of their  4 funding agency.  5 And what I'm pointing out there is that  6 this is basically how academic research works. Most  7 people don't do work and they don't start work unless  8 it's -- and they -- they don't go through major  9 efforts unless it's funded by somebody. You've got  10 to pay for your time. I've got to pay for my time.  11 I've got to pay for graduate student time. I've got  12 to pay for supplies, materials, reagents. And the  13 same is true of those other reports that did not  14 fund.  15 And that's -- you know, that's the --  16 that's the landscape of academic research today. Not  17 everybody gets funding from the alphabet agencies of  18 the United States government. That's getting harder  19 and harder to get. And so we turn to alternative  20 sources for funding and to do research.  21 Q Was your ability to attract funding for  22 research one of the factors that went into whether or</p>

<p>Page 254</p> <p>1 not you receive tenure?                  2 A Repeat the question.                  3 Q Sure. Was your ability to attract                  4 funding to support research one of the factors that                  5 was looked at in making a tenure decision regarding                  6 you?                  7 A Yes. In fact, it's stated in all our job                  8 ads for the University of Memphis, that you are                  9 expected to develop an externally funded research                  10 program, and part of that is getting funding from any                  11 source. A dollar is a dollar, it all spends the                  12 same. And on top of that you're expected to publish                  13 and graduate students, and that's -- that's the life                  14 as a professor.                  15 Q In relation to your published study                  16 pertaining to the DMAA work, was a payment made to                  17 the publisher to publish that particular study?                  18 A Yes. Which is pretty normal for open                  19 access articles. Somebody's got to pay those                  20 publication costs.                  21 Q When you say "open access" publishers,                  22 what are you talking about there?</p>	<p>Page 256</p> <p>1 Q Have you published any other articles in                  2 open access periodicals where someone had to pay for                  3 that to be published?                  4 A Yes.                  5 Q And how many others have you published                  6 that way?                  7 A I published an article in a journal                  8 called Beverages, and the publisher themselves paid                  9 for the fee by waiving the fee.                  10 Q Have you had anybody else who, for                  11 example, you were doing the research, they funded it,                  12 and then they paid for your publication, other than                  13 USP Labs?                  14 A No, but it's not particularly uncommon to                  15 have funded proposals have publication fees in them                  16 to pay for publication.                  17 Q Okay. And have you -- other than the one                  18 in the Beverages magazine where the publisher waived                  19 the fee, have you paid to publish any other articles?                  20 A Not that I can recall.                  21 Q Now, in the next page, page 40 of your                  22 report --</p>
<p>Page 255</p> <p>1 A So Analytical Chemistry Insights is an                  2 open access publisher, which means that instead of                  3 articles being behind a pay wall that requires a                  4 subscription or a per-article payment to gain access                  5 to that article, it's freely available to the public.                  6 There are some journals that are solely                  7 open access, and there are some journals that are a                  8 hybrid. And I -- I believe -- so a good example is                  9 one that I published in the past is -- Analytica                  10 Chimica Acta is a highly respected international                  11 applied analytical journal, and when you submit the                  12 paper, you've got a choice between submit as a closed                  13 source, closed access, where you have to have a                  14 subscription, or you can choose to pay the open                  15 access publication fee to make it available.                  16 Another good example, as best as I can                  17 tell, is that Drug Testing and Analysis operates on                  18 that hybrid model where some are open access and some                  19 are not.                  20 Q Now, USP Labs paid to have your article,                  21 Exhibit 4 to your deposition, published?                  22 A Yes.</p>	<p>Page 257</p> <p>1 A Expert report?                  2 Q Yes, sir.                  3 -- it says that: "The analytical                  4 chemists conducting the research are there to review                  5 published work, report the findings of the research,                  6 and try to determine why the differences arise. The                  7 presence of 1,3-DMAA in geranium is not right and                  8 wrong. There is credible evidence supporting the                  9 presence of DMAA in geraniums. Other researchers                  10 came up with contradictory results. The real                  11 question for FDA is should be why are they                  12 contradictory."                  13 Do you see that?                  14 A I do.                  15 Q Now, did you do any additional work to                  16 determine why the results that you got are                  17 contradictory from everyone else who has published                  18 except for Li and Ping?                  19 MS. WOOLSON: Objection to form.                  20 You can answer.                  21 THE WITNESS: No.                  22 BY MR. SCOTT:</p>

Page 258	Page 260
<p>1 Q Turn, if you would, in your expert report</p> <p>2 to page 42.</p> <p>3 A Okay.</p> <p>4 Q And on page 42, in the second paragraph,</p> <p>5 it says: "This explanation does not answer the why,</p> <p>6 why do the Li and Fleming, et al., reports differ</p> <p>7 from the rest of the published research regarding the</p> <p>8 presence of 1,3-DMAA in geranium."</p> <p>9 Do you see that?</p> <p>10 A I see that.</p> <p>11 Q And then it goes through and based on the</p> <p>12 review of the literature, and there are several</p> <p>13 articles there or authors there that are referenced,</p> <p>14 beginning with Jain, J-A-I-N, in 2001, going down to</p> <p>15 Khan in 2006.</p> <p>16 And then the text picks up and says:</p> <p>17 "The composition of geranium plants and oil extracts</p> <p>18 varies widely depending on metal ion variation in the</p> <p>19 soil, growing region and growing climate."</p> <p>20 Do you see that?</p> <p>21 A I see that.</p> <p>22 Q What work did you do to study the soil,</p>	<p>1 And so at that point you've actually</p> <p>2 got -- as long as your acid solution is sufficiently</p> <p>3 acidic, you are unlikely to have significant portions</p> <p>4 of your 1,3-DMAA partition into hexane. That's</p> <p>5 reducing your detection limits.</p> <p>6 Another good example is there is a point</p> <p>7 where we neutralize our acid extraction solution so</p> <p>8 that the pH is roughly 12 while the -- and when we</p> <p>9 talk about DMAA, it's an amine, and the pKa for that</p> <p>10 amine is approximately nine and a half to 10. It's</p> <p>11 -- I don't know if it's actually been measured, but</p> <p>12 that's roughly what it is. And so if the pH of your</p> <p>13 extraction solution is nine and a half, then you're</p> <p>14 going to get about 50 percent, maybe a little bit</p> <p>15 more, of your DMAA out of that solution, because half</p> <p>16 of it is ionized and half of it is neutral.</p> <p>17 So in that case if you make sure you</p> <p>18 raise your pH to 12, then you essentially ensure that</p> <p>19 all of your DMAA is sufficiently neutral to extract</p> <p>20 most of that out.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q Did any of the studies that looked at</p>
Page 259	Page 261
<p>1 growing region and growing climate of the samples of</p> <p>2 geranium that you tested for USP Labs where you found</p> <p>3 DMAA?</p> <p>4 A Like us personally in the research lab,</p> <p>5 did we test those things?</p> <p>6 Q Yes.</p> <p>7 A I did not.</p> <p>8 Q Were you able to identify any method of</p> <p>9 preparation regarding the geranium plants that you</p> <p>10 were testing that might affect the chemical profile</p> <p>11 that you were able to find once you did the</p> <p>12 testing?</p> <p>13 MS. WOOLSON: Objection to form.</p> <p>14 You can answer.</p> <p>15 THE WITNESS: I mean, when you are doing</p> <p>16 the extraction and the analysis, there's a point</p> <p>17 where you do the same cleanup step. And so you've</p> <p>18 got -- you're partitioning matrix elements. I don't</p> <p>19 really know what they are other than matrix elements.</p> <p>20 Things that are not analyte. You're partitioning</p> <p>21 that between hexane and the acidified extraction</p> <p>22 solution of -- of hydrochloric acid.</p>	<p>1 DMAA in geranium plants and did not find it or</p> <p>2 reported not to find it handle the samples in a way</p> <p>3 that impacted the presence of DMAA in the samples</p> <p>4 when they were tested?</p> <p>5 MS. WOOLSON: Objection to form.</p> <p>6 You can answer.</p> <p>7 THE WITNESS: Well, possibly. I -- if I</p> <p>8 -- if I recall correctly, I believe the ElSohly</p> <p>9 work -- let me see if I can find the exact discussion</p> <p>10 of it. I don't want to say something wrong here.</p> <p>11 Based on the evidence in front of me that</p> <p>12 was given to me by the -- and given to me as</p> <p>13 exhibits -- well, what was the question again? I'm</p> <p>14 sorry.</p> <p>15 MR. SCOTT: Please read it back.</p> <p>16 (Whereupon, the requested record was</p> <p>17 read.)</p> <p>18 THE WITNESS: Based on the exhibits given</p> <p>19 to me and that I can recall reading and in my expert</p> <p>20 report, I don't recall if there are or are not.</p> <p>21 BY MR. SCOTT:</p> <p>22 Q What do you mean by the term "metal ion</p>

Page 262	Page 264
<p>1 variation in the soil"? And it's on page 42, 2 paragraph 74.</p> <p>3 A Well, based on my review of the 4 literature, one of those discussed metal ion 5 variations in the soil and how it impacted 6 composition of the geranium plant.</p> <p>7 Q How does ion -- metal ion variation in 8 the soil impact production of DMAA by geranium 9 plants?</p> <p>10 A I have no hard data to discuss that.</p> <p>11 Q You don't know?</p> <p>12 MS. WOOLSON: Objection to form. 13 You can answer.</p> <p>14 THE WITNESS: I don't know.</p> <p>15 BY MR. SCOTT:</p> <p>16 Q All right, sir. If you would, still on 17 page 42, look down at paragraph 75.</p> <p>18 A Okay.</p> <p>19 Q And it says there that: The stereoisomer 20 chemistry of 1,3-DMAA, as discussed above in 21 paragraphs 27 through 36, is at the core of the 22 arguments concerning whether 1,3-DMAA in supplements</p>	<p>1 Q And that relates to what is actually 2 being sold in this sentence, DMAA in supplements?</p> <p>3 A I thought it was the DMAA in the plants.</p> <p>4 Q Well, your sentence says "DMAA in the 5 supplements."</p> <p>6 A Oh, oh, oh, oh. Okay.</p> <p>7 So based on -- so let me put it this way: 8 I guess the argument is that -- let's see. I think I 9 addressed it. Okay.</p> <p>10 So if the DMAA in the supplements is 11 naturally occurring, then the composition of the 12 stereoisomers in the plants and the supplements 13 should be similar. What -- that's -- yeah, it should 14 be similar.</p> <p>15 Q Do you know of any supplement seller who 16 is selling products with DMAA in them that is using a 17 naturally occurring DMAA as opposed to a synthetic 18 one in its products?</p> <p>19 MS. WOOLSON: Objection to form. 20 You can answer.</p> <p>21 THE WITNESS: My understanding is that 22 commercial DMAA is all synthetic, to the best of my</p>
Page 263	Page 265
<p>1 is naturally occurring." 2 Do you see that?</p> <p>3 A I do.</p> <p>4 Q What do you mean by that?</p> <p>5 A So -- let me look at 27 to 36 just so I 6 can be sure what I'm talking about there. 7 (Perusing document.) 8 I am discussing how the -- can you repeat 9 the question?</p> <p>10 Q Sure.</p> <p>11 Beginning on page 42, paragraph 75, you 12 say that: "The stereoisomer chemistry of 1,3-DMAA, 13 as discussed above in paragraphs 27 through 36, is at 14 the core of the arguments concerning whether 1,3-DMAA 15 in the supplements is naturally occurring." 16 Do you see that?</p> <p>17 A I see that.</p> <p>18 Q And what did you mean by that?</p> <p>19 A It's how the -- the ratios of the 20 stereoisomers and diastereomers are at the core of 21 the arguments on people arguing for and against the 22 naturally occurring presence of 1,3-DMAA in geranium.</p>	<p>1 knowledge. I mean, it's -- and based on my review 2 of -- you know, it seems USP Labs have been 3 synthetically produced.</p> <p>4 But the -- so when you get to the plant, 5 the argument is, well, it's not there from ElSohly 6 and others. And I argue differently, that it is in 7 fact there. I detected it in the plants that I 8 analyzed. And so now it becomes, well, okay, if 9 the -- if the stuff, the DMAA in the plant should 10 be -- should have an enantiomeric excess -- this is 11 based on ElSohly's arguments -- that because it's 12 a -- because it's biosynthetically produced within 13 the plant itself, that it should have an enantiomeric 14 excess of one or -- an enantiomeric excess of at 15 least one chiral center. So 1,3-DMAA has two chiral 16 centers. Those are four stereoisomers.</p> <p>17 Now, what we have shown in the plant is 18 that we've got two diastereomer peaks which are -- if 19 you are looking at the supplement, those diastereomer 20 peaks are each composed of two additional 21 stereoisomer peaks, right. Because we can't separate 22 those pairs -- we can't separate the enantiomers</p>

Page 266	Page 268
<p>1 within that peak, the diastereomer peak without using 2 some kind of chiral derivatization technique. 3 Now, in the plant there has been no 4 published work that shows whether -- that shows the 5 composition, the stereoisomer ratios of those four 6 stereoisomers. 7 BY MR. SCOTT: 8 Q All right. Well, let me ask you to flip 9 over to page 47 of your report, paragraph 86. 10 A Okay. 11 Q It says there: "Given the state of 12 knowledge regarding the presence of 1,3-DMAA in 13 geranium plants and the diastereomer ratios measured 14 therein, the 1,3-DMAA found in the plant is 15 equivalent to the 1,3-DMAA found in the supplement." 16 So that means that what you're seeing in 17 the artificial -- from the standpoint of the 18 stereoisomer and the diastereomer ratios in the 19 plant, that based on your work that you're seeing are 20 the same as those ratios for the -- understand -- 21 what you understand to be artificial or synthetically 22 produced DMAA in the supplements?</p>	<p>1 MS. WOOLSON: So, beyond what we've 2 talked about all day long and all the sentences we 3 have talked about all day long? 4 MR. SCOTT: Wow, so we've got an extract 5 of natural DMAA somewhere, we talked about that at 6 some point? 7 MS. WOOLSON: I'm asking you -- 8 MR. SCOTT: I'm asking a question. 9 MS. WOOLSON: -- to clarify your 10 question. We've been here for seven-and-a-half hours 11 now. 12 MR. SCOTT: I'm happy with it. Let's go. 13 THE WITNESS: Am I answering the 14 question? 15 MS. WOOLSON: If you understand the 16 question, you can answer it. 17 THE WITNESS: What is the question again? 18 BY MR. SCOTT: 19 Q Sure. 20 Have you found a standard of natural 21 extract of DMAA anywhere? 22 A A -- so I understand, somebody has taken</p>
Page 267	Page 269
<p>1 MS. WOOLSON: Objection to form. 2 You can answer. 3 MR. SCOTT: Let me withdraw that one and 4 try it again. 5 BY MR. SCOTT: 6 Q There is a ratio, a diastereomer -- 7 A Diastereomer. 8 Q -- diastereomer ratio that you see in the 9 supplements which you know to be synthetic DMAA. 10 A As reported by Zhang and others, I think. 11 Q And you don't have any reason to dispute 12 that? 13 A No. 14 Q And you're seeing the same ratio in the 15 DMAA that you see in the plant? 16 A Correct. Roughly. 17 Q Now, have you been able to find any 18 reference sample of what is purported to be a 19 naturally occurring extract of DMAA? 20 A As in? 21 MS. WOOLSON: That's the full question? 22 MR. SCOTT: Yes.</p>	<p>1 geranium that has been proven to contain DMAA or 2 known to grow 1,3-DMAA and extracted it and provided 3 a reference sample? 4 Q Yes. 5 A To the best of my knowledge, no. 6 Q All right, sir. Now, in paragraph 86 on 7 page 47, you go on to say: "If at some point in the 8 future the measurement of the ratios of the four 9 1,3-DMAA stereoisomers can be successfully done in 10 the plant material that shows the plant has a 11 distinctly different stereoisomer ratio than the 12 supplements, then we may be able to say that the two 13 are not equivalent." 14 What did you mean by that? 15 A So -- it kind of goes back to the -- so 16 we have -- for 1,3-DMAA we have four stereoisomers. 17 Now, the state of knowledge thus far, as published, 18 has only measured the two peaks that contain those 19 four stereoisomers. And so within those two peaks, 20 it -- what we don't know is are the pair of 21 enantiomers equal or are they different. 22 So -- if we -- so our four stereoisomers</p>

Page 270	Page 272
<p>1 are RR, SS, SR, RS, two sets. So RR and SS are a 2 pair. But what we don't know is, is the ratios of RR 3 to SS equal or are they different? And there is no 4 published study that shows whether they are equal or 5 they are different in the plant. What Zhang has 6 shown is that they -- they are apparently equal in 7 the supplements. 8 Q Okay. So the stereoisomer ratio, no one 9 knows whether they're equal or not in the plants 10 based on the research that's been done to date? 11 A Correct. 12 Q But in the synthetic, we know that they 13 are equal, the stereoisomer ratios in the 14 supplements, the synthetic version that is used in 15 the supplements? 16 A So to be clear, Zhang, as far as I know, 17 only measured one supplement that was reported. And 18 I don't -- and I think there was that one CE paper 19 that I think reported the supplements. I don't 20 remember if they were equal or not. 21 Q Okay. But then -- so we don't know what 22 is in the plants based on any scientific evidence?</p>	<p>1 Zhang 2012; and De Lorenzo 2012 studies, both in 2 terms of analytical chemistry and the level of 3 authentication of geranium plant samples. 4 Do you see that? 5 A I see that. 6 Q And so it goes on to say that: "The 7 differences between the sets of reports have yet to 8 be adequately explained. The best explanation is the 9 geranium plants composition varies based on a variety 10 of factors that were not addressed by the studies." 11 That's your opinion? 12 A That's what I wrote. 13 Q Okay. And that -- and you've testified 14 that you have no opinion regarding whether or not 15 there is a biological pathway by which geranium 16 plants can actually produce DMAA, correct? 17 A I don't really know much about biological 18 pathways, so yes. 19 Q Okay. And it goes on to say that on 90, 20 that no one has been able to demonstrate that the 21 1,3-DMAA in the plants is composed of only two of the 22 four stereoisomers rather than all four stereoisomers</p>
Page 271	Page 273
<p>1 A We know the diastereomer ratios are 2 equal. 3 Q Based on your work? 4 A Roughly equal. My work and -- did Li 5 measure it? 6 (Perusing document.) 7 It looks like Li could have measured it, 8 the 2012 Li paper, but it does not look -- I cannot 9 tell if he reported it or not. So it looks like I'm 10 the only one who has explicitly reported that 11 diastereomer ratio in the plants. 12 Q All right, sir. Now, under the heading 13 on page 48, "Summary of Opinions," your first opinion 14 is, in summary, it's your opinion that geranium 15 plants analyzed by both Li and Fleming contain 16 concentrations of DMAA in the amounts that are 17 reported in your -- in your respective studies, 18 correct? 19 A Correct. 20 Q And then it goes on to say that these 21 studies were done to the same level of rigor in your 22 view as the ElSohly 2012, 2015; the Austin 2013;</p>	<p>1 present in the supplements, correct? 2 A Correct. 3 Q And at the moment, the only information 4 or support for finding that the stereoisomers in the 5 plant are equivalent to those in the supplements is 6 your study. 7 MS. WOOLSON: Objection to form. 8 You can answer. 9 BY MR. SCOTT: 10 Q Correct? 11 A Say that again. 12 Q Sure. Has anybody but you measured the 13 stereoisomers in the plant, the DMAA where -- in a 14 plant? 15 MS. WOOLSON: Objection to form. 16 You can answer. 17 THE WITNESS: Not explicitly. 18 MR. SCOTT: Let me take a few minutes and 19 confer, and see if we can't get you out of here, sir. 20 THE WITNESS: I will use the restroom. 21 MR. SCOTT: Sure, go ahead. 22 (Recess.)</p>

Page 274

1 MR. SCOTT: All right. We're back on the  
 2 record after what I believe to be our final break.  
 3 Dr. Simone, we're done for the day.  
 4 I will note for the record that on the  
 5 chance that we get additional opinions from you or  
 6 supplemental opinions from you, we reserve the right  
 7 to reopen and continue the deposition.  
 8 THE WITNESS: I understand.  
 9 MS. WOOLSON: All right. Duly noted.  
 10 MR. SCOTT: All right. Thank you.  
 11 THE REPORTER: Sheila, would you like a  
 12 copy of the transcript?  
 13 MS. WOOLSON: Yes, I would. I would like  
 14 a draft e-mailed as soon as possible, and we will  
 15 take the transcript regular time turnaround.  
 16 (Whereupon, at 5:27 p.m. the  
 17 deposition of PAUL SIMONE, Ph.D.  
 18 was concluded.)  
 19  
 20  
 21  
 22

Page 275

1 Notice Date: November 17, 2016  
 2 Deposition Date: November 7, 2016  
 3 Deponent: Paul Simone, Ph.D.  
 4 Case Name: US v. Hi-Tech Pharmaceuticals, Inc.  
 5 Page:Line Now Reads Should Read  
 6 \_\_\_\_\_  
 7 \_\_\_\_\_  
 8 \_\_\_\_\_  
 9 \_\_\_\_\_  
 10 \_\_\_\_\_  
 11 \_\_\_\_\_  
 12 \_\_\_\_\_  
 13 \_\_\_\_\_  
 14 \_\_\_\_\_  
 15 \_\_\_\_\_  
 16 \_\_\_\_\_  
 17 \_\_\_\_\_  
 18 \_\_\_\_\_  
 19 \_\_\_\_\_  
 20 \_\_\_\_\_  
 21 \_\_\_\_\_  
 22 \_\_\_\_\_

Page 276

1 CERTIFICATE OF DEPONENT  
 2 I hereby certify that I have read and examined the  
 3 foregoing transcript, and the same is a true and  
 4 accurate record of the testimony given by me.  
 5 Any additions or corrections that I feel are  
 6 necessary, I will attach on a separate sheet of  
 7 paper to the original transcript.  
 8 \_\_\_\_\_  
 9 Signature of Deponent  
 10 I hereby certify that the individual representing  
 11 himself/herself to be the above-named individual,  
 12 appeared before me this \_\_\_\_ day of \_\_\_\_\_,  
 13 2016, and executed the above certificate in my  
 14 presence.  
 15 \_\_\_\_\_  
 16 NOTARY PUBLIC IN AND FOR  
 17 \_\_\_\_\_  
 18 County Name  
 19 \_\_\_\_\_  
 20  
 21  
 22 MY COMMISSION EXPIRES:

Page 277

1 CERTIFICATE OF NOTARY PUBLIC  
 2  
 3 I, LESLIE ANNE TODD, the officer before whom the  
 4 foregoing deposition was taken, do hereby certify  
 5 that the witness whose testimony appears in the  
 6 foregoing deposition was duly sworn by me in  
 7 stenotype and thereafter reduced to typewriting under  
 8 my direction; that said deposition is a true record of  
 9 the testimony given by said witness; that I am neither  
 10 counsel for, related to, nor employed by and the  
 11 parties to the action in which this deposition was  
 12 taken; and, further, that I am not a relative or  
 13 employee of any counsel or attorney employed by the  
 14 parties hereto, nor financially or otherwise  
 15 interested in the outcome of this action.  
 16  
 17 LESLIE ANNE TODD  
 18 Notary Public in and for the  
 19 District of Columbia  
 20  
 21 My commission expires:  
 22 November 14, 2017



<b>A</b>	255:15,18	32:8,13,19,22	236:14 259:10	250:12
<b>abandoned</b>	256:2	33:2,3 75:16	<b>affidavit</b> 42:4	<b>analysis</b> 4:15 5:4
146:2	<b>accessed</b> 56:4,6	76:4 119:21	<b>affiliation</b> 73:2	5:12,13 6:4 7:6
<b>ability</b> 65:4	<b>accommodate</b>	122:9 144:13	<b>agencies</b> 252:13	7:11 19:9 30:8
211:9 228:3,10	10:8 114:13	177:1 209:13	252:17 253:17	34:13,13,14,16
234:1,12	<b>account</b> 213:12	209:13,17,20	<b>agency</b> 108:11	38:3,10,16,20
253:21 254:3	214:4	210:5,13	253:4	38:20 49:22
<b>able</b> 25:4 144:18	<b>accounting</b>	211:13,20	<b>agent</b> 23:12,22	51:13 53:13
146:15,20	72:13 107:19	241:6 246:11	<b>agents</b> 251:5	54:18 61:17
147:21 148:6	<b>accuracy</b> 110:4	<b>additional</b>	<b>ago</b> 11:10 79:22	65:3,16 66:22
154:6,16,22	183:11	129:19 130:9	165:8 235:10	67:4,5,17 69:4
155:9 156:5	<b>accurate</b> 13:8,18	137:8,15 138:9	<b>agree</b> 46:17	69:5,9,13 70:4
158:9 215:15	43:12 98:18	149:1 176:10	66:22 69:4	72:20 73:12,14
226:12 241:3	241:21 276:4	190:18 226:22	161:11 202:22	73:19 74:1,14
251:14,16	<b>acid</b> 251:5,10	236:16 245:15	211:21 214:16	75:5 77:18
259:8,11	259:22 260:2,7	246:11 257:15	<b>agreed</b> 121:22	90:21 94:1,11
267:17 269:12	<b>acidic</b> 260:3	265:20 274:5	212:1	94:15 95:12,16
272:20	<b>acidified</b> 259:21	<b>additionally</b>	<b>agreement</b>	97:5 99:11
<b>abovenamed</b>	<b>acids</b> 14:3,6 19:9	123:4	10:20 220:4	100:2,4 105:14
276:11	19:20 20:2,9	<b>additions</b> 32:17	<b>ahead</b> 54:15	105:14,15,16
<b>absence</b> 56:8	30:21 31:12	276:5	70:19 82:14	108:19,19
224:18 243:5	34:7,15 167:11	<b>address</b> 45:14	149:16 194:15	109:1,2,10,20
243:18 244:12	<b>acknowledge</b>	145:5 234:20	273:21	111:7,12,13,14
245:1,16	83:15	<b>addressed</b> 54:1	<b>air</b> 196:17	112:1,10,17
<b>absolutely</b> 219:6	<b>acknowledge...</b>	264:9 272:10	<b>al</b> 208:21 209:16	121:14,18
<b>absorbance</b>	83:14	<b>adequately</b>	242:7,8,10,10	122:9,10
38:20	<b>acknowledgm...</b>	272:8	242:11,11,12	129:11,13
<b>absorbed</b> 152:8	83:12	<b>administered</b>	247:17 258:6	133:13,19
<b>absorbing</b>	<b>acquire</b> 82:10	72:12	<b>algebraic</b> 32:10	134:15 135:15
152:13 162:20	<b>acronym</b> 26:3	<b>administering</b>	<b>aliquot</b> 32:2	135:22 138:6
164:12 165:1	<b>act</b> 57:1 116:8	2:16	210:17 211:2	141:5,17
<b>absorption</b>	150:22	<b>administration</b>	<b>allow</b> 24:7	142:16 143:6
238:12	<b>acta</b> 255:10	56:2 128:4	<b>alphabet</b> 253:17	144:21 145:1
<b>academic</b> 253:6	<b>acting</b> 69:21	<b>admittedly</b>	<b>alternative</b>	146:14 148:19
253:16	152:3	97:22	253:19	155:9 157:10
<b>academy</b> 88:8	<b>action</b> 1:5 143:7	<b>adopt</b> 149:15	<b>amend</b> 149:9	157:18 164:13
199:12	277:11,15	<b>ads</b> 254:8	<b>america</b> 1:5	169:19 170:8
<b>acc</b> 5:10 6:16	<b>actively</b> 21:6	<b>adulterated</b>	<b>amine</b> 65:17	175:13 181:13
190:17	<b>actual</b> 213:7	197:2	260:9,10	182:5 183:10
<b>accept</b> 91:19	<b>added</b> 209:18	<b>advanced</b> 38:14	<b>amount</b> 69:6	185:4 186:13
122:3	246:10	38:17 39:10	210:2	186:16 189:2,5
<b>acceptable</b>	<b>adding</b> 100:1	<b>advised</b> 35:19	<b>amounts</b> 32:8	203:12,16
146:15	<b>addition</b> 9:11	<b>advisor</b> 35:20,20	109:4 189:1	206:16,21
<b>access</b> 254:19,21	30:20,22 31:2	64:3	271:16	207:2,12,19
255:2,4,7,13	31:5,7,21 32:7	<b>affect</b> 177:2	<b>analyses</b> 66:21	210:5 214:14

214:14 216:7	184:9 193:14	127:17 130:4	<b>anticipate</b> 9:15	166:2,5
217:13 218:7	196:6,7 210:20	130:15,20	70:10	<b>approximately</b>
218:12,13,15	229:20 265:8	131:7 138:22	<b>antidoping</b>	14:6 99:2,5
218:15 220:14	271:15	139:9,16 140:2	252:15	109:15 110:6
225:17 226:20	<b>analyzing</b> 35:12	140:4,7,12	<b>anybody</b> 23:15	111:17 162:13
226:21 241:17	95:5 135:7	141:22 143:11	48:16,19 85:11	165:16 169:18
245:12 246:8	209:17	145:12,21	85:14 101:1	211:21 212:20
249:22 250:3,5	<b>anne</b> 2:14 277:3	147:6,13 148:3	105:22 174:3	244:18 245:5
250:13 251:11	277:17	149:10 151:17	177:12 200:19	260:10
251:12,17	<b>announcements</b>	152:16 154:2	256:10 273:12	<b>april</b> 56:4 93:10
255:17 259:16	56:5	154:10,21	<b>anyway</b> 62:4	163:18,20
<b>analyte</b> 95:5,6	<b>answer</b> 9:5,8,11	156:1 161:5,16	113:15	247:1,9
113:7 259:20	9:20 10:1	163:9 167:4,6	<b>apparent</b> 177:2	<b>area</b> 14:8,16,19
<b>analytes</b> 95:1	14:12,22 15:13	168:3 173:15	236:15	15:1 16:12
<b>analytic</b> 182:21	15:20 16:5,17	178:13 183:22	<b>apparently</b>	18:5 33:10
<b>analytica</b> 255:9	17:9 18:14	186:7,18 187:6	159:21 249:7	157:21
<b>analytical</b> 5:10	19:3,18 24:10	187:17 193:22	249:11 270:6	<b>arent</b> 43:14,20
6:15,17 15:2	24:21 27:3	194:15 195:20	<b>appear</b> 169:8	169:21
26:5 29:19	29:13 30:15	196:4,19 197:8	<b>appearance</b>	<b>argue</b> 265:6
30:3,4 33:11	31:1 33:7 34:1	197:14 198:5	21:10	<b>arguing</b> 263:21
33:14,18 35:21	35:10,17 36:17	198:17 199:15	<b>appeared</b>	<b>argument</b> 230:4
38:7,14,17	37:2,9,11 38:1	200:4 201:11	276:12	230:9 231:9
39:1,10 47:16	39:17 40:1,7	202:2 203:5	<b>appearing</b> 47:19	264:8 265:5
49:6,10 53:1	42:21 43:22	216:13 217:5	<b>appears</b> 108:18	<b>arguments</b>
104:7 109:3,4	45:1,16 46:6	222:9 223:3,7	121:1 158:13	217:19 262:22
113:5 115:3	49:5,18 52:10	224:10 228:12	246:21 277:5	263:14,21
121:22 134:18	53:9,21 56:19	229:7 243:12	<b>applicable</b> 218:8	265:11
184:14 190:11	57:15 58:1	246:5 248:6	<b>application</b>	<b>arising</b> 208:22
190:17,18	59:7,20 63:2	249:5 257:20	204:15	<b>armstrong</b>
202:14 220:11	67:2 70:2 71:3	258:5 259:14	<b>applied</b> 204:20	251:1
235:19 236:4	73:7 75:12,20	261:6 262:13	245:2 255:11	<b>armstrongs</b>
243:19 244:21	76:2 77:7	264:20 267:2	<b>applies</b> 47:7	251:1
250:9 255:1,11	78:15 79:8	268:16 273:8	<b>apply</b> 30:7	<b>army</b> 252:13
257:3 272:2	80:17 81:12,20	273:16	<b>applying</b> 245:18	<b>article</b> 5:4 6:18
<b>analyze</b> 30:1,20	82:22 86:13	<b>answered</b> 33:22	<b>appreciated</b>	52:1,5 54:3,7,9
32:1,3 34:19	87:22 96:22	36:9 49:4	65:7	54:13,20,21
51:7,9,11 74:6	97:19 98:12,21	120:14 131:6	<b>approach</b> 62:21	55:2 62:10
143:7,14 158:3	99:13 101:7,10	140:1,11	69:8 136:17	75:8 80:12
196:9 204:20	101:14,18,22	151:16 154:20	<b>approached</b>	102:11 104:22
210:14 226:12	104:5 106:10	161:4 163:8	59:15 61:16	118:2 119:2
245:13	106:17 107:4	168:2 195:19	72:19 73:19	120:6 121:16
<b>analyzed</b> 99:1	107:15 111:2	196:3 197:7	80:21	122:7 137:6
115:2 124:12	114:21 124:19	202:1 243:11	<b>appropriate</b>	174:10 188:21
136:12 149:21	125:4,21 126:7	<b>answering</b> 9:16	10:7	219:11 229:4
151:2 154:13	126:17 127:5	268:13	<b>approximated</b>	231:22 255:5

255:20 256:7	<b>assist</b> 143:1	<b>authenticating</b>	<b>backed</b> 235:2	117:6,11,21
<b>articles</b> 40:12	<b>assistant</b> 4:11	87:3	<b>background</b>	251:7
220:5 244:16	12:9 13:1	<b>authentication</b>	202:10 235:20	<b>batch</b> 105:17
254:19 255:3	<b>associate</b> 11:17	149:6,10	235:22	<b>bates</b> 91:14
256:1,19	<b>associated</b> 29:5	184:15 272:3	<b>base</b> 129:9	<b>batesstamped</b>
258:13	29:8 89:13	<b>authorize</b> 153:3	<b>based</b> 28:18	91:7
<b>articulated</b>	97:15 98:3	<b>authors</b> 52:13	49:11 51:14	<b>bayer</b> 148:21
117:8	223:14	83:15 176:20	54:9 81:2	149:3 152:6
<b>artifact</b> 133:18	<b>assume</b> 9:9 21:9	193:1 258:13	93:12 94:12	<b>bearing</b> 63:9
134:14,16	43:1 117:16	<b>availability</b>	95:10 96:4,11	90:20 120:22
<b>artificial</b> 266:17	121:13 152:10	223:14 235:15	97:22 98:22	132:9 141:4
266:21	173:12 178:15	<b>available</b> 78:5	108:21 116:20	148:16 168:10
<b>aside</b> 93:14	181:17	78:12,20 96:13	117:10 121:13	180:7 190:7
140:19 158:14	<b>assuming</b> 99:7	150:16 255:5	124:6 128:13	192:18 227:9
171:6 231:6	214:13	255:15	128:20 129:4	231:18 246:19
<b>asked</b> 15:22	<b>assured</b> 100:14	<b>average</b> 110:14	138:18 146:18	<b>bears</b> 190:15
16:1,3 21:13	<b>atlanta</b> 1:3	<b>aware</b> 15:21	147:16 148:5	<b>becker</b> 2:5 3:15
33:21 44:18	<b>attach</b> 276:6	43:13 78:1	152:6,14	<b>beginning</b>
48:12 49:3	<b>attached</b> 4:6 5:2	79:3 80:9	153:21 154:5	258:14 263:11
74:2,3,11,14	6:2 7:2	126:14 172:17	154:11 176:10	<b>behalf</b> 3:3,13
80:22 120:13	<b>attempt</b> 179:4	174:7 177:21	176:22 179:7	<b>believe</b> 26:2
131:5 139:22	<b>attempted</b> 155:6	<b>awareness</b> 55:8	186:4,8,9	49:2 53:6,22
140:10 151:15	161:8		187:10,11	71:15 101:8
154:19 161:4	<b>attitude</b> 22:12	<b>B</b>	191:13 192:3	113:14 120:3,8
163:7 168:1	22:13 46:22	<b>b</b> 4:5 5:1 6:1 7:1	197:1 200:8,22	121:20 122:20
173:19 187:20	47:2 48:13	13:3	201:1,18 211:3	129:5,16
194:4,11,18,19	<b>attorney</b> 8:15	<b>back</b> 9:4 21:5	214:14 215:16	135:16 138:2,7
195:3,5,18	277:13	23:20 50:18	221:3,6 236:9	144:2 145:22
196:2 197:6	<b>attorneyclient</b>	54:7 56:12	236:10,12	146:3 147:1
201:22 243:10	44:22 46:3,12	64:7,10 68:5	247:17 258:11	162:3,7 163:18
<b>asking</b> 9:14	<b>attorneys</b> 47:8	90:5 93:16	261:11,18	169:3,5,7
22:21 31:4	<b>attract</b> 253:21	114:6 119:3,5	262:3 264:7	190:21 205:15
36:2,7 48:7	254:3	119:7 127:20	265:1,11	205:15 228:13
51:2 67:20	<b>august</b> 5:7 56:6	131:10,17	266:19 270:10	233:21 234:9
77:10 117:20	121:2 122:5	132:21 143:18	270:22 271:3	236:1 241:16
118:1,7,10	156:17 228:21	145:5 160:3	272:9	248:22 255:8
119:8 189:11	235:9	165:7 171:11	<b>basically</b> 25:7	261:8 274:2
194:8,12 202:6	<b>austin</b> 184:13	178:10 182:3	94:21 95:1	<b>believed</b> 94:19
218:4 239:8	242:11 243:6	188:10 203:22	181:15 205:16	198:2
268:7,8	244:13 271:22	211:3 218:22	214:1,19 232:4	<b>benzene</b> 238:20
<b>aspects</b> 150:18	<b>australian</b>	221:8 225:4	240:14 253:6	<b>benzyl</b> 182:16
<b>assessment</b>	252:14	234:14,19	<b>basing</b> 117:1	<b>best</b> 11:11 23:5
162:8	<b>authenticated</b>	242:2 244:16	192:6	28:8 42:22
<b>assignment</b> 62:1	86:15,21 87:7	261:15 269:15	<b>basis</b> 49:9	50:22 51:5
65:13	199:10	274:1	114:17 115:15	62:2,18 78:4

85:5 87:5	202:6,12,18	<b>bullet</b> 164:13	<b>carbons</b> 239:14	72:14
93:13 97:10	<b>biosynthetically</b>	<b>bulletproof</b>	239:16	<b>certify</b> 276:2,10
98:13 109:2	265:12	246:12	<b>carcinogens</b>	277:4
122:7 127:6	<b>bit</b> 83:9 260:14	<b>burns</b> 223:22	28:15	<b>cetera</b> 238:20
145:5 166:18	<b>bleach</b> 19:9,20	<b>business</b> 13:11	<b>cards</b> 219:8	<b>ch</b> 13:3
190:13 191:6	20:2,9 34:13	59:17,18	<b>career</b> 13:4	<b>chair</b> 64:4,6
211:17 255:16	34:14 167:12	<b>butane</b> 238:19	<b>carolina</b> 13:5	149:4
264:22 269:5	<b>blindly</b> 150:3	<b>buy</b> 69:8 77:14	<b>cas</b> 66:5	<b>challenges</b> 56:2
272:8	<b>bloomer</b> 63:20	135:16	<b>case</b> 11:4 16:20	<b>challenging</b>
<b>better</b> 21:2	64:11 65:1,7	<b>byproducts</b>	42:9 44:8	31:12
157:20 241:19	65:13 68:9	13:15,21 14:19	91:10 95:6	<b>chan</b> 193:1
241:22 242:1	71:14,17,20,22	29:9 30:2	113:19 140:14	<b>chance</b> 9:20
<b>beverages</b> 256:8	72:5		173:8,10	187:12 274:5
256:18	<b>bonded</b> 239:13	<b>C</b>	174:19,22	<b>changed</b> 116:13
<b>beyond</b> 59:8	<b>botanical</b> 199:11	<b>c</b> 1:18 3:1 4:1	183:9 195:13	<b>changes</b> 108:21
89:11 129:2	<b>botany</b> 36:15,22	5:1 6:1 7:1 8:1	210:9 214:16	108:21
136:18 157:3	37:6,13,16	193:1	235:14,15	<b>changzhou</b> 88:6
177:12 268:1	<b>bottom</b> 112:17	<b>cabinet</b> 252:16	236:6 239:13	94:2,17 116:4
<b>bh</b> 13:3	156:21 164:10	<b>calculate</b> 211:3	245:17 251:8	136:18,22
<b>bias</b> 133:19	205:22 228:19	<b>calibration</b> 30:1	260:17 275:4	137:4,9,19,19
134:14,16,22	<b>bought</b> 82:14	31:2,5 77:18	<b>cases</b> 108:20	138:9,12
134:22 135:1	<b>boundary</b>	113:4 211:18	<b>cassava</b> 223:15	205:16 209:1
<b>big</b> 67:12 110:1	243:21	241:5	<b>caused</b> 129:14	209:10 212:2
<b>billion</b> 14:7	<b>box</b> 232:15	<b>calibrations</b>	<b>causes</b> 53:15	212:13,13,14
31:14,18,20	<b>branch</b> 3:7	29:22	166:21	213:6 214:5,5
102:12 103:4,6	<b>break</b> 10:5	<b>call</b> 112:16	<b>caution</b> 44:20	215:17 224:16
103:7,7,13,19	89:21 90:6,13	240:3	<b>ce</b> 270:18	224:16,17
104:8 108:6	114:1,7,12	<b>called</b> 153:10	<b>cell</b> 207:16	225:1,18,22
112:11,18	131:10,18	158:16 256:8	<b>celsius</b> 88:18	226:5,20
150:8,12	132:3 144:7	<b>calls</b> 46:3 78:13	<b>center</b> 3:16	229:17,19
158:11 218:14	171:7,11,18	<b>candidate</b>	265:15	247:17 249:8
244:5,6 250:18	218:22 219:7	170:19	<b>centers</b> 97:2	249:11
<b>biochemistry</b>	274:2	<b>cant</b> 17:10 44:10	265:16	<b>characterize</b>
201:14	<b>bring</b> 53:5	137:10 138:11	<b>certain</b> 45:21	74:10
<b>biological</b> 39:21	<b>broad</b> 68:7,11	167:5 181:3	80:22	<b>charleston</b> 13:5
40:5 81:9,17	203:20	215:2 265:21	<b>certainly</b> 114:3	<b>check</b> 75:16
101:19 120:11	<b>broader</b> 154:15	265:22 273:19	135:15,22	108:1,2 110:13
129:7 131:1,3	<b>broadly</b> 14:1	<b>capable</b> 46:15	164:18 172:19	112:3,13,14,20
272:15,17	33:15 109:4	<b>capacities</b> 10:19	188:15	<b>chemical</b> 6:12
<b>biology</b> 36:18,21	<b>brown</b> 11:3	<b>capacity</b> 28:2	<b>certainty</b> 116:16	33:16 34:3,5,6
37:15 101:12	201:7	47:12,20 48:17	129:17 137:10	34:18 65:22
114:18 149:4	<b>browns</b> 201:2,19	<b>capillary</b> 182:16	187:13 228:9	77:13 79:14,14
<b>biosynthetic</b>	202:17	<b>carbon</b> 238:18	<b>certificate</b> 276:1	80:7 102:17
200:15 201:3,8	<b>budget</b> 68:1	239:2,12,12,20	276:13 277:1	123:18 176:3,8
201:17,20	<b>built</b> 246:10	240:5	<b>certification</b>	180:9 186:1

201:21 202:7	105:22 106:22	23:13 38:21	<b>climatological</b>	100:14 131:10
220:22 221:5,6	107:6 133:14	123:21 170:9	87:18	229:9 236:4
221:13,18	137:12,16	175:18 186:2	<b>close</b> 112:7,14	<b>command</b>
222:12 224:6	138:15 147:11	192:22 240:21	181:22	252:14
238:18 239:19	193:14 196:7	241:20	<b>closed</b> 88:11	<b>comment</b> 232:15
259:10	196:10 205:8	<b>chromium</b> 34:15	255:12,13	233:11,12,14
<b>chemically</b>	209:1 214:10	<b>chunk</b> 110:1	<b>closely</b> 146:1	233:15 235:6
238:10	216:11 229:17	<b>circumstance</b>	<b>closeness</b> 182:10	235:13
<b>chemicals</b> 14:9	<b>chinese</b> 88:7	226:16	<b>closer</b> 111:22	<b>commercial</b>
17:1 18:5 21:7	178:21 199:12	<b>circumstances</b>	<b>coach</b> 118:16	56:10,16 128:2
27:9,15,21	<b>chiral</b> 23:12,22	89:9	<b>coefficient</b>	140:8 264:22
28:5,12,16	24:5,7,17 39:6	<b>citadel</b> 13:5 28:9	113:15	<b>commercially</b>
40:13,17 67:4	39:12 50:1,5	<b>cite</b> 172:9	<b>coelute</b> 240:22	150:1,16
67:16 68:3,11	119:21 126:22	<b>city</b> 31:19	<b>coelution</b> 241:7	155:13
103:21 104:17	127:3,13,15	<b>civil</b> 1:5 3:7	<b>colleague</b> 26:8	<b>commission</b>
104:18 222:2	129:18 130:10	46:15	64:3	276:22 277:21
222:21,22	145:3 161:9	<b>claim</b> 243:18	<b>colleagues</b>	<b>common</b> 222:22
238:19	250:13,21,22	<b>claimants</b> 1:15	157:20	<b>commonly</b> 243:2
<b>chemist</b> 29:19	251:3,5 265:15	<b>claims</b> 185:10	<b>collected</b> 87:11	244:8
35:21 182:21	265:15 266:2	187:14	88:5,11,19	<b>communication</b>
202:14 235:19	<b>chloride</b> 251:6	<b>clarify</b> 21:14	107:17 225:8	46:4
236:4	251:10	268:9	226:1,6	<b>communicatio...</b>
<b>chemistries</b>	<b>chlorination</b>	<b>class</b> 31:20	<b>collection</b> 83:17	45:1 46:13
240:15	14:4	36:14 38:6	181:7	174:11
<b>chemistry</b> 4:11	<b>choice</b> 165:2	<b>classes</b> 38:14	<b>college</b> 36:19,22	<b>companies</b>
12:8,9 13:2	255:12	<b>claud</b> 3:4	37:4	56:11,17
15:2 30:4,4	<b>choose</b> 255:14	<b>cleanup</b> 259:17	<b>collision</b> 207:16	<b>company</b> 59:16
33:11,14,19	<b>chose</b> 194:20	<b>clear</b> 9:1 22:18	<b>colloquy</b> 21:17	60:3 65:2
35:6,8,15 36:4	195:4	38:15 46:8	<b>columbia</b> 2:16	67:10 68:9
37:20 38:7,13	<b>chosen</b> 71:7	48:9,12 57:6	277:19	77:13
38:18 39:1	<b>chromadex</b>	102:22 136:13	<b>column</b> 23:13	<b>comparable</b>
47:17 49:6,10	124:2	138:11 143:15	116:1 123:21	236:18
52:21 91:1	<b>chromatogram</b>	144:15 186:20	182:12,15,17	<b>compare</b> 145:2
92:18 93:2	97:15 98:4	187:19 189:1	186:2 206:8	179:15 180:15
109:5 121:22	<b>chromatograms</b>	195:9 214:9	219:15 240:21	<b>compared</b>
184:14 220:11	97:9 98:1	270:16	241:1 250:21	123:19
250:9 255:1	169:20	<b>clearly</b> 86:15	250:22 251:3	<b>comparison</b>
262:20 263:12	<b>chromatograph</b>	139:18 220:4	<b>combined</b> 88:13	123:5
272:2	25:12,14	229:20 250:2	<b>come</b> 24:18	<b>completely</b> 9:22
<b>chemists</b> 220:6	179:15 180:14	<b>client</b> 46:9,12	55:10 86:4,10	194:7,12,20
257:4	182:4	155:12 158:10	142:8 201:3	<b>complex</b> 31:10
<b>chen</b> 6:18	<b>chromatograp...</b>	<b>clients</b> 47:8	223:19 229:17	31:11 158:8
<b>chimica</b> 255:10	169:8	<b>climate</b> 89:8	233:20 245:14	181:6
<b>china</b> 82:20 84:7	<b>chromatograp...</b>	177:1 236:14	<b>comes</b> 29:20	<b>complicated</b>
88:6 105:19,21	4:18 6:7,21	258:19 259:1	<b>coming</b> 17:5	186:9

<b>comply</b> 13:13 14:17	210:15 211:1,2 211:5 212:18	243:21	<b>considers</b> 187:2	80:10 85:19
<b>component</b> 177:12,20	213:9,13 223:15 225:18	<b>conditions</b> 87:13 87:17,18,18,19	<b>consist</b> 73:14	95:3,17 152:2
<b>components</b> 175:19 176:13 177:10,16,19 177:22	226:2 227:15 <b>concentrations</b> 4:20 14:2,5	89:3,6,7,12 109:9,20 181:12,13	<b>consistent</b> 186:14	152:12 233:22 234:10
<b>composed</b> 265:20 272:21	31:17 51:13 74:20 76:18	182:12,14 <b>conduct</b> 26:17	<b>consistently</b> 136:19	<b>continuation</b> 49:15 50:4,10
<b>composition</b> 176:19,20 177:3 236:11 236:15 258:17 262:6 264:11 266:5 272:9	88:14 94:3 97:3 103:11 104:9 108:3 109:12 111:16	38:7 61:17 72:19 73:12,19 250:4	<b>constant</b> 126:4	<b>continue</b> 146:11 274:7
<b>compositions</b> 220:22 221:14 221:19 222:12	178:2 184:10 193:15 205:7 208:22 209:18 209:19 211:8	<b>confer</b> 273:19	<b>constituents</b> 6:12 143:9 180:9	<b>continued</b> 49:13
<b>compound</b> 46:2 80:7 164:12 165:1 186:1 238:18 245:16	211:19,21 213:20 222:22 224:18 229:21 250:1 271:16	<b>conducted</b> 150:15	<b>consumer</b> 3:7	<b>contract</b> 24:1 49:22 50:1,6 50:13,15,19 59:9,13 60:2 62:14 68:1 69:9,13,14,17 69:22 70:4,5 70:17 71:1,8 72:11 139:2,6
<b>compounds</b> 31:18 34:6,10 176:5 239:17 240:22	<b>concepts</b> 30:8 <b>concern</b> 67:8 <b>concerned</b> 152:1	<b>conducting</b> 257:4	<b>contact</b> 58:14,21	<b>contracts</b> 49:21 67:11 129:12
<b>computer</b> 181:9 181:16	<b>concerning</b> 14:1 55:4 155:3 262:22 263:14	<b>confer</b> 273:19	<b>contacted</b> 44:8 59:12 84:16	<b>contractual</b> 51:6
<b>conc</b> 157:5,6	<b>conclude</b> 114:17 274:18	<b>confidential</b> 148:18	<b>contacts</b> 59:12	<b>contradictory</b> 257:10,12,17
<b>concentration</b> 32:11 75:4 77:11 102:6,7 102:17 103:10 104:3,20 108:2 108:15,16 110:14,15 111:20 113:7,9 157:4,7,10,13 157:16 158:4 159:21 160:11 162:11,16,21 165:13 166:3 166:12,17 167:2,19,21	<b>concerning</b> 14:1 55:4 155:3 262:22 263:14	<b>configuration</b> 186:4	<b>contain</b> 133:14 136:21 150:2 151:2 155:14 184:10 185:3 193:14 269:1 269:18 271:15	<b>control</b> 181:7
	<b>concert</b> 142:7	<b>confirm</b> 146:15 148:6 155:1 187:12	<b>contained</b> 42:9 152:5	<b>control</b> 181:7
	<b>conclude</b> 114:17 274:18	<b>confirmation</b> 4:15 97:5 122:8	<b>containing</b> 150:22 152:2	<b>controversy</b> 62:15
	<b>concluded</b> 274:18	<b>confirmations</b> 245:6	<b>contains</b> 123:4	<b>conversation</b> 8:22 71:6 121:20
	<b>conclusion</b> 24:19 193:17 195:15 197:22 200:1	<b>confirmed</b> 123:6	<b>contaminants</b> 18:6 28:15 29:1,5	<b>conversations</b> 46:11 65:12 70:15 152:6 173:21 174:11 174:17
	<b>conclusions</b> 53:14 100:2 189:10,17 200:8	<b>confirming</b> 56:7	<b>contaminate</b> 150:3 154:18	<b>convert</b> 250:14
	<b>conclusively</b> 178:7	<b>confused</b> 184:16 221:8 237:5	<b>contaminated</b> 147:2 154:13 161:13 196:16 196:22 197:2	<b>convey</b> 91:15
	<b>concrete</b> 155:5,7	<b>conjunction</b> 29:22	<b>contamination</b> 130:2 146:21 148:1 152:14 152:21 154:7 161:14	<b>cooler</b> 135:17 140:16
	<b>condition</b>	<b>consequences</b> 56:10,16	<b>content</b> 150:17	<b>coordinating</b> 85:3
		<b>consider</b> 24:18 34:20 102:16 178:6 237:8,13 244:2	<b>contents</b> 42:5	<b>copy</b> 10:13 91:17 179:11 190:10 274:12
		<b>considered</b> 95:12 110:7 213:20	<b>context</b> 30:12 33:19 36:4 55:10 74:2	<b>core</b> 262:21 263:14,20
		<b>considering</b> 237:11		

<b>correct</b> 21:20 26:3 28:2 33:11,12 37:17 39:2 41:13,14 49:20 56:13 61:11 62:5,11 62:12 80:4 89:4,6 91:19 106:15 107:1 108:20 118:3 124:17 145:10 145:13 151:12 153:11,12 158:12 165:10 165:15 166:6,7 166:15 172:16 173:11 175:5 177:17 178:18 178:19 183:20 185:13 186:5 188:18,21,22 189:8 191:6,12 193:5 195:14 199:3,21 200:20 209:14 212:4,8 215:11 217:3 242:18 245:20,21 267:16 270:11 271:18,19 272:16 273:1,2 273:10	21:17 22:4,12 46:14 277:10 277:13 <b>counts</b> 10:3 <b>county</b> 276:20 <b>couple</b> 7:4 67:1 82:12 132:17 219:17 228:22 <b>course</b> 8:21 10:4 36:8 39:8 76:21 173:20 189:12 <b>courses</b> 37:18 38:9,12 39:11 39:11,14,20 40:4 <b>coursework</b> 36:3 37:12,16 38:19 39:3 <b>court</b> 1:1 2:14 8:17 9:18 119:8 <b>cover</b> 45:21 <b>covered</b> 50:20 <b>covers</b> 202:10 <b>crafting</b> 230:4 <b>credibility</b> 236:3 <b>credible</b> 183:10 230:20 257:8 <b>critical</b> 220:11 235:3 <b>criticizing</b> 234:18 <b>cumulative</b> 170:18 <b>current</b> 204:19 <b>currently</b> 11:14 20:13,16,17,17 20:20,22 37:21 37:22 40:15 <b>curve</b> 113:4 <b>custom</b> 151:2 <b>cut</b> 54:16 <b>cyanide</b> 223:15	<b>D</b>	<b>dedicated</b> 17:6 18:19 <b>defend</b> 21:18 <b>defendant</b> 3:13 <b>defendants</b> 1:11 <b>defended</b> 52:16 <b>define</b> 33:13,18 <b>definitely</b> 66:20 <b>definition</b> 20:20 20:21 103:3 <b>definitions</b> 103:1 214:2 <b>definitive</b> 229:7 <b>degree</b> 115:3 116:16 129:4 129:17 143:1 187:13 225:15 228:8 <b>degrees</b> 36:5 <b>delivered</b> 84:17 <b>demonstrate</b> 178:7 221:5 272:20 <b>demonstrating</b> 243:3,4 244:9 244:12 <b>deny</b> 148:6 155:1 <b>department</b> 3:6 4:12 12:8,9 52:21 64:5,6 64:13,15 65:6 91:1 92:18 93:2 149:4 252:16 <b>depending</b> 31:14 57:13 131:11 207:5,7 224:4,4 258:18 <b>deponent</b> 275:3 276:1,9 <b>depose</b> 100:15 100:18,20 <b>deposition</b> 1:17 2:1 4:7,8 5:3	6:3 7:3 8:12 10:5,13,13,14 10:18 11:8 12:5 21:19 41:10 48:3,20 51:21 63:9 90:19 95:22 100:17 102:5 120:21 132:8 141:3 148:15 156:15 159:4 168:10 170:6 190:6 192:14 192:17 204:12 227:8 231:17 246:18 255:21 274:7,17 275:2 277:4,6,8,11 <b>depot</b> 120:1,5 <b>derivatization</b> 129:18 161:9 249:22 250:3,4 250:8,14 266:2 <b>derivatize</b> 25:4 250:19 <b>derivatizing</b> 23:12,22 24:5 24:18 251:5 <b>describe</b> 50:14 52:6 139:12 156:4 211:18 <b>described</b> 62:8 117:13 119:2 119:18,19 146:19 181:14 <b>describes</b> 181:15 <b>description</b> 65:15 68:7 <b>detail</b> 160:6,7,12 187:8 <b>detailed</b> 122:22 123:22 135:17 169:19 <b>details</b> 66:22 <b>detect</b> 75:9
---	--	----------	--	---

108:15 211:9	<b>determine</b> 32:10	265:18,19	15:21 20:20	277:8
214:19 215:16	74:12,12 80:14	266:1,13,18	22:8 34:18	<b>directly</b> 17:18
216:1,2 251:19	81:6,16 98:4,5	267:6,7,8	48:8 67:14	29:14 71:21
<b>detected</b> 94:2	109:9 120:11	271:1,11	73:17 88:7	72:9 86:22
215:3,17,18,18	133:17 134:8	<b>diastereomers</b>	96:13 103:8,12	105:21 126:13
215:19,21	136:14 143:8	116:3 123:17	103:14 109:19	138:15 174:4
240:12 242:9	152:20 169:15	123:17 124:14	115:13 123:18	<b>disagreement</b>
265:7	179:20 182:5	124:22 125:19	126:3 127:14	134:3 136:3
<b>detection</b> 14:10	187:1 203:15	126:4 250:15	194:6,9,12,17	220:5
74:22 75:1,3	209:19 211:4,7	263:20	194:20 195:4	<b>disclosure</b> 46:3
76:12,14,19	211:14,15,19	<b>didn't</b> 43:5 57:20	196:6,10,11	<b>discovery</b> 96:1
94:3 97:6 99:4	211:20 216:8	85:20 96:19	204:21 210:4	117:4 142:11
99:7,8 108:9	227:12 228:2	125:10 130:18	214:8 216:3	<b>discrepancies</b>
108:12,13,22	257:6,16	131:1,2 140:8	220:15,21	217:2
109:5,7,10,14	<b>determined</b>	145:18 160:16	221:5,19 222:2	<b>discuss</b> 262:10
110:5,16 112:1	93:22 97:4	161:6 167:7	222:11,20,20	<b>discussed</b> 39:11
112:8,12,14,19	104:20 110:12	184:4 185:12	222:21 224:6	98:14 157:18
129:19 157:17	112:20 113:4	189:2 194:9	225:9,13,16	201:3 213:19
157:19,22	115:4 136:5	195:4 204:6	228:5 246:1,7	243:20 262:4
183:11 196:12	209:8	209:10 211:7	246:9 269:11	262:20 263:13
203:12 206:6	<b>determining</b>	212:21 213:3	269:21 270:3,5	<b>discusses</b> 57:3
206:19,19	34:11 115:10	215:12,13,22	<b>differential</b>	<b>discussing</b> 70:21
209:11 210:1	134:19 136:17	215:22 216:3	207:9	121:21 263:8
214:15,18	202:19 203:1	251:2,3,4	<b>differently</b>	<b>discussion</b> 55:3
215:14 218:1	<b>develop</b> 23:9	252:3,3	265:6	55:9 261:9
238:9,11 240:2	34:19 142:16	<b>die</b> 166:21	<b>differing</b> 221:12	<b>discussions</b>
240:12 241:19	251:16 254:9	<b>died</b> 162:10	<b>differs</b> 126:15	175:12
241:20,22	<b>developed</b> 24:6	<b>diet</b> 151:1 152:4	<b>difficult</b> 9:18	<b>disinfection</b>
242:22 243:1	25:3 28:18	<b>dietary</b> 55:16	69:5 134:20	13:15,21 14:4
243:18,20	51:12,14	56:10,17 57:1	145:1 249:8,12	14:19 29:9
244:3,20,21	153:21 245:19	57:3 65:2	<b>dilorenzo</b>	30:2
249:21 250:10	250:22	116:7 124:3	184:13 242:10	<b>display</b> 217:1
250:17 251:18	<b>developing</b>	<b>differ</b> 236:19	<b>dilution</b> 211:3	<b>dispute</b> 267:11
251:19 252:2	13:12 14:16	258:6	<b>dimethylamyl...</b>	<b>distinctly</b> 269:11
260:5	29:20 30:6	<b>difference</b>	5:5 6:20 90:21	<b>distinguishable</b>
<b>detector</b> 182:14	143:2 155:8	125:19 213:8	192:21	108:17
237:9 238:17	<b>development</b>	213:13 241:4	<b>direct</b> 26:7,9	<b>distinguished</b>
239:3,11,21	28:14 67:11	<b>differences</b>	28:1,11 58:21	75:5
240:16,18	<b>deviation</b> 110:11	213:22 220:12	115:22 174:11	<b>distribute</b>
<b>detectors</b> 238:10	110:12,13,17	220:13 221:18	250:10 252:7	148:19
238:12 240:3	112:3	224:22 230:4,9	<b>directed</b> 17:17	<b>district</b> 1:1,2
<b>detects</b> 238:19	<b>devote</b> 241:17	230:12,15,18	19:5 20:1	2:15 277:19
<b>determination</b>	<b>diastereomer</b>	231:9 257:6	51:12 115:10	<b>divided</b> 110:14
33:16 34:2	123:5,8 124:1	272:7	133:6 163:5	<b>division</b> 1:3 3:7
66:3 108:11	124:7 125:12	<b>different</b> 15:18	<b>direction</b> 45:22	<b>dmaa</b> 1:10 5:5



7:10 22:22	180:16 184:18	51:1,22 52:3	40:18 48:10	159:12 162:3
23:4 25:18,20	185:3,10,15	60:19 61:7	50:3,9 55:10	163:11 164:3
27:5,10,16,20	186:14,15	63:16 90:17,17	57:10 58:5,13	168:4 169:14
29:11 40:18	187:2,3,14,15	90:19 91:3	58:20 59:3	172:18 174:1
53:15 56:2,16	188:1 191:9,12	102:4 105:6	60:6,12 67:11	178:4,22 180:1
57:20 58:7	191:20 193:11	120:22 128:8	71:14,20 76:22	184:1 185:11
62:15 75:10	193:18 195:16	132:6,9,18	84:9 100:4	188:1,4,5
76:10,22 77:14	196:11 197:22	139:12 141:2,4	135:10 146:11	189:19 190:21
77:22 78:3,8	198:2,12,14	141:7,8,11,16	162:10 163:5	197:15,19
78:11,20 79:5	199:6,19 200:2	141:20 142:3,6	174:8 186:16	198:19 203:18
79:13 80:4,7	201:5,21	142:12 148:16	188:20 189:13	217:16,22
80:14 81:1,6	202:20 203:1	149:8 151:19	189:16 190:20	219:4 222:5
81:16 90:21	203:16 204:2,3	152:2 159:10	191:4 194:5,14	223:7 230:22
92:19 93:2	205:7 209:22	159:11,16	203:11 207:2	231:3 232:9
96:3 97:17	211:8,13,15	163:13 164:3	210:12 211:6	235:7,7 237:5
98:6,19 101:11	212:2,6 214:4	168:10 170:7	217:1 256:11	237:11 241:16
101:20 102:3,5	216:8 225:12	170:14,15,17	259:15	249:12 250:18
102:10 115:11	227:14 228:3	171:3 176:16	<b>dollar</b> 254:11,11	251:2 253:7,7
115:15 117:8	228:10 231:1,2	180:7,17	<b>dont</b> 9:3,12	253:8 259:18
117:15 120:12	231:10 234:12	186:19 187:18	12:15 18:15	260:11 261:10
127:10 128:15	236:8 237:10	190:5,7 192:18	20:19 21:11,15	261:20 262:11
128:20,22	237:14 242:17	199:7 204:5	22:13,16 23:20	262:14 267:11
129:6,14,21	246:2 251:12	205:5 208:6,18	35:3 43:9,10	269:20 270:2
130:11 131:4	254:16 257:9	219:21 225:2	43:10 44:4,17	270:18,19,21
134:9 136:6	259:3 260:9,15	227:9,18,20,20	47:5 48:18	272:17
137:2,4,5,9,22	260:19 261:1,3	231:18 232:1	51:2 53:22	<b>doping</b> 252:17
140:9 143:20	262:8 264:2,3	233:10 236:9	54:16 58:9	<b>doublecheck</b>
144:13 145:9	264:4,10,16,17	246:19 247:6	60:12 69:16	208:5 211:14
145:18 146:20	264:22 265:9	263:7 271:6	71:15 72:2	<b>dr</b> 8:10 10:18,22
146:21 147:22	266:22 267:9	<b>documentation</b>	78:5,7,10,22	13:1,10 21:10
150:22 151:1,4	267:15,19	60:9 95:22	79:1,2,20	52:16 65:1,13
151:10 152:3,3	268:5,21 269:1	96:4,12,13,15	80:18 85:11,13	71:17,20,22
152:5,9,13	271:16 272:16	<b>documented</b>	86:14,17 87:2	72:5 83:15,22
153:17,20	273:13	162:6	89:21 91:8,21	84:8,16 85:3,8
154:7,8,17	<b>dmaas</b> 200:15	<b>documents</b> 11:4	98:7 101:8	85:20 86:4,9
155:1 157:7	201:9 206:2	11:6 12:22	105:3 106:5	86:18 87:4
158:19 160:4	<b>doctor</b> 118:20	100:6,8,11	107:8,8,16	90:7 96:3,16
161:1,13	171:12	173:17	117:18 120:8	96:16 100:17
162:12,20	<b>doctorate</b> 38:15	<b>doesnt</b> 91:7	127:18 129:1	100:20 114:8
164:12 165:1,3	<b>document</b> 5:18	237:4	131:9,22	131:19 138:16
165:4,5,12,14	6:4,11,14 7:6	<b>doing</b> 20:13,17	132:22 135:6	167:9 201:2,7
166:20 167:19	12:6,12 41:8	21:7,12 22:7	138:17 140:18	201:19 202:17
169:13 172:3	41:11,16 42:4	23:4,17 24:5	143:16 146:5	219:1 223:19
177:11,20	42:19 43:5	24:17 25:17	147:17,18	274:3
178:7 179:16	44:3 50:21	27:6 39:1	156:4 157:22	<b>draft</b> 229:9

231:21 232:2,3 233:20 274:14 <b>drafting</b> 232:8 <b>drafts</b> 233:20 <b>drinking</b> 13:13 13:14,21 14:3 14:17,18 15:3 15:7 16:13 17:1,7 18:5,5,6 18:19 19:14 26:18,22 27:4 28:16 29:1,5,8 30:1,5,7 31:11 31:14 34:8 167:11 <b>drs</b> 64:9 97:16 <b>drug</b> 56:1 121:14,18 128:3 255:17 <b>due</b> 94:21 145:7 226:20 235:16 241:7 <b>duly</b> 8:4 46:22 274:9 277:6 <b>duplicate</b> 179:4 179:9 182:8 <b>duplication</b> 179:22 <b>dynamics</b> 223:13	<b>education</b> 57:1 116:8 <b>effect</b> 122:21 134:10 227:14 <b>effectively</b> 250:15 <b>effects</b> 31:9,22 32:12 94:1,11 95:1 133:18 134:9 144:21 228:3 <b>effort</b> 21:4 100:1 142:4 <b>efforts</b> 138:18 253:9 <b>eight</b> 239:14 <b>either</b> 27:13 28:11 32:8 53:15 65:4,16 68:8 85:12,14 87:4 159:14 169:13 182:1 183:9 203:2 207:5 217:14 227:13 250:21 <b>electric</b> 182:18 <b>electron</b> 182:18 182:19 <b>electrospray</b> 94:20 <b>elements</b> 198:14 259:18,19 <b>eli</b> 80:3 <b>eliminate</b> 146:20 147:21 154:7 154:17 <b>elsohly</b> 96:3,16 97:16 133:17 184:12 242:10 242:12 243:5 244:13 261:8 265:5 271:22 <b>elsohlys</b> 95:21 249:14 265:11 <b>elucidate</b> 200:14	201:8 <b>elute</b> 186:16 240:22 <b>eluted</b> 186:14 188:1 <b>elutes</b> 185:15,19 <b>eluting</b> 187:2,4 <b>email</b> 4:22 5:9 7:4,10 63:4,6 63:19 64:20 65:1 70:8,16 73:9 99:1 132:11,20,22 133:5 151:21 217:15 228:19 228:21 229:8 246:22 247:8 247:13 <b>emailed</b> 151:20 151:20 274:14 <b>emails</b> 59:8 98:15 99:15 132:15 228:16 <b>emerge</b> 241:1 <b>emmert</b> 26:8 63:21,21 64:2 64:9 <b>employed</b> 11:14 174:12,18,19 277:10,13 <b>employee</b> 58:22 277:13 <b>enantiomeric</b> 265:10,13,14 <b>enantiomers</b> 123:15,16,19 250:15 265:22 269:21 <b>ended</b> 145:3 229:11 235:13 247:15 <b>engaged</b> 28:6 <b>english</b> 179:1 <b>ensure</b> 196:15 260:18	<b>entail</b> 23:8 38:18 <b>entered</b> 60:2 <b>entire</b> 162:21 <b>entitled</b> 5:4 6:4 6:11,14 7:6 <b>environmental</b> 34:3 108:10 109:20 224:15 <b>epstein</b> 2:5 3:15 <b>equal</b> 20:3 162:13 243:8 244:18 245:5 269:21 270:3,4 270:6,9,13,20 271:2,4 <b>equally</b> 245:2 <b>equation</b> 32:10 113:1,3 <b>equipment</b> 68:3 141:18 182:11 <b>equivalence</b> 151:4 <b>equivalent</b> 108:5 125:1 165:13 166:13,20 266:15 269:13 273:5 <b>erik</b> 58:15 70:8 85:5 86:11 121:21 132:11 132:15,21 133:6,7 151:21 228:16,21 246:22 <b>error</b> 103:20,22 104:1,6 105:2 110:20 111:7 111:11,15,18 111:21 112:2,2 112:15 134:20 226:10 <b>errors</b> 104:8,10 104:15,16 111:10 134:18 <b>esquire</b> 3:4,5,14	<b>essentially</b> 32:13 82:12 134:1,4 135:3 146:2 156:5 162:8 239:18 241:2 245:13 260:18 <b>establish</b> 228:8 <b>estimate</b> 42:22 109:6 110:3,10 111:11 <b>estimates</b> 144:2 144:16 <b>et</b> 208:21 209:16 238:20 242:7,7 242:10,10,11 242:11,12 247:17 258:6 <b>event</b> 162:21 <b>eventually</b> 87:8 231:21 <b>everybody</b> 92:2 140:4 229:22 253:17 <b>evidence</b> 46:5 56:3 75:17 76:18 97:8 118:22 129:4,5 160:21 196:20 196:22 220:3 257:8 261:11 270:22 <b>exact</b> 261:9 <b>exactly</b> 221:20 239:10 <b>examination</b> 4:2 8:6 181:9,17 <b>examinations</b> 170:18 <b>examined</b> 8:5 276:2 <b>example</b> 54:20 111:14 112:9 210:6 223:12 238:16 255:8 255:16 256:11
<b>E</b>				
<b>e</b> 3:1,1 4:1,5 5:1 5:1 6:1,1 7:1,1 8:1,1 27:22 238:10 <b>earlier</b> 173:20 184:16 213:19 <b>earned</b> 13:3 <b>earthmate</b> 169:6 <b>easy</b> 8:16 <b>edited</b> 170:22 232:10 <b>editing</b> 232:5,8 <b>editor</b> 121:14				

260:6 <b>excellent</b> 113:21 <b>excess</b> 265:10,14 265:14 <b>excited</b> 25:7 <b>executed</b> 276:13 <b>exercise</b> 64:13 75:15 80:12 81:6 165:9 211:14 <b>exhibit</b> 4:8,9,13 4:15,22 5:4,7,9 5:12,13,16,17 5:18 6:4,11,14 6:16,18 7:4,6 7:10 10:12,15 12:1,5 28:14 40:20 41:3,6 41:10 42:10 43:15,20 49:2 51:16,21 54:4 60:15,20 61:10 62:9,10 63:8,9 63:19 69:3 72:18 75:8 80:11 82:3 83:10,11 90:2 90:18 92:4,16 93:16 94:14 95:9 102:4,11 105:1 115:18 115:20 117:7 118:2 119:2,19 120:16,21 127:20 128:6,7 128:14 131:14 132:7 133:3,10 133:10 135:14 137:6,13 139:13 140:20 141:3,12,14 142:6 148:10 148:15 153:7 153:10,14 156:10,15,16	158:21 159:3 163:17 164:5 168:5,9 170:1 170:6 171:20 172:10,20 174:9,22 175:3 175:4,7 180:2 180:7 181:11 188:11,12,21 190:1,6,15 192:10,17 200:11 204:12 219:10 220:22 221:13 222:12 227:3,8 228:15 229:4 231:12 231:17,22 232:12 237:1 242:5 246:13 246:18 255:21 <b>exhibits</b> 4:7 5:3 6:3 7:3 261:13 261:18 <b>existence</b> 80:7 81:1 <b>exists</b> 79:9 123:13 <b>exit</b> 186:3 <b>exiting</b> 186:1 <b>expect</b> 66:14 125:19 127:12 162:19 185:16 245:22 <b>expected</b> 254:9 254:12 <b>expert</b> 10:20 11:2 44:8,19 46:10 47:13,15 47:21 48:1,3,6 53:6,7,13,19 54:1 99:18 114:15,22 117:17 143:17 143:22 161:7 161:18 173:7	173:10,21 174:2,19 175:9 189:13,16 195:13 222:4 236:2 249:17 257:1 258:1 261:19 <b>expertise</b> 34:21 35:8,11,21 47:16 48:22 49:2 53:5 222:13 <b>experts</b> 47:8 <b>expires</b> 276:22 277:21 <b>explain</b> 9:5 31:4 120:6 224:15 <b>explained</b> 230:11 272:8 <b>explaining</b> 220:12 <b>explanation</b> 167:18 230:14 258:5 272:8 <b>explicitly</b> 271:10 273:17 <b>exposed</b> 164:12 165:1,4,5 <b>express</b> 88:17 <b>extensive</b> 220:20 221:11 235:22 <b>extent</b> 84:4 98:19 187:11 <b>external</b> 211:18 241:5 <b>externally</b> 254:9 <b>extract</b> 25:3 55:16 116:7 128:1 169:4 175:20 176:5 260:19 267:19 268:4,21 <b>extracted</b> 269:2 <b>extraction</b> 94:13 158:7 182:22	211:4 251:17 259:16,21 260:7,13 <b>extracts</b> 176:21 177:3,11 236:12,15 258:17 <b>eye</b> 235:3 <hr/> <b>F</b> <hr/> <b>facilitated</b> 84:17 84:19 <b>fact</b> 10:19 48:1,2 48:17,20 87:9 97:8 109:17 116:17 136:6 143:5,19 145:16 155:1 157:9 158:4 179:21 187:14 188:19 198:12 209:7,12 250:4 252:3,12 254:7 265:7 <b>factfinding</b> 135:2 <b>factor</b> 110:5,16 112:5,11,12,18 159:22 211:3 216:5 <b>factors</b> 108:22 109:18 253:22 254:4 272:10 <b>facts</b> 46:4 <b>faith</b> 178:16 <b>falsely</b> 249:21 <b>familiar</b> 26:1 83:7 158:16 172:6 185:18 <b>familiarize</b> 132:13 247:3 <b>far</b> 58:17 66:13 66:15 78:1 191:16 193:6 214:21 217:11	217:12 246:8 269:17 270:16 <b>fashion</b> 29:11 195:16 <b>fast</b> 67:12,13,14 <b>fda</b> 53:7 56:2,3 68:20 249:21 250:2 252:10 252:22 257:11 <b>federal</b> 100:8 <b>fee</b> 255:15 256:9 256:9,19 <b>feel</b> 205:3 276:5 <b>fees</b> 256:15 <b>fellow</b> 52:20 <b>fertilizer</b> 5:12 5:19 141:5 142:16 143:6 144:3 146:8,21 147:15,18,21 150:1 151:3,4 152:5,14 154:7 154:13,17 155:2,4,14 156:22 157:17 158:3,16 160:5 161:13 164:13 165:6 168:13 168:22 169:2,4 169:6 196:17 197:12 <b>fertilizers</b> 89:16 141:17 143:7 143:14 144:13 145:9,17 146:19 147:3 147:11 149:21 150:15,21 151:1 152:2 153:16,20 154:6 157:8 161:1 162:2 169:9,13 197:4 197:17 <b>fhlf111</b> 156:17
--	---	--	---	--

<b>fhlf131</b> 5:18 168:13	10:12 12:7 24:11 41:12	<b>fluctuating</b> 221:10 223:12	107:14 111:1 114:20 120:13	<b>found</b> 18:1 74:17 76:8
<b>fhlf135</b> 159:5	43:16 50:13,19	<b>fluorescent</b> 238:12	124:18 125:3 125:20 126:6	81:7 94:15
<b>fid</b> 240:1,8 241:16	52:15 59:21 64:10 65:21	<b>focus</b> 83:9	126:16 127:4 127:16 130:3	96:3 97:3
<b>field</b> 26:20 88:9 234:17	66:13 69:2 90:21 111:14	<b>folks</b> 91:16 106:21 142:16	130:14,19 131:5 136:7	102:7,11
<b>fifth</b> 3:8	116:1,1 122:8	143:5	138:21 139:8 139:15,22	115:11 124:3,9
<b>figure</b> 201:14,16 205:17	123:5 132:11 139:5 148:18	<b>follow</b> 179:9	140:10 143:10 145:11,19	124:14 125:13
<b>figured</b> 222:15	156:16 159:4 168:14 170:7	<b>followed</b> 218:8	147:5,12 148:2 151:7,15	126:3,11
<b>figures</b> 243:19 244:22	173:4,9 180:9 190:16 192:19	<b>follows</b> 8:5	152:15,22 154:1,9,19	128:15 136:20
<b>file</b> 60:9	227:11 229:8 247:8,18 250:8	<b>food</b> 5:14 56:1 128:3 148:20	155:22 161:3 161:15 163:1,7	137:5 144:13
<b>final</b> 189:6,10 274:2	271:13	151:1 152:4	167:3 168:1 173:14 183:21	146:13 150:2
<b>finally</b> 25:9 116:3	<b>fit</b> 21:19	<b>footprint</b> 24:8	186:6,17 187:5 187:16 188:3	155:14 157:7
<b>financially</b> 277:14	<b>fits</b> 113:16	<b>foregoing</b> 276:3 277:4,6	193:21 195:16 195:18 196:2	158:10 160:1
<b>find</b> 57:20 58:7 58:8 59:16	<b>five</b> 28:21 29:3 34:8 79:22	<b>forgotten</b> 204:10	196:18,21 197:6 198:4,16	160:11,22
62:22 126:19 135:6,6,10	93:19	<b>form</b> 14:11,21 17:8 18:13	199:14 200:3 201:10,22	162:2,14,16
143:20 209:10 212:6,19,21	<b>flame</b> 237:9 238:10,17	24:9,20 27:2 29:11,12 30:14	203:4 212:3 216:12 217:4	165:13 166:3,5
215:12,13 225:11 226:13	239:11,20 240:1,12,17	33:6,21 35:9 35:16 36:16	222:8 223:2 224:9 228:11	166:13,21
226:18 227:15 245:22 252:11	241:20 252:2	37:1,8 39:16 39:22 40:6	230:16 237:16 243:10 246:4	167:20 178:7
259:11 261:1,2 261:9 267:17	<b>fleck</b> 251:5,10	42:20 45:15 46:2 49:3,17	248:5 249:4 257:19 259:13	184:18 185:10
<b>finding</b> 56:16 80:6 116:20	<b>fleming</b> 4:20 5:4 6:10 7:9 52:14	50:16 52:9 53:8,15 56:18	261:5 262:12 264:19 267:1	187:14 193:19
128:20 135:1,1 214:4,6 216:8	52:16 91:1 92:8 95:20	57:14,22 59:6 59:19 63:1	273:7,15 <b>formal</b> 36:14	196:11 198:2
246:2 273:4	142:7 143:1,6 163:3 164:18	70:1 71:2 72:1 73:6 75:11,19	<b>formally</b> 35:19 <b>forms</b> 239:2	198:14 199:5
<b>findings</b> 57:13 172:20 209:6	167:9,9 170:11 184:9 208:21	76:1 77:6 78:13 79:7	266:15 268:20 <b>founded</b> 235:2	199:19 200:6,7
231:9 257:5	209:16 213:10 232:4,7 242:7	80:16 81:11,19 82:21 86:12	272:22,22 <b>frame</b> 15:10	203:2 204:3
<b>fine</b> 36:12 194:13,17	243:4 244:9 247:15 258:6	87:21 89:18 91:13 96:21	277:19 281:13 281:13 282:1	205:8 212:1,15
<b>finish</b> 9:17,20 145:6	271:15	97:18 98:11,20 99:12 100:5	<b>forth</b> 132:21 153:14	212:22 213:3
<b>first</b> 8:4,18	<b>flip</b> 174:21 175:7 219:13	101:6,13,20,21 102:19 104:4	<b>forward</b> 172:19	217:15 223:20
	266:8	106:9,16 107:2		228:6 230:14
	<b>flowing</b> 105:10			259:2 266:14
				266:15 268:20
				<b>four</b> 23:10 95:19
				120:14 123:14
				123:14 126:22
				127:7 184:18
				235:9 243:4
				244:12 245:3
				250:15 252:11
				265:16 266:5
				269:8,16,19,22
				272:22,22
				<b>frame</b> 15:10
				18:3 20:15
				23:21 67:9
				69:4 70:9

185:15 186:4	181:6	<b>generation</b>	166:19 167:1	<b>gestalt</b> 95:17
186:13,15	<b>fused</b> 182:16	173:7	167:18 170:8	<b>getting</b> 36:4
187:3 229:3	<b>future</b> 269:8	<b>gentleman</b> 86:20	172:3 175:20	85:3 253:18
235:9 241:8		<b>geographic</b>	176:5,12,19,21	254:10
<b>frank</b> 136:11	<b>G</b>	87:18	177:3,11,16,19	<b>give</b> 9:20 17:10
<b>free</b> 205:3	<b>g</b> 4:19 8:1 150:2	<b>geographical</b>	180:10 184:8	17:13 37:6
<b>freely</b> 255:5	150:7 155:15	223:12	184:15 188:16	44:8 100:3,9
<b>freshman</b> 36:19	238:10	<b>georgia</b> 1:2	191:8,13,15,19	138:19 143:3
36:21 37:15	<b>gain</b> 255:4	<b>geranium</b> 4:16	192:21 193:11	149:1 167:6
<b>front</b> 12:4 41:1,8	<b>gamble</b> 135:15	5:6,14 6:5,12	193:18 195:17	229:6 236:2
51:6 63:7	135:22 136:9	6:20 7:8 19:8	197:22 198:11	240:4
120:19 141:1	139:14,20	19:19 20:2,10	199:9 200:15	<b>given</b> 87:6,12
146:5 148:13	<b>garden</b> 199:12	22:20,22 23:11	202:19 203:3	90:17 109:1,8
156:13 159:2	<b>gary</b> 26:8 63:21	30:9 34:16,18	204:16,17,20	109:9 149:12
168:8 170:4	64:2	47:18 49:7	204:21 206:2	173:17 179:1
180:5 190:4	<b>gas</b> 23:13 25:12	51:7,9,14 55:5	209:9 220:3	179:20 186:3
192:13,16	175:18 240:21	55:16 56:9,17	224:19 227:14	191:3 218:3
227:6 246:16	241:19	57:11,20 59:4	227:16 228:3	261:12,12,18
261:11	<b>gasoline</b> 238:20	61:18 65:18	228:10 234:11	266:11 276:4
<b>fulfilling</b> 116:7	<b>gateway</b> 3:16	66:5,5,14,15	236:7,11,15	277:9
<b>full</b> 8:8 66:12	<b>gather</b> 106:22	72:20 73:20	242:6,9,16	<b>glad</b> 9:4
267:21	107:12	74:6,7,17 77:1	247:17 250:9	<b>glassware</b> 67:4
<b>function</b> 101:12	<b>gathered</b> 107:11	80:15 81:6,7,8	251:12,18	67:16 68:3,11
114:18 129:7	<b>gathering</b> 85:10	81:18 82:15	252:12 257:7	<b>go</b> 9:4 16:8 26:9
<b>functions</b> 40:5	106:1	83:4,17 88:8	258:8,17 259:2	26:16 54:15
81:9,18	<b>gc</b> 65:16 175:18	90:22 101:11	259:9 261:1	70:18 77:13
<b>fund</b> 153:15	176:3 181:13	101:18 106:22	262:6,8 263:22	82:9 103:10
253:14	237:8 238:11	107:12 114:17	266:13 269:1	109:18 129:14
<b>funded</b> 52:21	240:10,15	115:16 116:4	271:14 272:3,9	149:16 153:6
54:14,17	241:16	117:9,14	272:15	157:3 160:3
167:12 252:11	<b>general</b> 31:17	118:21 120:1,2	<b>geraniums</b>	171:3,3 182:3
252:13 253:2,9	37:20 38:13	120:5,7,9,12	20:14,18 21:7	188:10 194:15
254:9 256:11	57:18 67:12	122:9,11,22	21:8 22:7	201:13 223:9
256:15	69:15 103:14	123:7 128:1,17	27:22 30:13	234:21,22
<b>funding</b> 23:19	154:17 176:18	128:22 129:5	49:11 52:7	237:21 253:8
24:2 49:14	177:10,15,18	129:15 130:11	55:10 80:22	268:12 269:7
253:4,17,20,21	202:5 242:16	131:3 133:16	86:9 106:1	273:21
254:4,10	<b>generally</b> 36:1	134:4,6,9	116:17 128:16	<b>goal</b> 135:5
<b>further</b> 37:12	99:5 127:1,11	135:4 136:6	133:15 134:10	<b>goes</b> 13:10 26:2
76:20 95:9	172:7 186:3	138:10 139:5	135:9 137:19	26:13 42:15
97:4,11 129:10	<b>generate</b> 100:1	142:18 148:1	146:22 147:1,4	55:13 56:7
129:13 136:16	113:10,17	148:20 149:20	147:10,16	64:9,22 66:2
161:19 200:14	210:21	150:11 154:8	154:12,18	66:11 67:15
277:12	<b>generated</b>	161:21 164:1	199:5 200:2	127:21 134:13
<b>furthermore</b>	156:19	165:9,10,14	230:21 257:9	135:13 150:14

150:21 175:17 176:1 220:9,19 229:16 230:3 238:16 239:1 245:4 250:1 258:11 269:15 271:20 272:6 272:19 <b>going</b> 9:8,16 10:11 21:16,18 21:20 42:15 43:10 44:20 68:1,8,9 69:14 89:20 108:5 111:22 112:4 112:15 113:18 118:5 126:18 127:1,12 132:20 136:15 149:5 163:11 203:6 206:8 234:19,19 237:20,21 239:15 240:4 241:2,3 243:17 258:14 260:14 <b>good</b> 10:9 30:3,4 57:19 109:15 112:8 143:3 167:6 178:16 245:14 255:8 255:16 260:6 <b>google</b> 205:17 <b>government</b> 252:15 253:18 <b>governments</b> 91:9 <b>grab</b> 171:20 <b>grad</b> 92:9 <b>grade</b> 151:3 <b>graduate</b> 38:14 38:16 39:11 53:1 142:5 253:11 254:13 <b>gram</b> 150:9	159:22 212:20 213:1,11 214:11,12 215:1,10,22 218:14,16 225:19 226:3,7 <b>graph</b> 158:14 <b>graphical</b> 32:12 <b>graphs</b> 156:16 <b>graveolens</b> 55:5 87:10 120:2 206:3 <b>great</b> 199:1 219:9 <b>greater</b> 113:20 <b>green</b> 2:5 3:15 <b>grigliak</b> 3:21 <b>grin</b> 68:18 <b>ground</b> 8:15 <b>group</b> 26:1,7,10 142:4 249:14 251:1 <b>grow</b> 89:17 133:15 147:11 197:12 269:2 <b>growing</b> 87:13 87:17 89:6,7 89:12 147:18 149:10 177:1,1 197:5 236:13 236:13 258:19 258:19 259:1,1 <b>grown</b> 88:9 89:8 89:9,10 133:16 197:18 229:18 <b>growth</b> 150:17 <b>guess</b> 18:15 20:19 29:19 37:10 44:2 74:12,19 115:1 129:10 140:13 184:20 185:6 211:17 222:3 225:19,21 243:17 253:3	264:8 <b>guiyang</b> 88:6 94:4,17 209:2 209:11,12 213:4 214:6 224:19 <b>guizhou</b> 215:6,7 <b>guy</b> 84:6 223:22 <hr/> <b>H</b> <hr/> <b>h</b> 4:5 5:1 6:1 7:1 <b>hadnt</b> 189:6 <b>half</b> 43:16 59:21 260:10,13,15 260:16 <b>halfway</b> 133:11 252:9 <b>haloacetic</b> 14:2 14:5 19:9,19 20:2,9 30:21 31:12 34:7,15 167:11 <b>hand</b> 90:16 132:6 164:5 <b>handed</b> 51:19 231:15 <b>handle</b> 261:2 <b>handled</b> 72:14 139:4 232:4 <b>handling</b> 29:21 <b>happen</b> 27:6 43:10 <b>happened</b> 85:16 227:2 <b>happening</b> 134:5 <b>happy</b> 268:12 <b>hard</b> 9:20 17:10 109:6 136:14 144:22 164:8 262:10 <b>harder</b> 253:18 253:19 <b>harlow</b> 3:5 68:21	<b>harvest</b> 88:7 216:3,9 <b>harvested</b> 225:20 <b>hash</b> 134:4 <b>hasnt</b> 25:9 <b>havent</b> 12:18 37:12 100:12 195:6 200:18 <b>hazy</b> 205:20 <b>hci</b> 1:9 <b>head</b> 9:12 44:6 57:7 178:4 217:22 231:4 <b>headed</b> 5:18 141:5 156:17 159:5 168:13 190:16 192:19 <b>heading</b> 107:22 148:18 164:7 204:15 242:4 271:12 <b>headings</b> 105:10 <b>heads</b> 135:17 140:16 <b>health</b> 53:15 57:1 116:8 <b>hear</b> 184:4 <b>heard</b> 59:3,13 62:14 65:21 68:15 84:11,13 195:6 <b>heather</b> 6:9 52:14 90:22 91:4 92:8 170:11 <b>held</b> 2:1 <b>help</b> 13:13 14:17 65:6 102:10 149:10 234:12 <b>helped</b> 91:4 92:16 93:4 <b>helping</b> 92:11 <b>herbs</b> 88:8 <b>herby</b> 7:8	<b>hereto</b> 277:14 <b>hes</b> 36:9 46:10 64:4 84:6 100:5 119:8 182:17 <b>hexane</b> 259:21 260:4 <b>hexavalent</b> 34:15 <b>high</b> 4:17 6:6 37:4 104:10 109:16 110:18 112:15 150:16 165:19 170:9 213:22 251:21 252:4,4 <b>higher</b> 31:19 69:18 103:10 104:9 111:20 113:20 158:3 162:1 204:4 <b>highlight</b> 235:12 <b>highlighted</b> 233:15 235:8 235:10 <b>highlighting</b> 209:7 <b>highly</b> 255:10 <b>hired</b> 80:13 217:8 <b>hiring</b> 48:19 <b>hitech</b> 1:13 173:22 174:3,4 174:5 275:4 <b>hold</b> 47:14 184:5 191:17 203:21 215:20 <b>home</b> 120:1,5 <b>honest</b> 23:21 <b>honestly</b> 37:11 44:10,17 47:22 140:18 143:16 163:11 <b>hoping</b> 58:8 <b>hourly</b> 54:21
---	---	---	--	--

<b>hours</b> 268:10	170:2,5 176:4	91:18 92:13	161:18 167:7	72:19 220:14
<b>houston</b> 31:19	180:3,6,8	114:22 118:4,5	217:1 242:15	<b>insights</b> 250:10
<b>hp</b> 238:12	185:8 190:2,5	118:7,10,15	<b>included</b> 94:7	255:1
<b>hplc</b> 113:7	190:7,15	125:10 126:18	<b>including</b> 98:10	<b>insofar</b> 44:21
241:18	192:11,18,20	127:10,10	117:16 175:19	<b>institution</b> 71:9
<b>hplcms</b> 5:6	227:4,7,9	133:1 141:21	184:19	<b>instruction</b>
90:22	231:13,16,18	143:3 146:4	<b>increase</b> 112:4	85:21
<b>ht</b> 180:8	232:13 240:15	151:21 153:8	<b>increased</b> 158:7	<b>instructions</b>
<b>ht06140</b> 192:19	246:14,17,19	155:15 160:20	<b>increasing</b> 32:5	138:20
<b>human</b> 151:1	<b>identified</b> 102:5	174:6 175:4	<b>independent</b>	<b>instrument</b>
152:4	104:2 130:10	177:21 178:8	15:9,16 61:18	30:21 109:8,22
<b>humans</b> 53:16	145:17	180:19 184:4,5	72:21,22	111:18 144:22
<b>hybrid</b> 255:8,18	<b>identifier</b> 240:14	184:16 186:20	133:12 245:13	157:14
<b>hydrochloric</b>	<b>identifies</b> 185:15	189:11 191:12	<b>indicate</b> 106:14	<b>instrumental</b>
259:22	<b>identify</b> 24:7	191:18 192:15	233:17	38:3,10,15
<b>hydrogen</b>	74:8 131:1,2	194:8 195:8	<b>indicated</b> 65:3	<b>instrumentation</b>
239:13	150:15 153:20	196:7 198:18	70:18 224:3	25:8,11 38:6
<b>hydrogens</b>	156:18 172:3	198:18 201:4	<b>indicates</b> 116:6	145:7 246:8
239:14	175:19 176:13	202:6,8,9	127:22	<b>instruments</b>
	177:11,19	203:18 204:10	<b>indicating</b>	26:16
<b>I</b>	179:16 180:15	207:1 214:11	147:14	<b>intake</b> 224:5
<b>idea</b> 67:13,13	185:10 231:20	214:22 216:20	<b>indication</b> 210:1	<b>intensity</b> 206:1
72:2 117:5	259:8	222:3 223:4	<b>indirectly</b> 29:16	<b>interaction</b>
162:22 201:7	<b>identifying</b>	230:3 253:5	<b>individual</b> 47:20	186:10
202:5 233:11	203:14	261:13 263:6	88:15 210:12	<b>intercept</b> 211:1
<b>ideally</b> 113:17	<b>ignores</b> 252:12	268:7,8,12	276:10,11	<b>interest</b> 65:4
<b>identical</b> 122:11	<b>ilk</b> 155:21	271:9	<b>influenced</b>	128:2 140:9
124:4,15	<b>ill</b> 23:21 27:20	<b>image</b> 181:8,17	253:3	<b>interested</b> 66:20
220:15	<b>im</b> 8:14 9:2,8,14	<b>impact</b> 57:12	<b>information</b>	70:12 277:15
<b>identification</b>	9:16 10:11	228:9 230:18	4:10 9:2,3	<b>interference</b>
6:19 10:16	11:17 15:21	234:1 262:8	12:16 13:8	22:1,3 241:11
12:2 40:16,21	19:15 21:16,18	<b>impacted</b> 216:9	55:20 86:2,7	<b>interferences</b>
41:2,9 51:17	21:20 22:18	261:3 262:5	86:10,17 87:2	31:9 32:15
51:20 60:16	23:10 26:3	<b>implemented</b>	87:6,12 88:20	239:4 240:18
63:8,10 85:9	27:18 36:2	188:19	89:3,12,15	241:7
90:3,18 103:20	37:11 41:6	<b>importance</b>	94:7 105:1	<b>interlab</b> 139:12
104:17 120:17	43:6,7,16	245:6	107:22 108:8	<b>interlaboratory</b>
120:20,22	44:20 47:3,14	<b>important</b> 56:9	179:7 183:16	133:12 135:15
131:15 132:7	47:15,22 48:7	128:2 149:22	187:10 206:12	135:22 136:2,5
132:10 140:21	48:19 51:2	155:13 203:1	217:19 273:3	245:6 247:16
141:2,4 148:11	54:15 61:2	220:12	<b>initial</b> 61:22	247:22 248:1
148:14,16	62:9 63:3	<b>importantly</b>	72:8,16 93:21	248:10,12,20
156:11,14	64:18 73:15	208:21 209:5	176:4	249:3
158:22 159:3	74:12 78:1	<b>include</b> 87:17	<b>injector</b> 182:13	<b>intern</b> 3:21
168:6,9,11,11	79:9 80:9	105:1 117:3	<b>insight</b> 61:16	<b>internal</b> 107:19

<b>international</b> 255:10	<b>ionization</b> 94:20 182:18 238:11	256:7	103:9 106:5,6	<b>known</b> 55:14
<b>internet</b> 60:11	238:17 239:11	<b>journals</b> 255:6,7	107:5,8,8,16	77:11 209:18
<b>intertek</b> 5:9 6:16	239:20 240:1	<b>jr</b> 4:13 8:10 13:1	114:12 127:18	210:15 269:2
51:15 74:5,18	240:12,18	41:13,20	132:1,4,14,16	<b>knows</b> 270:9
75:7 81:2	241:8,20 252:2	<b>judgment</b>	135:2 136:11	<b>kompatnick</b>
82:16 83:3	<b>ionized</b> 260:16	244:22 245:1	140:3 141:20	11:3
95:20 105:19	<b>ions</b> 203:14,14	<b>jury</b> 132:12	146:3,7 147:3	<b>kunming</b> 88:6
105:21 107:7	203:18,19	<b>june</b> 225:22	147:18 155:3	94:4,17 209:2
122:16 174:12	206:21 207:4	<b>justice</b> 3:6	156:4 164:3,21	209:11,12
174:13,18	207:22 208:10	<b>juxtapose</b>	165:18 167:5	210:6 212:2
190:12,17,19	208:11	166:22	168:4 169:14	213:4 214:5,6
190:22 191:7	<b>isnt</b> 47:9 235:14	<hr/> <b>K</b> <hr/>	170:14 171:15	218:12 224:18
193:5,9 195:12	<b>issue</b> 100:16	<b>khan</b> 11:3 95:21	171:19 179:14	<hr/> <b>L</b> <hr/>
196:14 197:18	<b>issued</b> 80:4,8	96:3 97:16	184:1 185:11	<b>l</b> 52:14 90:22
197:21 198:10	<b>issues</b> 17:7	100:20 220:19	185:14 188:1	108:1,7 170:11
198:10 199:2	<b>ive</b> 17:16 19:4	221:11 236:1,1	193:6 194:4,8	<b>lab</b> 15:9 16:9,22
200:19 201:7	21:1,21 22:21	236:2 258:15	197:15,19	17:15,18 18:2
212:6 229:11	24:2 35:11	<b>khans</b> 96:16	200:18,21	18:11,18 19:4
229:18,19,22	43:11 48:1	100:17 223:19	202:7 204:2	19:12 20:6
245:20	49:13 67:10	230:19 231:1	205:11 208:16	21:7,12 22:7
<b>interteks</b> 192:1	92:6 117:3	<b>kill</b> 167:21	210:16 213:19	22:20 23:4
192:1 196:16	135:4 159:12	<b>killed</b> 161:20	214:1 215:17	26:12,13 69:9
<b>introduction</b>	174:6 182:20	<b>kind</b> 69:15 70:5	216:2,4 217:12	69:13,14,17,18
54:6 55:1	200:5,7 226:15	103:3,22 109:6	219:17 222:6	69:22 70:5,17
<b>investigate</b>	253:10,11,11	146:4,14 164:8	223:7 229:10	71:1,8 72:8
59:16 60:3	<hr/> <b>J</b> <hr/>	210:10 239:22	229:18 230:5	74:5 104:11,15
164:11,22	<b>j</b> 5:10 63:20	266:2 269:15	232:18 235:7,7	104:20 109:21
<b>investigating</b>	192:22	<b>knew</b> 73:5 95:11	235:19 245:2	122:18 156:19
204:16	<b>jain</b> 258:14,14	<b>know</b> 10:6 12:15	246:9 249:12	159:12 160:8
<b>involved</b> 16:22	<b>james</b> 3:5	24:3 31:13	251:3 253:15	160:14 168:17
17:1 27:13,21	<b>jared</b> 1:14	34:12 35:3,5	259:19 260:11	196:16 259:4
28:1,11 52:1	<b>jersey</b> 3:17	37:10 41:16	262:11,14	<b>labeled</b> 55:16
133:19 134:15	<b>jim</b> 138:16	43:3,10 44:4	264:15 265:2	169:21
144:16 163:3	<b>jin</b> 83:7,16,16,22	47:7 48:18	267:9 269:20	<b>laboratories</b>
195:1	84:8,16 85:3,8	51:2 58:17,18	270:2,12,16,21	51:15 134:2
<b>involvement</b>	85:20 86:4,10	59:1 61:4	271:1 272:17	135:5,6,9
85:8 170:21	86:18 87:4	63:12 65:5	<b>knowing</b> 46:16	213:22 248:8,8
<b>ion</b> 25:13 176:22	199:9	66:21 67:21	68:5 182:9	<b>laboratory</b> 26:5
203:12 206:6,7	<b>job</b> 1:21 15:17	68:22 71:13	<b>knowledge</b> 78:4	53:2 61:19
206:19,19,22	16:8 254:7	72:2,4 74:21	85:5 87:5	72:21 73:1,2
207:18 236:12	<b>joined</b> 18:3	78:5,22 79:2	97:11 98:14	122:10 133:18
237:9 258:18	<b>journal</b> 121:22	80:3 85:2,16	122:7 127:7	134:14,16
261:22 262:4,7	145:4 255:11	86:14 90:11,14	166:18 200:22	248:9
262:7		91:8 98:7	265:1 266:12	<b>labs</b> 6:16 23:20
			269:5,17	



40:11 49:12,14	231:7 237:10	245:15 250:18	215:14 241:19	221:13,19
49:16 50:5,10	245:13,18	271:21 272:2	242:1,22 244:3	222:11,20
50:11,14,20	247:1 248:21	<b>levels</b> 102:12	244:21,21	<b>lod</b> 218:8
52:6 54:10,12	249:1 250:6	104:3 160:11	251:18,20	<b>long</b> 99:19
55:11 57:11,13	252:11 253:2	162:1,11	260:5	131:11 182:20
57:19 58:6,7	255:20 256:13	165:13 166:3,5	<b>line</b> 41:20	191:18 260:2
58:14,17,21,22	259:2 265:2	166:12 167:2	104:12 112:10	268:2,3
59:3,15 60:3	<b>lack</b> 21:2 56:3	167:19 204:2	112:16,17	<b>longer</b> 26:18
61:17 62:1,14	157:20	212:18 213:13	113:3,10,16,17	69:7 167:12
71:7,14,18,21	<b>landscape</b>	213:20,21	113:18 130:2	<b>longest</b> 26:19
72:4,7,19	253:16	227:15 244:7	140:8 229:9	<b>look</b> 12:20 54:3
73:19 74:2	<b>landscaper</b> 37:3	<b>li</b> 6:18,18 95:20	275:5	60:19 63:6,11
75:7 76:9,22	37:7	184:9 188:16	<b>linear</b> 113:1,4	63:11,18 69:2
79:18 80:11,12	<b>language</b> 164:16	189:16 192:22	<b>lines</b> 64:14	78:6 83:11
80:21,22 81:16	<b>late</b> 142:14	193:1,4 200:11	93:20	94:15 98:14
82:6 84:9,18	<b>law</b> 47:7	204:3,11 207:1	<b>liquid</b> 4:18 6:7	112:16 132:13
84:20,22 85:2	<b>lawyer</b> 44:11	207:13 213:10	6:21 170:9	133:9 134:6,21
86:5,11 87:4	47:22 48:20	214:4,18 215:7	192:21	157:15 160:3
87:14 98:9	173:12	217:3,15 220:4	<b>lis</b> 217:9 246:10	160:10,16,18
104:21 105:18	<b>lawyers</b> 174:4,6	229:22 242:7	<b>lisi</b> 242:9	168:15 169:17
105:20 106:6	<b>lc</b> 65:16 66:6	243:4 244:9	<b>listed</b> 52:14	169:18,20
106:15,21	238:12	247:14,17	148:21 188:8	178:5 183:2
107:8 115:17	<b>lcms</b> 7:8	257:18 258:6	<b>liter</b> 108:4,4,5	190:9 204:11
116:21 117:7	<b>leading</b> 59:12	271:4,7,8,15	109:16 111:17	205:3,17,21
117:10,14,19	<b>learn</b> 69:6 71:17	<b>library</b> 179:16	111:21 157:13	207:6,8 209:15
119:1,13,18	<b>learned</b> 30:6	180:15 181:18	<b>literally</b> 95:7	219:10 221:8
122:10 128:14	<b>lefthand</b> 219:15	181:20 182:10	229:21	224:13 225:17
129:11,14	<b>lesco</b> 158:17	<b>life</b> 254:13	<b>literature</b> 55:4	228:15 229:6
132:11,15,21	159:5,7,19	<b>lilly</b> 80:3	79:13,17 95:11	240:10 242:21
133:6 139:2,5	168:19	<b>limit</b> 74:22 75:1	175:13 176:11	245:12 246:21
139:14,21	<b>leslie</b> 1:22 2:14	75:3 76:12,14	236:10 258:12	247:7 262:17
144:1 146:9	277:3,17	76:19 94:4	262:4	263:5 271:8
149:1,13	<b>letter</b> 5:7 121:1	99:4,8,9 108:9	<b>litho</b> 25:14	<b>looked</b> 12:19
151:14 153:3	121:6,9,13	108:12,14,22	<b>little</b> 8:16 83:9	57:7 60:10
153:11,17	122:5 252:22	109:5,7,10,14	102:9 131:11	146:1 160:14
155:12 165:15	<b>level</b> 38:14,15,16	110:5,17 112:1	154:15 167:16	171:2 177:14
166:6 167:13	39:11 97:4	112:8,12,14,19	186:9 260:14	186:22 189:12
167:20 172:15	102:6 103:6	157:17,19,22	<b>live</b> 165:10	189:16 200:5
188:20 190:17	108:14 144:15	209:11 214:16	<b>locally</b> 82:13,15	202:17 217:11
190:20 191:2,4	148:7 160:7	214:18 218:1	<b>locate</b> 81:1	217:12 235:5
191:16 194:5	162:16 166:17	243:20	<b>location</b> 88:12	235:16 254:5
198:1,13 199:4	167:21 184:12	<b>limited</b> 34:7	88:12 221:5,6	260:22
216:22 217:8	184:14 185:6	118:2 235:20	222:1,2 224:4	<b>looking</b> 27:21
217:18 218:5	185:15 210:16	<b>limits</b> 97:6 99:7	224:7	28:11 43:21
228:17,21	210:18,19,20	183:11 196:12	<b>locations</b> 220:21	58:10 67:3

83:10 103:18	253:8	182:10	101:15,17	240:20,22
126:2 127:1	<b>majority</b> 18:4	<b>matches</b> 97:20	102:22 107:16	245:12 255:2
143:21,22	<b>making</b> 47:4,4	<b>material</b> 57:11	108:1 110:2,2	266:16
146:19 152:17	195:8 222:21	57:21 95:3	110:6,19,20	<b>meant</b> 20:16
154:6 165:3	254:5	126:15 137:22	123:12 125:18	164:21 200:21
184:5 189:16	<b>mamml</b> 26:3,4	139:5 269:10	126:21 133:22	201:8
207:8 237:14	26:22 27:8	<b>materials</b> 39:5,8	134:15,17	<b>measure</b> 102:6
240:9 265:19	93:21	59:4 67:5,17	135:21 136:1	113:16 124:7
<b>looks</b> 12:18	<b>manuscript</b>	67:22 68:11	136:10,10	150:10 209:22
97:10 133:1	123:1,4 176:2	69:8 117:3	139:17,17	210:1 271:5
141:16 168:16	<b>maps</b> 205:17	171:8 253:12	140:3 141:10	<b>measured</b>
193:12 218:15	<b>march</b> 224:17	<b>materiel</b> 252:14	141:21 142:2	110:13 111:17
226:13 228:18	226:6	<b>matrices</b> 33:17	153:4,5 159:11	124:1 125:12
232:2,19 271:7	<b>marked</b> 10:12	34:3,19	163:11 165:4	125:14 126:9
271:9	10:15 12:1,5	<b>matrix</b> 31:9,12	171:1 176:8	126:12,13
<b>loose</b> 17:13	40:20 41:2,9	32:11 65:18	181:12 182:6	127:7 157:13
<b>loosely</b> 33:15	51:16,20 60:15	93:22 94:11	189:21 191:10	196:5 228:5
<b>lorenzo</b> 272:1	63:7 90:2,18	95:1,2,4,7	191:11 200:5	260:11 266:13
<b>losing</b> 226:15	120:16,20	144:21 259:18	201:2,6,12	269:18 270:17
<b>loss</b> 226:20	131:14 132:7	259:19	202:3,5 203:19	271:7 273:12
<b>lot</b> 69:14 239:18	140:20 141:2	<b>matter</b> 18:11	209:5,21 216:3	<b>measurement</b>
<b>low</b> 31:18 94:18	148:10,14	39:4 114:16	216:14,14	207:17 208:11
97:4 165:20	156:10,14	152:11 154:17	217:6,11	269:8
249:22 251:18	158:21 159:3	174:3 175:9	221:17,20,22	<b>measures</b>
<b>lower</b> 104:10	163:17 168:5,9	<b>mdl</b> 75:18 108:7	222:7,14,15	207:14
111:22 112:22	170:1,5 180:2	108:20 112:5	223:4 230:8,10	<b>measuring</b>
204:4	180:6 190:1,5	112:11,18	233:3,7,19,20	103:11
<b>lowered</b> 94:22	192:10,14,17	<b>mdls</b> 211:16	235:12,12	<b>mechanism</b>
<b>lowest</b> 75:4	227:3,7 231:12	<b>mean</b> 11:9 12:18	239:7,10	131:2,3
108:16	231:16 232:12	13:22 17:21	240:19 243:16	<b>medical</b> 252:14
<b>lunch</b> 131:10,13	246:13,17	19:8 21:1,9	244:19 245:11	<b>medium</b> 27:10
131:18	<b>marketed</b> 57:4	33:13 34:5	246:6 247:21	27:16,22 28:5
	<b>marketing</b> 56:2	35:2,19,20	248:1,8 251:14	28:12 40:13,17
	<b>mass</b> 4:19 6:8,22	37:12 43:1,9	259:15 261:22	<b>memory</b> 143:16
	25:12 38:19	44:2 51:10	263:4,18 265:1	<b>memphis</b> 4:9
	94:21 113:6	53:19 54:17	269:14	11:15 12:8,16
	158:3,7 170:10	68:10 70:3	<b>meaning</b> 30:22	13:2,13 16:21
	175:18 181:8	72:22 73:8	<b>means</b> 9:1 31:3	17:5 18:4,22
	181:16 192:22	74:1 75:2	35:4,5 43:7	27:17 28:7
	206:17,21	76:13 77:2,3	87:7 112:11	31:16,17 37:19
	238:13 240:6	79:16,17,22	123:13 130:13	38:9 52:22
	<b>masstocharge</b>	82:9 85:13	134:1 201:4	64:4,17,18
	206:20 207:14	86:14 89:7	221:20,21	82:13,15 83:18
	240:11	92:13 94:10	238:18 239:8	84:7 88:14
	<b>match</b> 181:22	95:15 96:11	239:10,11,12	91:2,12,16,22

107:6,7 141:19 142:9 149:5 168:12 170:19 229:12 254:8 <b>mention</b> 125:10 143:17 <b>mentioned</b> 134:17 160:15 189:22 196:8 216:4 <b>merely</b> 239:2 <b>merit</b> 243:19 244:22 <b>metal</b> 176:22 236:12 258:18 261:22 262:4,7 <b>methane</b> 238:19 <b>method</b> 23:9 25:3 32:18 51:12,14 66:3 66:7,12 68:6 69:6 74:5,21 75:1,2 76:4 99:6,8 108:9 108:11,13,22 109:5,7,9,14 110:4,5,16 112:1,7,12,19 144:20 148:9 155:8 157:17 157:19,21 177:2 189:2,5 190:11 204:16 204:20 214:15 214:17 215:14 236:14 244:20 246:10 247:18 251:17 259:8 <b>methods</b> 6:15,17 30:1,7 39:13 97:5 134:18 176:13 190:17 190:19 236:17 252:2 <b>mha</b> 55:14	<b>micrograms</b> 108:4,4,5 109:16 111:17 111:20 157:13 214:11 <b>mil</b> 99:2 <b>million</b> 103:5,8 103:9,11 <b>mind</b> 45:12 218:17 <b>miniaturization</b> 53:2 <b>minimize</b> 31:22 241:7 <b>minimizes</b> 32:14 <b>minimizing</b> 32:11 <b>minimum</b> 76:11 76:14 108:14 <b>minister</b> 252:16 <b>minus</b> 88:18 213:11 214:18 <b>minute</b> 54:7 60:19 227:20 <b>minutes</b> 273:18 <b>mislead</b> 118:14 <b>misleading</b> 21:20 <b>missed</b> 43:16 <b>mission</b> 135:2 <b>mississippi</b> 64:16 133:17 <b>misstated</b> 184:20 <b>misstates</b> 46:4 107:3 145:20 <b>mistakes</b> 178:18 <b>mix</b> 151:2 <b>mmhmm</b> 65:9 208:3 224:20 238:15 252:19 <b>mobile</b> 26:4,12 26:13,15 53:1 <b>model</b> 255:18 <b>modeling</b> 26:5	<b>molecule</b> 186:5 186:11 <b>moment</b> 93:15 158:15 184:5 224:21 231:6 273:3 <b>monday</b> 1:19 <b>money</b> 68:10 <b>monitoring</b> 26:5 26:17,19 53:2 97:21 206:16 <b>moshers</b> 251:5 251:10 <b>moved</b> 167:8,13 <b>moving</b> 201:6 <b>ms1</b> 207:13 <b>multicenter</b> 97:2 249:15 <b>multilab</b> 97:16 <b>multipage</b> 41:10 51:21 63:9 90:19 132:9 148:15 156:15 170:6 180:7 190:7 192:18 231:17 246:18 <b>multiple</b> 32:4,5 88:9 97:21 104:20 134:2,6 176:12,20 206:16 <b>mz</b> 206:6 <hr/> <b>N</b> <hr/> <b>n</b> 3:1 4:1,1 5:1,1 6:1,1 7:1,1 8:1 <b>nail</b> 156:5 <b>name</b> 8:9 56:22 68:13 83:7 86:16 275:4 276:20 <b>named</b> 68:15 169:10 223:22 <b>names</b> 168:19 <b>nanograms</b> 99:2	150:9 159:22 212:20,22 213:11 214:11 215:1,8,9,10 215:21 218:14 218:16 225:18 226:3,7 <b>nanometers</b> 214:21 215:1 215:19 <b>natural</b> 56:8 101:12,19 114:18 116:6 128:1 129:6,15 130:1 268:5,20 <b>naturally</b> 57:5 78:2,6,11,19 79:4,4 80:14 81:8,17 115:6 115:16,21 116:18 117:9 117:15 118:21 120:7 128:17 128:21 130:12 152:20 167:1 167:18 191:8 191:13,20 193:11,18 195:16 198:12 200:2 203:2 220:3 228:4 263:1,15,22 264:11,17 267:19 <b>nda</b> 66:13 67:2 <b>near</b> 243:1 244:4 <b>nearly</b> 240:2 <b>necessarily</b> 222:4 235:14 241:3 252:3 <b>necessary</b> 17:22 18:2 276:6 <b>need</b> 10:5 21:15 22:1 65:3,16	66:21 67:2 69:18 90:13 114:12 132:3 171:18 219:7 229:10,18 230:5 243:18 <b>needed</b> 67:5,17 68:10 200:14 <b>needing</b> 201:3 <b>needs</b> 69:16 167:10 201:13 <b>negative</b> 211:1 <b>neither</b> 154:22 183:17 277:9 <b>nervous</b> 146:4 <b>neutral</b> 260:16 260:19 <b>neutralize</b> 260:7 <b>never</b> 43:11 62:14 144:17 146:15 148:6,8 <b>new</b> 3:17 13:12 29:20 69:6 96:12 <b>newark</b> 3:17 <b>news</b> 56:3 <b>newsroom</b> 56:5 <b>nexus</b> 13:11 <b>ng</b> 4:19 150:2,7 155:15 <b>nice</b> 240:6 <b>nicely</b> 229:10 <b>nine</b> 34:7 260:10 260:13 <b>nitrogen</b> 150:16 <b>nmr</b> 7:11 50:1,5 251:11,17,21 251:22,22 <b>nods</b> 9:12 45:8 61:12 63:15 162:18 <b>noise</b> 75:5 108:17 <b>nonchiral</b> 23:12 123:20
--	--	---	---	--

<b>nope</b> 237:21	<b>O</b>	140:10 143:10	264:17 267:19	125:10,16
<b>normal</b> 110:7 254:18	<b>o</b> 4:1 5:1 6:1 7:1 8:1	145:11,19	<b>occurs</b> 115:16 220:3	128:8,8,9
<b>normally</b> 125:18 127:13	<b>oath</b> 2:16 8:19 90:8 114:9	147:5,12 148:2	<b>october</b> 42:1	132:5,19 133:4
<b>northern</b> 1:2	131:20 171:13	151:7,15	<b>oddly</b> 74:13	138:8 140:19
<b>nos</b> 190:1	219:2	152:15,22	126:19 203:19	145:8 149:12
<b>notary</b> 2:15 276:17 277:1 277:18	<b>object</b> 21:16,16 40:6	154:1,9,19	<b>offer</b> 43:14,19 115:20	155:16 158:14
<b>note</b> 10:11,17 91:6 252:10 274:4	<b>objection</b> 14:11 14:21 17:8	155:22 161:3	<b>offered</b> 191:11	159:9 160:16
<b>notebooks</b> 160:8 160:14	18:13 24:9,20	161:15 163:1,7	<b>offering</b> 42:9 114:16,22	163:22 164:6
<b>noted</b> 47:1 253:1 274:9	27:2 29:12	167:3 168:1	236:5 251:8	171:22 173:9
<b>notice</b> 2:14 4:8 10:13 100:3,10 275:1	30:14 33:6,21	173:14 183:21	<b>office</b> 72:13	173:19 175:10
<b>november</b> 1:19 275:1,2 277:22	35:9,16 36:16	186:6,17 187:5	<b>officer</b> 277:3	176:14 181:19
<b>nuclear</b> 6:8 170:10	37:1,8 39:16	187:16 188:3	<b>offices</b> 2:2	183:4,8 188:14
<b>number</b> 17:11 17:13 55:21,22	39:22 42:20	193:21 194:16	<b>officiated</b> 2:16	192:5 195:6
88:10 108:22	45:15 46:2,20	195:1,2,7,18	<b>oh</b> 26:4 45:10	196:14 198:21
112:10 158:6,9	46:22 47:4	196:2,18 197:6	46:1,1,17	199:18 200:12
172:2 212:14	49:3,17 50:16	197:13 198:4	114:3 118:18	201:6 203:10
232:13 239:16	52:9 53:8	198:16 199:14	157:11 159:9	204:1,10,11,14
245:14	56:18 57:14,22	200:3 201:10	229:2 264:6,6	205:5 208:14
<b>numbers</b> 63:10 91:8,14 105:10	59:6,19 63:1	201:22 203:4	264:6,6	208:19 213:8
120:22 132:10	70:1 71:2 73:6	212:3 216:12	<b>oil</b> 6:13 65:18	216:18 218:6
141:4 148:16	75:11,19 76:1	217:4 222:8	66:5,14 175:20	219:12,22
157:3,15,16	77:6 78:13	223:2 224:9	176:5,21 177:3	227:21 228:15
168:11 180:8	79:7 80:16	228:11 230:16	177:11,16,19	232:14 236:5
190:7,15	81:11,19 82:21	237:16 243:10	180:10 182:22	236:20 237:2,6
192:18 206:7	86:12 87:21	246:4 248:5	236:15 242:16	238:7 240:17
227:9 231:18	89:18 96:21	249:4 257:19	258:17	242:2 245:17
246:19	97:18 98:11,20	259:13 261:5	<b>oils</b> 193:12,13	247:6 249:19
<b>nutraceutical</b> 65:2	99:12 100:5	262:12 264:19	196:7,8 204:17	256:17 258:3
<b>nutrient</b> 223:13	101:6,13,21	267:1 273:7,15	204:21 242:6,9	262:18 264:6,9
<b>nw</b> 2:6 3:8	102:19 104:4	<b>obtain</b> 77:1	<b>okay</b> 9:9 10:2,4	265:8 266:10
	106:9,16 107:2	<b>obtained</b> 77:22 115:11	16:4 19:18	270:8,21
	107:14 111:1	<b>obviously</b> 47:5 118:16 215:14	24:16 31:7	272:13,19
	114:20 118:5	<b>occasionally</b> 17:19,20	41:18 44:7	<b>older</b> 25:8
	120:13 124:18	<b>occur</b> 11:20 138:5	45:20 48:22	<b>once</b> 100:19 166:8 259:11
	125:3,20 126:6	<b>occurred</b> 94:19	54:5 61:8	<b>onpage</b> 120:21
	126:16 127:4	<b>occurring</b> 57:5 78:11 79:4	63:17 64:19	<b>ones</b> 30:18 136:20 137:5
	127:16 130:3	115:6,21 117:9	82:2 83:13	205:14 208:2
	130:14,19	120:7 128:22	85:7 86:20	<b>ongoing</b> 21:2 25:21 40:15
	131:5 136:7	134:3 263:1,15	89:22 90:11,12	<b>online</b> 33:1,3
	138:21 139:8	263:22 264:11	90:15 92:22	<b>open</b> 70:10 254:18,21
	139:15,22		93:18 104:14	255:2,7,14,18
			114:14 124:13	

256:2	150:2 155:14	206:1 208:12	247:15 250:9	128:22 129:6
<b>operate</b> 69:17	156:22 157:8	208:16 219:13	250:10 255:12	129:11 136:9
<b>operates</b> 255:17	158:11	219:20 232:12	270:18 271:8	138:19 139:2
<b>operating</b>	<b>outcome</b> 62:9	237:1,3,19,20	276:7	143:8 155:7
213:19	73:5 98:18	238:1,3 242:3	<b>papers</b> 147:17	165:3 170:18
<b>operations</b>	277:15	242:4 247:8	183:18 185:7	173:17 176:11
181:6	<b>outcomes</b> 93:22	249:16 252:7	<b>paragraph</b>	177:5 191:14
<b>opinion</b> 47:6	98:9 220:16	256:21,21	60:21 61:2,4,9	195:12 207:19
53:18 96:9,11	<b>outlier</b> 95:12,15	258:2,4 262:1	62:7 72:18	233:4 248:14
100:10 114:16	<b>outlined</b> 94:14	262:17 263:11	93:19 95:10	250:5 254:10
115:21 116:11	115:5 137:13	266:9 269:7	116:1 122:6	<b>participated</b>
116:13 117:8	<b>outside</b> 142:19	271:13 275:5	133:11 135:14	248:19
117:21 118:20	227:19	<b>pages</b> 90:20	149:8,19	<b>particular</b> 23:15
128:10,20	<b>oven</b> 182:13	170:7	175:11 177:8	25:21 33:10
129:2 184:8	<b>overlapped</b>	<b>paid</b> 50:10 71:21	183:3,7 188:13	92:12,21 98:18
191:11,19	125:17	71:22 72:5,9	208:15,20	108:15 115:10
192:2 198:11	<b>overseeing</b>	106:4,12,15,21	209:15 219:16	173:8 202:7
236:5 271:13	83:17	107:8,9,12,18	220:1 224:14	226:11 235:10
271:14 272:11		255:20 256:8	229:16 236:16	254:17
272:14	<b>P</b>	256:12,19	237:19 238:4,6	<b>particularly</b>
<b>opinions</b> 42:8,14	<b>p</b> 3:1,1 8:1	<b>pair</b> 269:20	243:9,13,15,16	8:17 248:3
42:18 43:3,13	247:10 274:16	270:2	244:2 247:13	256:14
43:19 101:4	<b>pack</b> 26:15	<b>pairs</b> 123:15,16	249:20 252:8,9	<b>parties</b> 10:20
184:7 251:8	<b>package</b> 52:22	250:14 265:22	258:4 262:2,17	277:11,14
271:13 274:5,6	<b>page</b> 4:2,7 5:3	<b>paper</b> 5:10,11	263:11 266:9	<b>partition</b> 260:4
<b>opposed</b> 70:17	6:3 7:3 12:7	80:1 87:15,16	269:6	<b>partitioning</b>
70:22 71:8	41:12,16 51:22	94:14 95:20,20	<b>paragraphs</b>	259:18,20
127:14 152:21	60:22 61:1,2	95:21 115:5	262:21 263:13	<b>parts</b> 14:6 31:18
174:4 193:19	61:10 63:19	117:11,13	<b>parameters</b>	31:20 102:11
222:21 229:21	69:2 72:18	119:20 120:4	183:11 243:19	103:18 104:8
264:17	83:11 90:21	121:17 123:22	<b>pardon</b> 249:10	133:15 150:7
<b>order</b> 84:16	93:17 105:7	124:1 158:20	<b>parens</b> 66:4	150:12 158:11
134:4 138:19	115:22 132:11	169:20 179:11	<b>parentheses</b>	218:14 244:5,6
<b>organic</b> 239:17	133:3,5,7,10	179:15,17,20	88:10,11	250:18
<b>organizations</b>	135:14 148:18	185:14 188:7	<b>part</b> 15:3,4,17	<b>partsperbillion</b>
220:6	149:8,18	189:3,6,10,17	16:8 24:12	213:21
<b>origin</b> 230:5	155:15 156:16	192:3,6,8	31:14 52:22	<b>pass</b> 66:12
<b>original</b> 178:21	159:4 164:4	195:12 205:19	66:7 79:18	<b>patent</b> 30:19
211:7 216:22	170:7 175:9	222:10,14	80:12 81:5,8	32:16,21 80:4
239:9 276:7	177:7 180:9	223:9,19,20	81:17 84:21	80:8
<b>originally</b> 88:9	183:5,6,7	224:3 229:9,15	91:8,11 97:1	<b>patented</b> 32:18
<b>origins</b> 230:12	184:7 188:13	230:10,19	103:4,4,6,7,7,8	33:1
<b>osmocote</b> 143:17	190:16 192:19	231:1 234:8	103:9,11,12	<b>patents</b> 28:17,22
143:19,20	200:10,13	235:1,14,17	108:6 112:10	29:3,10 30:10
144:5,6,13	204:13 205:22	246:12 247:14	112:18 115:9	30:18

<b>pathway</b> 120:12 200:15 201:4,8 201:17,20 202:6,13 272:15	107:9,11 134:6 178:18 216:6 253:7 263:21	25:18 27:9,15 28:4,15 39:9 39:13,15 40:11 40:12,16,18 96:16 172:3 190:11 254:16	<b>picks</b> 258:16 <b>ping</b> 95:21 172:7 172:9,15,20 173:5,9 175:13 175:17 177:12 177:19 178:2,6 178:21 179:5,8 179:11,14,15 180:11,14 183:15,19 184:19,19,22 185:4,9,14 186:14,22 187:11 188:1,7 204:4,8,9 242:7 243:7 257:18	177:3 200:15 201:4 202:19 210:12,16 214:12,14 220:15 222:1,2 222:20 223:16 224:4,8 227:14 227:16 262:6 265:4,9,13,17 266:3,14,19 267:15 269:10 269:10 270:5 272:3 273:5,13 273:14
<b>pathways</b> 202:18 272:18	<b>peoples</b> 147:17	<b>perusing</b> 52:3 61:7 63:16 128:8 132:18 141:8 159:16 164:3 176:16 180:17 186:19 187:18 199:7 204:5 205:5 208:6,18 219:21 225:2 232:1 233:10 236:9 247:6 263:7 271:6	<b>place</b> 31:19 66:13 67:22 68:2 69:15,16	<b>planting</b> 149:20
<b>patricia</b> 52:17	<b>percent</b> 17:14 18:9,10,18 19:12 20:4,8 20:12 94:12,16 94:19 104:11 104:16 110:2,6 110:7,11,15,17 110:18 111:15 111:19 112:2 112:21 182:16 214:1 260:14	<b>ph</b> 1:17 2:1 4:2 4:14 8:3 13:3 35:19 41:13,20 52:15,16 53:3 64:3 170:19 260:8,12,18 274:17 275:3	<b>plaintiff</b> 1:6 3:3 8:6	<b>plants</b> 4:17 5:6 5:15 6:6 23:11 26:18 30:5,9 34:18 35:12 47:18 49:7,11 51:7,10,14 55:5 56:9,17 74:6,7 81:18 83:4 87:3,13 88:8,9,12,15 89:13,17 90:22 115:2,3,7 116:4 117:9 118:21 122:9 124:8 125:7,13 125:18 126:2,8 126:14 127:12 128:1,17 129:6 129:15,19 133:16 134:4,6 135:4 136:6,12 136:15 138:10 142:18 147:19 148:1,20 149:6 149:11,20 150:3,18 152:13,18 160:1 161:12 161:20,21 162:9,14,16
<b>paul</b> 1:17 2:1 4:2,10,13 5:8 8:3,10 12:8 13:1,1 41:13 41:20 47:20,21 132:12 148:21 228:20 247:9 274:17 275:3	<b>percentage</b> 15:8 17:6 18:8 20:7 110:8 143:4 183:12	<b>pharmaceutic...</b> 1:13 275:4	<b>plan</b> 42:18 43:9 44:2	
<b>pay</b> 54:12,20 69:7 105:22 106:7 253:10 253:10,11,12 254:19 255:3 255:14 256:2 256:16	<b>perfect</b> 113:18	<b>pharmacology</b> 39:15	<b>planned</b> 164:7	
<b>payment</b> 254:16 255:4	<b>perfectly</b> 46:14	<b>pharmakon</b> 77:20	<b>planning</b> 42:9 43:6,8	
<b>payments</b> 72:14	<b>performance</b> 4:17 6:6 170:9 215:16	<b>phase</b> 186:10	<b>plant</b> 27:9,16,21 28:5,12 31:15 35:5,8,15 36:3 36:8 39:21 40:5,13,17 66:6,15 77:11 80:15 81:7,8 95:3 101:11,19 102:13 114:18 115:16 117:15 120:1,2,9,12 122:12 123:7,8 125:10 126:11 126:15 127:1,8 128:22 129:20 131:3 152:7,8 162:17,19	
<b>pc</b> 2:5 3:15	<b>performed</b> 109:21 172:2 181:9	<b>philosophy</b> 109:4		
<b>peak</b> 97:12,17 240:4 241:2 266:1,1	<b>period</b> 157:5,6 167:8	<b>phonetic</b> 215:6		
<b>peaks</b> 123:19 126:22 127:3,7 127:15 265:18 265:20,21 269:18,19	<b>periodicals</b> 121:15 122:2 256:2	<b>phosphorous</b> 150:17		
<b>peer</b> 119:21 234:8,15	<b>person</b> 84:18,20	<b>phrased</b> 105:4		
<b>peerreviewed</b> 175:13	<b>personal</b> 47:12 48:16	<b>physical</b> 123:18		
<b>peers</b> 234:16	<b>personally</b> 22:21 23:4 27:14 259:4	<b>phytochemistry</b> 34:21 35:1,2,4 39:4,7		
<b>pelargonium</b> 55:5 87:9 206:3	<b>personnel</b> 84:22 246:7	<b>picked</b> 70:22 165:19		
<b>pen</b> 232:4	<b>pertain</b> 40:5			
<b>people</b> 16:10	<b>pertaining</b> 17:7 20:8,18 21:7 22:7 23:4 24:5			

164:1,11,22	129:3 146:12	182:18	155:4 159:14	204:6 213:18
165:4,10,10,14	164:13 180:19	<b>potentially</b>	159:19 160:4	220:5 253:1
166:4,11,19	217:14 247:4	127:22 150:3	169:12 224:15	<b>price</b> 67:1 69:18
167:1,18 170:8	252:10,20	150:22 152:3	243:3 244:9	70:4
172:4 176:19	259:16 260:1,6	154:8 181:1	245:1,7,16	<b>primarily</b> 74:16
176:21 177:11	268:6 269:7	<b>potted</b> 88:8	257:7,9 258:8	<b>prime</b> 252:16
184:9,15	<b>pointing</b> 253:5	<b>poured</b> 162:9,17	261:3 263:22	<b>prior</b> 11:8 59:3
188:16 191:8	<b>polysiloxane</b>	166:4,8	266:12 276:14	59:11 62:13
191:13,19	182:16	<b>pouring</b> 164:1	<b>present</b> 3:20	80:7 88:13
193:11,12,13	<b>poor</b> 165:2	167:20	34:8,11,12,12	109:2,10 133:7
193:18 195:17	<b>poorly</b> 97:22	<b>ppb</b> 243:1 244:4	94:1,2,11	149:19
196:6,10,15,21	98:4	<b>practice</b> 82:12	95:19 123:6	<b>privileged</b> 44:22
197:4,11,17,22	<b>port</b> 182:13	82:16	135:4 136:18	46:4
198:11 199:8,9	<b>portion</b> 54:6	<b>practices</b> 109:3	176:5 208:22	<b>probability</b>
203:3 204:16	55:1 120:7	<b>precise</b> 125:11	209:8,9 211:5	161:12 164:11
204:20 220:4	<b>portions</b> 260:3	<b>precision</b> 110:10	211:15 239:19	164:22
220:21 221:4	<b>position</b> 11:16	183:12	242:6 273:1	<b>probably</b> 12:21
221:12 222:11	58:18 69:21	<b>precursor</b> 206:6	<b>presentation</b>	44:12 60:5,10
222:19 223:1	96:2 116:15	<b>preliminarily</b>	93:12 163:14	91:4 92:7
228:3,5,10	117:14 128:10	145:17	163:15,16	125:22 138:17
230:12,15	128:15 193:11	<b>preliminary</b>	164:2,19	142:4,8,14
234:12 235:20	<b>positions</b> 217:19	94:13 97:8	<b>presented</b> 94:11	143:4 164:17
236:1,7,11	<b>positive</b> 144:17	144:1,16 145:9	96:14 99:14	165:2 170:15
242:7,9 245:7	<b>possibility</b>	145:13 146:14	124:11 151:13	170:22 173:19
251:12 258:17	103:19 129:3	148:7 153:19	155:7	189:21 215:20
259:9 261:1	<b>possible</b> 24:3	155:5,20 156:3	<b>presently</b> 16:15	225:19 229:5
262:9 264:3,12	76:15 128:21	<b>preparation</b>	<b>press</b> 56:5	234:8
265:7 266:13	136:1,4,10	29:21 54:20	<b>pressing</b> 167:10	<b>problem</b> 241:12
270:9,22	146:21 179:8	86:3,9 88:21	<b>presumably</b>	<b>problems</b> 53:16
271:11,15	182:3 222:3	170:21 177:2	157:11,12	145:7
272:9,16,21	233:22 274:14	236:14,18	169:1	<b>procedure</b> 46:15
<b>platform</b> 130:10	<b>possibly</b> 128:16	259:9	<b>presuming</b>	74:18 75:7
<b>plausible</b> 142:18	129:15 158:20	<b>prepare</b> 11:1	201:4	82:16 211:19
<b>please</b> 8:9 48:13	234:10 261:7	54:12,21 85:21	<b>pretty</b> 48:12	211:20
90:14 111:4	<b>postdoc</b> 35:20	92:11,16 232:3	68:7,11 92:13	<b>procedures</b>
119:5 171:15	<b>postdoctoral</b>	<b>prepared</b> 42:13	97:3 127:2	75:16
225:5 261:15	52:20	121:6,9 141:20	136:13 144:21	<b>proceeded</b> 93:21
<b>plot</b> 113:8,8	<b>posted</b> 12:20	142:7,13 174:9	203:19 230:19	<b>process</b> 81:2
210:22,22	<b>potassium</b>	175:8,8	254:18	117:4 187:2,4
<b>plural</b> 45:16	150:17	<b>preparing</b> 52:2	<b>prevail</b> 135:18	188:2 234:16
<b>plus</b> 213:11	<b>potential</b> 56:16	173:20	140:16	<b>processes</b>
214:18	57:12 65:13	<b>presence</b> 47:17	<b>previous</b> 149:9	101:20
<b>point</b> 32:8 45:7	105:2 110:21	55:4,9 56:8	<b>previously</b> 12:13	<b>produce</b> 116:17
71:18 90:14	111:7,9 121:16	74:8 120:9	83:5 134:17	118:21 167:1
92:17 128:19	147:22 154:18	122:21 146:8	141:7,9 157:18	167:19 191:9

191:20 193:11	<b>profiles</b> 221:5	218:7 245:19	40:12 52:2	168:9 170:5
193:18 197:22	<b>program</b> 252:15	<b>protocols</b> 85:9	54:9 62:10	180:6 190:6
198:12 227:14	254:10	188:20	80:1 87:15,16	227:7 231:16
228:3,10	<b>project</b> 23:18	<b>proven</b> 269:1	95:18 96:17,18	246:17
234:12 239:15	27:5,10,16,20	<b>provide</b> 61:16	102:4 115:5	<b>pursuant</b> 2:14
239:21 272:16	28:4 32:14	68:8,9 72:18	117:11,13	<b>pursue</b> 76:20
<b>produced</b> 11:4	69:20 84:9	109:11 150:17	119:22 120:5	<b>pursued</b> 24:2
14:3 78:2,8,20	167:9 241:17	176:3 215:2	148:8 156:7	<b>put</b> 42:14 43:5
79:5 80:14	<b>projects</b> 18:19	220:2,14 231:7	171:21 172:1	45:13 62:3
81:8,17 91:14	25:20 27:9,15	241:6 242:22	172:10 174:10	91:4 93:5
91:15 92:6	28:10 40:16	242:22 243:18	192:4 205:19	101:1 143:6
95:22 100:6	<b>prompted</b>	243:21 244:3	217:21 220:20	148:22 162:8
159:12 168:12	142:15	<b>provided</b> 52:7	221:11 222:14	162:15 165:12
191:13 195:17	<b>prone</b> 239:3	58:6 59:5 76:9	229:22 231:21	172:19 185:5
200:2 203:2	240:18	87:14 96:5	234:1 247:18	202:11 231:5
265:3,12	<b>pronounce</b>	117:4 173:6,16	250:8 251:1	234:14,22
266:22	86:16	179:8 180:12	254:15 255:9	235:17 239:22
<b>produces</b> 101:11	<b>propane</b> 238:19	182:11,15,21	255:21 256:1,3	264:7
101:20 114:18	239:13	182:22 190:11	256:5,7 257:5	<b>puts</b> 12:16
117:15	<b>proper</b> 47:9	190:19 191:5	257:17 258:7	<b>putting</b> 21:3
<b>producing</b>	<b>properties</b>	191:15 198:1	266:4 269:17	69:20
128:17 129:6	123:18	244:20 250:6	270:4	
<b>product</b> 56:9	<b>proportional</b>	269:2	<b>publisher</b>	<b>Q</b>
57:5 116:6	239:15	<b>provides</b> 111:11	254:17 255:2	<b>quad</b> 25:14
117:9 129:15	<b>proposal</b> 144:1	241:18	256:8,18	207:11
156:18 167:1	146:9,10	<b>providing</b> 122:8	<b>publishers</b>	<b>quadrupoles</b>
207:15	148:22 149:12	<b>public</b> 2:15	254:21	207:11
<b>production</b> 91:9	153:5,6,10,14	255:5 276:17	<b>pull</b> 179:14	<b>qualify</b> 170:18
91:9,12,17	153:17,22	277:1,18	<b>purchase</b> 68:10	<b>qualifying</b> 206:2
92:1 206:7	155:8,11	<b>publication</b>	<b>purchased</b> 150:1	207:18
236:7 262:8	158:11 252:5	70:11 121:16	155:13	<b>qualitative</b>
<b>products</b> 56:3	<b>proposals</b>	146:16 175:12	<b>purport</b> 205:7	162:8 185:7
140:9 168:19	256:15	189:22 217:15	<b>purported</b> 185:3	<b>qualitatively</b>
207:15 264:16	<b>proposing</b>	234:13 254:20	267:18	182:1
264:18	152:12	255:15 256:12	<b>purpose</b> 77:16	<b>quantification</b>
<b>professor</b> 4:11	<b>prospectus</b>	256:15,16	172:21 176:15	6:19 103:20
5:8 11:17 12:9	170:15	<b>publications</b>	176:17 177:15	104:17 192:20
13:2,4 15:5	<b>protection</b> 3:7	183:10	185:1 217:10	207:17
64:12 95:21	108:10	<b>publish</b> 73:5	250:3	<b>quantify</b> 122:11
121:2,12	<b>protocol</b> 24:6	96:20 144:18	<b>purposes</b> 41:2,9	<b>quantitation</b>
199:10 249:14	31:2 81:3	155:6 157:21	51:20 63:8	66:4 99:9
254:14	94:14 104:7	161:8 192:6	90:18 91:20	<b>quantitative</b>
<b>profile</b> 126:22	108:11 142:16	254:12,17	120:20 132:7	181:6 185:7
127:2,13 221:7	143:2,6 153:21	256:19	141:3 148:14	<b>quantitatively</b>
259:10	188:16 211:4	<b>published</b> 40:11	156:14 159:3	182:1



<b>quantities</b> 1:8	10:7 22:2,4	<b>rates</b> 105:2	218:18 257:10	224:11 230:22
<b>quantity</b> 176:4	<b>questions</b> 7:5	111:7 112:2,2	<b>realize</b> 47:12	231:3,11
<b>question</b> 4:22	9:14 45:16	112:15	<b>really</b> 29:20	237:11 252:22
8:22 9:1,4,8,16	54:8 61:5	<b>ratio</b> 99:4,8,9	118:18 141:21	256:20 261:8
9:21,22 14:14	63:13 67:1	123:8 126:3,3	144:17 167:5	261:19,20
15:11,19,22	90:10 114:11	126:5,15 127:2	191:18 198:18	<b>receive</b> 137:2,8
16:5 18:16	118:6 132:1,17	206:20 207:14	215:2 222:5	137:18 254:1
21:13,19 22:8	171:15 219:5	240:11 267:6,8	245:22 246:11	<b>received</b> 68:19
22:19 24:12,14	219:18 228:22	267:14 269:11	251:3 252:1	85:7 88:8
36:10 37:11	247:5	270:8 271:11	259:19 272:17	105:20 137:15
43:17 44:21	<b>quick</b> 7:5 218:18	<b>ratios</b> 116:3	<b>reason</b> 10:6	190:21 197:11
45:2,4 46:3,7	228:22	123:6 124:2,4	142:18 146:10	199:8
48:5,8,15 51:3	<b>quite</b> 12:19	124:7,9,13,15	147:1 148:8,8	<b>receiving</b> 64:20
58:2 67:12	229:10	124:22 125:1	154:12 167:7	70:16 137:11
71:4 73:15		125:13 127:14	215:2 239:22	<b>recess</b> 90:1
74:13 76:7	<b>R</b>	263:19 266:5	267:11	114:4 131:13
78:17 101:11	<b>r</b> 3:1 8:1 113:12	266:13,18,20	<b>reasonable</b> 20:5	144:10 171:9
101:18 102:8	113:19	269:8 270:2,13	70:9 116:16	218:20 273:22
105:3 106:18	<b>r2</b> 113:12	271:1	129:4,17	<b>recognize</b> 12:12
111:3 118:8,11	<b>raise</b> 260:18	<b>reach</b> 135:8	187:13 228:8	132:20 141:6
119:3 126:19	<b>raised</b> 100:16	<b>reached</b> 100:2	<b>reasons</b> 21:3	141:11,14
129:1 130:6	<b>ramifications</b>	189:10 196:16	241:15	<b>recollection</b>
137:3 147:9	128:2	<b>reaction</b> 97:21	<b>rebuttals</b> 11:4	62:19 83:21
153:9 154:15	<b>ran</b> 24:2 217:13	206:16	<b>recall</b> 11:12	84:5 99:1
154:16 159:17	217:13	<b>read</b> 61:3 88:2	12:14 19:11	189:19
167:15,17	<b>ranaivo</b> 4:21 7:9	119:5,7,11	23:5 25:22	<b>record</b> 8:9 10:12
177:13 178:9	52:17,18	143:16 164:8	27:12 28:8,13	10:18 26:19
180:18,20	<b>randall</b> 148:21	172:13 173:3	44:13,18 51:5	48:11 90:5
182:7 186:20	<b>random</b> 134:19	178:10,12	58:9,12 59:1	91:7 102:22
187:20,22	134:20	179:17 180:22	60:6 62:2	114:6 119:10
191:17 194:2,6	<b>randy</b> 152:6	195:12 199:2	64:21 65:14	131:17 143:19
194:9,13,17,19	<b>range</b> 14:6	201:2 203:7	70:20,21 71:10	171:11 178:11
194:20 195:3,5	94:18 104:10	208:15 219:16	76:17 78:7,10	180:21 195:9
198:7,19 202:9	109:19 110:6	222:10,18	79:20 80:6	218:22 225:6
202:10,12	111:18 113:19	223:9 225:4,7	81:22 84:2,14	261:16 274:2,4
203:19 210:3	165:19 184:11	233:8 247:2	86:17 92:11	276:4 277:8
220:10 225:3	243:1 244:4	261:15,17	93:7,13 103:2	<b>records</b> 143:21
227:17,21	<b>ranged</b> 124:9	275:5 276:2	119:16 137:11	160:21
237:4 239:9	<b>ranges</b> 109:14	<b>reading</b> 147:16	137:21 138:11	<b>recoveries</b> 94:16
254:2 257:11	109:17 165:18	177:5 201:18	142:12 144:12	183:12
261:13 263:9	<b>ranging</b> 88:10	261:19	146:9 153:18	<b>recovery</b> 94:13
267:21 268:8	<b>rate</b> 54:21	<b>reads</b> 275:5	158:1 165:19	110:2,6
268:10,14,16	110:20 111:15	<b>reagent</b> 151:3	172:18 173:4	<b>redo</b> 232:20
268:17	111:18,21	<b>reagents</b> 253:12	174:15 190:13	233:5 234:4
<b>questioning</b>	226:10	<b>real</b> 158:4	191:6 217:22	<b>reduced</b> 238:17

239:2,12,16,20 240:4 277:7 <b>reducing</b> 260:5 <b>refer</b> 172:9 251:9 <b>reference</b> 13:20 55:21,22 56:12 62:7 77:1,3,9 77:21 78:3,9 78:12,21 79:5 80:6 173:1 179:16 180:15 181:18,19 182:9 185:9 209:6 223:21 226:15 228:20 235:16,18,21 267:18 269:3 <b>referenced</b> 80:1 137:6 176:12 176:20 216:5 236:11 243:9 258:13 <b>references</b> 236:16 <b>referencing</b> 20:15 <b>referred</b> 11:7 172:7 <b>referring</b> 32:17 56:12 69:12 76:3 81:21 122:15 125:7 137:1 186:1 234:7 244:16 <b>refers</b> 28:14 103:3 233:16 <b>reflect</b> 76:10 157:6 159:13 159:18 <b>reflects</b> 157:9 <b>refresh</b> 83:21 <b>regarding</b> 12:17 16:21 22:1 32:17 49:10	53:5,14 55:9 65:13 67:8 70:16 71:7 79:13 85:3 86:3,8 87:7,12 87:17,19 88:21 89:3,12,15 93:1 105:1 106:11 120:5 130:10 135:10 160:22 200:1 200:19 217:19 231:9 236:6 254:5 258:7 259:9 266:12 272:14 <b>regardless</b> 156:8 <b>regards</b> 65:7 <b>region</b> 177:1 209:1,10 215:4 229:17 232:21 233:6 234:4 236:13 249:8 249:11 258:19 259:1 <b>regional</b> 122:21 133:18 134:14 224:14 227:13 228:2,9 230:4 230:8,11,18 231:8 233:12 233:16,18,22 234:11 235:15 235:20,22 236:6 <b>regions</b> 87:10 88:6 133:14 193:13 196:6 196:11 205:18 209:2 212:7 216:10 228:6 <b>regression</b> 113:2 113:4 <b>regular</b> 274:15 <b>regulated</b> 34:9	34:10 <b>regulation</b> 57:8 <b>regulations</b> 13:14 14:1,18 53:7 128:3 <b>regulatory</b> 56:9 <b>relate</b> 19:14,14 29:4,10 110:8 111:6,9 <b>related</b> 18:11,19 22:20 27:4,5,6 29:5,7 39:5 47:16 48:5 92:18 141:16 161:19 167:11 173:8 227:17 277:10 <b>relates</b> 20:8,9 47:17 57:4 113:5 139:21 201:21 233:12 236:7 264:1 <b>relating</b> 19:22 20:1,14 25:20 26:22 29:1 36:15 39:21 50:5 79:14 92:19 160:4 161:8 231:9 <b>relation</b> 33:4 48:22 53:4,12 54:19 62:6 72:16 75:6 79:12 104:22 107:21 114:15 179:14 254:15 <b>relative</b> 110:11 110:17 112:3 176:4 206:1 277:12 <b>relatively</b> 31:10 94:18 <b>release</b> 56:4 79:17 <b>relied</b> 100:11	177:15 222:13 <b>rely</b> 161:7,8 173:2 176:14 176:17 191:7 191:22 192:1 195:15 197:21 199:22 <b>relying</b> 100:4,6 100:8 117:12 118:22 119:12 119:17 172:21 183:19 184:18 184:19,22 193:10 198:11 231:2 <b>remember</b> 23:20 44:10,16,17 60:12 132:22 138:17 140:18 143:16 163:6 163:11 178:4 178:22 217:12 217:16 270:20 <b>remind</b> 90:7 114:8 131:19 171:12 219:1 <b>reopen</b> 274:7 <b>repaired</b> 25:9 <b>repeat</b> 14:13 15:14 16:3 24:11 27:18 45:3 58:2 71:4 73:15 78:16 119:3 130:5 147:9 159:16 177:13 178:9 182:6 185:17 197:9 198:6 211:10 227:21 254:2 263:8 <b>repeatedly</b> 166:11 <b>rephrase</b> 9:4 21:21 <b>replace</b> 238:9	<b>report</b> 7:10 45:7 45:12,13,17,18 45:21 49:1 53:6 54:1 60:19 62:3,8 72:17 73:11,18 74:15,20 75:1 93:17 94:8 96:5,7,8,10 99:18 100:1,3 101:5 102:3,11 109:1,5 115:1 117:17 122:8 123:5 145:3 146:7 157:22 161:7,19 167:7 173:7 174:21 175:1,2,7 176:11,15 177:5 178:2,6 178:21 181:11 183:3,11,16 185:1,2 188:11 191:21 193:14 198:10 199:2 199:20 200:1,6 200:7,8 201:2 201:19 202:18 208:13,16 214:17,17 216:5,17,19 217:20 236:22 237:19 242:5 244:22 249:16 249:17 252:7 256:22 257:1,5 258:1 261:20 266:9 <b>reported</b> 1:22 75:8 88:16 104:19 105:17 115:17 117:7 128:14 177:22 178:14,16 181:10,12
---	---	---	---	---

188:21 204:4	261:16	187:20 194:1	<b>reviewer</b> 235:4	120:10,19
208:21 213:10	<b>require</b> 44:21	194:11,19	235:6	125:6 127:20
214:19 220:2,5	<b>required</b> 68:6	195:3 225:3	<b>reviewers</b>	128:13 131:9
220:12 224:19	<b>requirement</b>	<b>restroom</b> 114:2	232:22 234:6,6	131:17,20
226:19 227:1	116:7	144:8 218:18	234:8,13	132:1 133:2,9
243:2 244:8	<b>requires</b> 249:22	273:20	<b>reviewing</b>	135:8 138:4
250:1 261:2	255:3	<b>result</b> 57:19	234:17	140:9 141:1
267:10 270:17	<b>research</b> 4:22	127:21 220:15	<b>reviews</b> 145:5,6	142:11,15,19
270:19 271:9	13:11 15:9,16	<b>resulted</b> 174:9	234:19	144:12 148:13
271:10,17	17:16 19:13,16	<b>results</b> 74:15,16	<b>reward</b> 252:4	149:7 156:13
<b>reportedly</b> 97:3	20:8 23:17	93:21 145:9,16	<b>richard</b> 63:20	160:2 161:11
<b>reporter</b> 2:15	26:7,9 27:14	155:3,5,7,20	64:11 66:19	168:18 169:22
8:17 9:19	28:18 40:16	178:16 179:4	<b>rick</b> 65:7 68:8	170:4,20 171:6
119:9 274:11	61:16 67:11	179:10,21	<b>right</b> 8:8,11,14	171:13 172:4,5
<b>reporting</b>	72:13 79:13	181:10 182:9	9:6 10:8,9,10	172:14 173:4
109:11 187:1	146:12 164:8	204:21 217:2,3	11:5,13,22	177:9,16 180:5
214:10	201:14 221:4	217:16 219:19	12:4,15 14:8	183:2 188:10
<b>reports</b> 11:2,6	227:12 252:14	220:2,13	15:7,12 18:1	189:4 190:4,14
95:19 143:17	252:15 253:6	225:12 228:6	20:13 21:4	191:3,16
143:22 175:18	253:16,20,22	230:11 234:10	23:14 24:4	192:13 195:13
186:14 204:3	254:4,9 256:11	236:18 246:1	25:16 26:2,21	197:20 199:6
242:6,8,21	257:4,5 258:7	257:10,16	27:8 30:10	199:20 200:10
243:3 244:3,8	259:4 270:10	<b>retained</b> 44:7,14	36:11 38:2,17	200:19 203:21
245:2,3 253:13	<b>researchers</b>	173:10	41:1,6,15,17	205:18,21
258:6 272:7	85:15 257:9	<b>retention</b> 97:20	42:7,16 44:4	206:8,18,22
<b>represent</b> 15:8	<b>reserve</b> 274:6	169:18 216:22	44:13 45:7	208:12 210:11
18:20 108:8	<b>resolve</b> 97:12	240:11,13	47:13 49:9	213:2,12 219:2
113:2	<b>resonance</b> 6:9	<b>reverse</b> 9:18	50:2,8 51:19	219:8,14 220:1
<b>representation</b>	170:11	<b>reversed</b> 145:16	52:5 53:4,12	220:10 224:1
91:19	<b>respect</b> 128:3	<b>review</b> 11:6	54:19 59:2	225:11 227:6
<b>representing</b>	<b>respected</b>	95:10 119:21	60:18 61:6,9	228:7,19 231:5
48:16 276:10	255:10	176:10 187:11	63:14,18 64:22	231:15 232:11
<b>represents</b>	<b>respective</b>	187:12 189:3	67:15 68:12	232:21 233:6
206:12	271:17	217:9 220:11	70:7 72:4	234:5,16,18
<b>reproduced</b>	<b>respond</b> 195:9	220:20 221:11	76:21 81:3	235:14 237:7
97:22 98:4	<b>responds</b> 239:2	234:15 235:3	82:17 87:16	241:21 242:2
151:3	239:11	236:10 257:4	89:20 90:5,8	244:1,10
<b>reproducible</b>	<b>response</b> 70:7	258:12 262:3	90:16 92:4,15	246:16 247:7
144:20 158:6	109:22 113:6	265:1	93:7,14 96:2,6	251:11 252:6
<b>reproducibly</b>	194:21	<b>reviewed</b> 11:2	99:22 105:8	257:7 262:16
148:9	<b>rest</b> 100:13	97:9 143:18	107:21 108:13	265:21 266:8
<b>request</b> 195:10	258:7	172:20,22	108:18 111:21	269:6 271:12
<b>requested</b>	<b>restate</b> 102:8	183:18 185:2	112:5 113:1,12	274:1,6,9,10
119:10 178:11	111:3 153:9	187:7 188:15	113:14,22	<b>rigor</b> 115:3
180:21 225:6	185:17 186:20	189:2,4	114:6 115:9	144:15 148:7

184:12 185:6	83:17 94:15	115:17 116:21	93:20 116:3	19:2,6,17,21
244:18 245:5	95:5,8,19 97:9	124:14 128:16	122:6,20	21:11,15,22
245:15 271:21	99:2,5 108:20	128:21 133:13	133:12 148:20	22:5,13,16
<b>risk</b> 252:4	108:20 111:14	135:7 136:18	156:21 164:10	23:1 24:13
<b>risks</b> 53:16	122:10,12	136:22 137:1,4	179:16 181:5	25:1 27:7
<b>role</b> 27:14 149:5	156:22 159:15	137:8,11	188:1 199:4,8	29:15 30:17
<b>root</b> 152:8,14	159:19,19	147:10 150:11	200:13 204:19	33:9 34:4
162:20	160:17 166:16	154:8 160:22	206:6,18	35:13,22 36:9
<b>roughly</b> 18:9	185:9 205:16	165:18 166:6	208:21 209:16	36:13,20 37:5
213:1 226:3	209:17,18,21	169:9 191:5,15	220:2 221:20	37:14,22 38:4
235:9 245:21	210:6,12,14,17	193:19 197:1	223:10 224:14	39:19 40:3,9
260:8,12	210:18 211:7	198:1,3,13,15	229:8 232:20	40:22 41:5
267:16 271:4	211:12,16	199:5,18 204:3	238:8 239:1	43:2 45:5,19
<b>routinely</b> 103:6	212:13,16,17	205:8,11	242:5 243:16	46:8,11,17,19
<b>rr</b> 270:1,1,2	213:2,7,16	208:22 209:9	244:2,17 247:9	47:2,10 48:7
<b>rs</b> 270:1	214:14,22	209:13 210:13	247:14 249:20	48:11,14 49:8
<b>rsd</b> 110:8,20	215:2,5,15,17	210:21 211:5	252:10 257:3	49:19 50:17
111:6 112:21	215:22 217:13	212:2,6,9	258:5,16	51:18 52:12
<b>rule</b> 142:17,17	226:11,12,16	213:4 214:6,8	262:19 264:4	53:11,20 54:2
<b>rules</b> 8:15 46:15	226:20,22	216:8,11 217:9	266:11	57:9,17 58:3
100:8	229:11 245:14	218:3,5 224:17	<b>scale</b> 213:21	59:10 60:1,17
<b>run</b> 74:5 109:8	245:18 246:2	224:19 225:1	<b>scan</b> 181:18	63:5 70:6 71:5
113:11 169:17	247:16,17	225:13 229:19	182:12,17	73:10 75:14
<b>running</b> 51:22	248:4,14 249:2	236:17 248:20	<b>scanned</b> 160:8	76:5 77:8
90:20	267:18 269:3	249:8,12 250:5	<b>scheduled</b> 151:4	78:18 79:11
	<b>sampled</b> 87:14	259:1 261:2,3	<b>school</b> 37:4	80:19 81:14
	<b>samples</b> 31:10	272:3	<b>science</b> 64:13	82:1 83:2
<b>S</b>	32:4 52:7 58:6	<b>sampling</b> 239:19	122:1	86:19 88:3
<b>s</b> 3:1 4:1,5,13 5:1	61:18 66:13,14	<b>sat</b> 45:7,11	<b>sciences</b> 199:12	89:20 90:4
6:1 7:1 8:1	66:15 72:9,17	<b>save</b> 209:16	<b>scientific</b> 101:17	91:11,21 92:3
13:1,3,3 41:13	72:20 73:20	<b>saw</b> 96:15 99:20	114:17 116:16	97:13 98:2,16
41:20 47:20,21	74:18 75:6	124:16 125:1,2	118:22 129:5	99:10,16 100:7
192:22 252:13	76:9 77:1,1	164:19 173:9	129:17 187:13	100:15,18,22
252:17	80:22 81:2	202:20,21	216:7 227:12	101:9,16 102:2
<b>s11</b> 212:14	82:5,15 83:4	<b>saying</b> 32:18,21	228:1,8 230:13	102:20 104:13
213:16 224:16	84:6,15 85:4,7	46:9 115:15	243:8 270:22	106:13,19
<b>s112</b> 225:18	85:10,17,20,22	129:16 202:15	<b>scope</b> 50:20,21	107:10,20
<b>safety</b> 56:3	86:3,9 87:8,10	203:17 222:19	51:1,3,6 59:17	111:5 114:3,5
<b>sake</b> 10:1	87:20 88:5,17	235:18	61:22	115:8 117:18
<b>sample</b> 29:21,21	88:22 94:2,4	<b>says</b> 12:7,22	<b>scott</b> 3:4 4:3 8:7	117:22 118:4,9
31:8 32:1,2,9	94:17 105:17	41:12 55:2	10:11,17,21	118:12,15,18
32:11 65:3,18	105:18 106:1	61:15 62:13	12:3 14:15	118:19 119:5,7
66:16,21 67:1	106:12,22	65:16 66:19	15:6,12,15,21	119:14 120:18
67:3 70:4 77:9	107:5,12,17	69:3 70:8	16:7,16,19	124:21 125:5
77:21 78:3,12	109:10 115:11	83:14 88:1,5	17:12 18:17	126:1,10,20
78:21 79:6				

127:9,19 130:7 130:17,22 131:9,16 136:8 139:3,10,19 140:6,15,22 143:13 144:9 144:11 145:14 146:17 147:7 147:20 148:4 148:12 151:9 151:18 152:19 153:2 154:4,14 155:10 156:12 156:22 157:8 159:1 161:10 161:22 163:2 163:12,16,21 167:14 168:7 169:4 170:3 171:7,10 173:18 174:14 174:16 175:2,4 175:6 178:10 178:17 180:4 180:20 181:2 184:3 186:12 186:21 187:9 187:21 188:6 190:3 192:12 194:3,8,16,22 195:6,11,22 196:13 197:3 197:10,16 198:8,20 199:17 200:9 201:15 202:4 203:9 212:5 213:17 214:3 216:16,20,21 217:7 218:19 218:21 222:17 223:6 224:12 225:4,10 227:5 228:14 230:17 231:14 237:18	237:21 238:2,5 243:14 246:15 248:11 249:9 257:22 260:21 261:15,21 262:15 266:7 267:3,5,22 268:4,8,12,18 273:9,18,21 274:1,10 <b>screen</b> 153:16 <b>screening</b> 146:14 150:14 <b>search</b> 79:18 <b>searching</b> 28:5 30:12 <b>season</b> 216:4,10 <b>seasons</b> 88:7 89:10,11 <b>second</b> 139:2 219:16 250:12 258:4 <b>secondly</b> 8:21 <b>section</b> 232:20 233:5 234:4 235:8,10 <b>sections</b> 232:10 232:10 <b>see</b> 12:10 13:6 13:16 21:19 41:12 55:6,18 56:21 58:6 61:20 62:16 63:22 65:8,19 66:9,17 67:6 67:18 69:10 70:13 73:21 74:8 75:16 76:16 77:20 81:1 83:19 94:5 95:13 104:10,14 105:12 109:13 112:8,18,21 113:13 116:9	121:4,5 122:13 123:2,10 127:13,15 128:5 133:20 133:21 134:6 135:19 146:20 150:5,19 151:6 155:17 156:22 157:4,11,12,12 157:12 158:3 159:6,7,9 164:14,15 165:3 168:15 168:20 170:12 175:15,21 176:6 183:1,13 188:4,5,7 189:17 193:2,3 199:7 200:16 200:17 204:6 204:17 205:1,2 205:9,10 206:4 206:5,9,10 209:3,22 210:9 211:7 217:20 220:7,8,17 221:1,15,16 223:10,17,18 224:3 226:13 226:17,18 229:1,13 230:1 230:2,6,7 232:16,17 233:1,2 238:14 238:21 239:5,6 242:13 244:14 244:15 245:9 247:11,12,19 249:2 252:18 257:13 258:9 258:10,20,21 261:9 263:2,16 263:17 264:8 267:8,15 272:4 272:5 273:19	<b>seeing</b> 69:20 173:5 203:15 266:16,19 267:14 <b>seeking</b> 9:2 <b>seemingly</b> 220:14 <b>seen</b> 12:13 91:3 92:5,7 133:18 134:9 141:7,9 159:10 168:15 168:16 172:15 173:3 178:20 189:6 193:7 226:15 <b>select</b> 85:21 <b>selection</b> 86:3,8 88:21 <b>selective</b> 103:16 103:18 238:17 240:3,9 <b>selectivity</b> 241:19 242:1 <b>sell</b> 140:9 <b>seller</b> 264:15 <b>selling</b> 264:16 <b>seminar</b> 92:17 93:1,8 <b>send</b> 83:3 93:16 106:22 <b>sense</b> 103:14 <b>sensitive</b> 103:15 103:18 252:1 <b>sent</b> 82:9,11,19 84:6,15 86:4 88:17 153:11 153:17,22 198:13 228:21 <b>sentence</b> 93:20 116:2,2 128:7 133:12 155:17 181:5 208:20 264:2,4 <b>sentences</b> 268:2 <b>separate</b> 23:10	24:7 25:5 123:20 176:3 250:16 265:21 265:22 276:6 <b>separating</b> 250:19 <b>separation</b> 50:1 50:6 119:21 240:16,20 251:4 <b>separations</b> 39:6 39:12,13 <b>september</b> 11:21 170:11 <b>series</b> 113:10 132:15 207:11 228:16 <b>service</b> 82:20 <b>services</b> 72:13 82:11 <b>set</b> 26:17 70:18 72:2 93:14 105:14,14,15 108:19,19 111:14 112:10 112:17 135:7 140:19 153:6 153:13 158:14 171:6 207:13 218:13,13,15 222:22 225:17 250:12 <b>sets</b> 105:16 115:2 270:1 272:7 <b>setting</b> 59:9,12 85:9 <b>seven</b> 110:12 <b>sevenandahalf</b> 268:10 <b>shakes</b> 9:12 <b>shape</b> 29:11 196:21 <b>share</b> 101:5 <b>shared</b> 49:1
---	--	---	--	---

<b>sheet</b> 158:20 169:20 190:19 276:6	270:4 <b>shut</b> 25:21 <b>shy</b> 9:3 <b>side</b> 100:10 <b>sigmaaldrich</b> 124:2 <b>signal</b> 94:19,22 97:21 113:5,9 210:22 239:15 239:21 <b>signaltonoise</b> 99:4,7,9 <b>signature</b> 41:19 276:9 <b>signed</b> 41:22 42:3 121:7,10 <b>significant</b> 55:2 144:20 260:3 <b>significantly</b> 158:8 <b>signs</b> 211:8 212:1 <b>silica</b> 182:16 <b>similar</b> 116:5 123:9 124:10 125:15 176:13 207:19 240:7 245:14 264:13 264:14 <b>simone</b> 1:17 2:1 4:2,7,10,13,21 5:3,8 6:3 7:3,9 8:3,10 10:18 10:22 12:8 13:1,1,10 41:13,20 47:20 47:21 64:10 90:7 114:8 131:19 132:12 148:21 219:1 228:20 247:9 274:3,17 275:3 <b>simones</b> 21:10 <b>simple</b> 49:22 50:14 198:22	<b>simpler</b> 137:4 <b>simply</b> 24:15 104:9 109:6 149:6 160:14 241:4 <b>single</b> 30:21 32:8 103:6 <b>sir</b> 8:9,11 10:10 11:5,13,22 14:8 15:7 19:7 22:6,10 23:2 24:4 25:16 26:21 27:8 30:10 38:17 41:1,15 42:7 48:15 51:19 53:12 59:2 60:18 61:9 63:18 64:22 70:7 76:21 90:16 92:4 93:7,14 96:6 99:22 113:12 113:22 120:19 127:20 128:13 133:2 135:8 138:4 141:1 142:15 144:12 148:13 149:7 156:13 160:2 161:11 168:18 169:22 170:4 170:20 171:6 173:4 177:9 180:5 183:2 188:10 190:4 190:14 192:13 195:12 197:20 200:10 205:21 206:18,22 208:12 213:12 219:20 220:1 224:1 225:11 227:6 228:7,19 231:5,15 244:1	246:16 247:7 249:18 252:6 257:2 262:16 269:6 271:12 273:19 <b>sitting</b> 43:12,18 <b>six</b> 28:21 29:4 131:6 242:8 244:16 <b>size</b> 186:4 <b>slide</b> 91:14 92:12,21 93:1 93:8,12 <b>slightly</b> 73:16 <b>small</b> 15:3,4 65:17 247:15 247:21 248:2,8 <b>soil</b> 87:19 89:3 89:16 161:13 164:13 165:5 176:22 196:17 223:14 236:13 258:19,22 262:1,5,8 <b>soils</b> 149:20 <b>sold</b> 264:2 <b>solely</b> 240:13 255:6 <b>solidify</b> 146:11 <b>solution</b> 259:22 260:2,7,13,15 <b>solutions</b> 34:13 34:14 <b>somebody</b> 35:19 45:20 46:12 99:1 103:5 142:19 201:13 235:21 253:9 268:22 <b>somebodys</b> 254:19 <b>soon</b> 274:14 <b>sorry</b> 19:15 23:11 27:18 41:6 43:16	54:15 61:2 62:9 64:18 73:15 74:12 153:9 175:4 178:8 184:4 191:18 192:15 204:10 214:11 214:22 216:20 261:14 <b>sounds</b> 10:9 20:5 201:13 <b>source</b> 94:20 146:21 147:22 150:22 152:3 154:8,18 201:19 229:10 254:11 255:13 <b>sourced</b> 82:13 <b>sources</b> 150:16 204:21 253:20 <b>south</b> 13:5 <b>speak</b> 136:16 137:10 <b>specialization</b> 14:9 33:20 34:21 <b>specialty</b> 14:20 15:2 29:20 33:11,19 <b>species</b> 33:16 34:3,5,18 122:22 123:6 151:5,10 220:15 239:19 <b>specific</b> 54:8 143:15 167:16 189:19 212:14 216:10 238:10 <b>specifically</b> 19:5 39:6,12 48:6 57:16 60:7,13 60:14 68:6 79:21 92:13 102:10 159:11 160:18 196:8
---	---	---	---	---

226:18 237:11 <b>specifics</b> 71:16 82:8 181:14,15 205:20 246:7 247:5 <b>specified</b> 70:3 <b>specify</b> 240:9 <b>spectrometer</b> 25:13 94:21 113:6 206:21 <b>spectrometry</b> 4:19 6:8,22 170:10 175:19 192:22 238:13 <b>spectroscopy</b> 38:20 206:17 240:6 <b>spectrum</b> 181:7 181:8,8,17 <b>speculation</b> 78:14 <b>speed</b> 182:12 <b>speeds</b> 182:17 <b>spell</b> 83:8 <b>spends</b> 254:11 <b>spike</b> 32:2,5,9 161:20 209:21 210:15,17,19 210:20 211:13 226:19 227:2 <b>spiked</b> 164:1 166:20 210:2 211:2 <b>spikedin</b> 210:22 <b>spiking</b> 162:1 <b>split</b> 212:16,17 213:7 247:16 248:14 <b>splits</b> 213:5 <b>spoken</b> 174:6 <b>sponsored</b> 23:14 <b>spot</b> 10:7 <b>spreadsheet</b> 5:16,17 159:4 <b>spreadsheets</b>	156:16 160:19 160:21 <b>spring</b> 169:2 225:19 <b>squared</b> 113:12 <b>squareds</b> 113:20 <b>sr</b> 270:1 <b>ss</b> 270:1,1,3 <b>stalled</b> 21:2 <b>standard</b> 6:14 6:16 30:19,22 31:2,4,7,21 32:3,7,8,12,17 32:19,22 33:1 33:3 76:4 77:4 77:17 99:6 108:1,2 110:11 110:12,13,17 112:3,3,13,14 112:20 113:8 122:9 123:20 124:13 125:2 127:1,14 146:16 169:17 182:19 189:2,5 190:10,17,18 209:13,17,20 210:5,12 211:13,20 241:6 246:11 268:20 <b>standards</b> 32:6 110:13 113:10 116:5 123:7,9 124:2,5,8,10 124:11,16 125:8,14 <b>standpoint</b> 126:21 246:2 266:17 <b>start</b> 9:16 41:7 182:11,14 183:1 253:7 <b>started</b> 13:4 18:22 23:19,22	49:16 50:4,11 73:4 <b>starting</b> 168:19 <b>starts</b> 133:6 149:19 175:11 219:19 <b>startup</b> 52:22 <b>state</b> 8:8 43:17 155:12 184:8 266:11 269:17 <b>stated</b> 73:8 97:6 99:3 135:4 155:3 184:10 185:11 188:9 203:8 204:6 207:13 230:10 254:7 <b>statement</b> 115:6 117:2 184:6 222:13 223:5 223:11 236:3 <b>states</b> 1:1,5 3:6 56:1 108:10 183:9 249:21 253:18 <b>stationary</b> 186:10 <b>stem</b> 175:12 <b>stenotype</b> 277:7 <b>step</b> 149:22 155:13 234:14 259:17 <b>steps</b> 59:16 80:13 <b>stereoisomer</b> 262:19 263:12 265:21 266:5 266:18 269:11 270:8,13 <b>stereoisomers</b> 23:10 25:5 123:14,15 250:16,20 263:20 264:12 265:16 266:6	269:9,16,19,22 272:22,22 273:4,13 <b>steven</b> 8:10 <b>stop</b> 224:21 <b>stopped</b> 24:4 49:14 <b>storage</b> 181:8,14 <b>store</b> 181:16 <b>stored</b> 88:18 <b>street</b> 2:6 3:8 <b>stress</b> 223:13 <b>stricter</b> 13:14 14:18 <b>strike</b> 115:13 203:10 <b>string</b> 7:4,10 <b>structures</b> 39:21 <b>student</b> 52:15 69:8 142:5 253:11 <b>students</b> 92:9 254:13 <b>studies</b> 26:17,19 79:14 135:17 172:2 184:11 184:18 191:14 218:2 223:12 236:19 243:8 244:18 245:5 248:12 252:11 252:13 253:2 260:22 271:17 271:21 272:1 272:10 <b>study</b> 6:11 96:19 97:2 115:9 135:11 136:2,5 139:12 163:3 171:21 172:1,7 172:10,10,13 172:15,21 173:5 174:10 179:8 180:9,11 181:11 186:22	193:16 200:11 200:14,19 203:11,16 204:8,9,11 216:19,20 243:7 247:16 247:22 248:2 248:10,14,20 249:3,15 250:5 250:8 254:15 254:17 258:22 270:4 273:6 <b>stuff</b> 23:22 45:18 145:4 156:9 265:9 <b>subject</b> 10:20 18:11 39:4 45:1 46:6 179:21 228:22 <b>submit</b> 121:17 121:21 122:2 255:11,12 <b>submitted</b> 96:5 119:20 121:16 145:4 171:4 <b>subpoena</b> 68:19 <b>subscription</b> 255:4,14 <b>subsequently</b> 24:2 <b>substances</b> 180:16 <b>substantially</b> 112:22 <b>successful</b> 24:19 <b>successfully</b> 24:6 25:4,5 52:16 251:15 251:19 269:9 <b>successively</b> 32:5 <b>sufficient</b> 115:2 158:10 162:13 <b>sufficiently</b> 187:1 260:2,19
--	--	--	---	---

<b>suggest</b> 70:8 76:18 106:20	72:13 100:12 191:8 192:2,7	<b>surveys</b> 176:12 176:15,18	219:7 235:2 273:18 274:15	<b>technologies</b> 13:12 14:17
<b>suggestion</b> 231:8 234:3	193:10,17 197:21 200:1	177:10,15,18 242:16,16	<b>taken</b> 8:12 27:8 37:12,16 152:7	28:22 29:4 38:22
<b>suggestions</b> 217:18	230:13 254:4 273:4	<b>suspect</b> 31:8 154:12	210:11 268:22 277:4,12	<b>technology</b> 28:15,17 29:8
<b>suggests</b> 223:5	<b>supporting</b> 257:8	<b>swearing</b> 42:4	<b>takes</b> 69:6 131:12 201:4	237:14
<b>suite</b> 2:7 3:9	<b>supposed</b> 45:13 100:9	<b>swore</b> 62:4	<b>talk</b> 9:19 42:15 42:19 109:3	<b>tell</b> 9:3 43:18 51:3 58:7,10
<b>sum</b> 88:20 89:2	<b>suppression</b> 94:20	<b>sworn</b> 8:4 277:6	146:5 172:1 236:21 260:9	109:6 129:20 130:11,18
<b>summarized</b> 124:1	<b>sure</b> 8:14 14:16 15:16 27:20	<b>sy</b> 68:15,22	<b>talked</b> 165:8 174:3 200:18	149:15 153:13 158:10 179:17
<b>summary</b> 184:7 271:13,14	29:3 42:12 43:7 48:7 58:4	<b>synthetic</b> 77:22 116:5 123:7,9	202:18 222:11 234:9 244:17	181:3,19,22 190:10 219:5
<b>summer</b> 23:5,6 50:3,9 229:11	60:2 63:3 71:6 73:16 76:8	124:10,17 125:2 203:2	268:2,3,5 <b>talking</b> 16:14	225:21 233:9 235:11 241:3
<b>supervise</b> 16:9	80:20 86:7 88:4 91:18	264:17,22 267:9 270:12	18:21 77:10 95:3 104:16,19	255:17 271:9
<b>supervised</b> 17:16 19:13,16	92:14 102:9 105:5 106:20	270:14	109:2 112:9 117:5 122:6	<b>temperature</b> 109:21 181:7
<b>supervising</b> 22:20 25:18 28:11	111:6 113:13 124:22 130:8	<b>synthetically</b> 265:3 266:21	127:10,10 134:10 139:13	182:13
<b>supervisory</b> 27:14 28:1	141:21 144:9 147:10 151:21	<b>system</b> 25:13 152:9,14	140:17 146:4 151:10 160:20	<b>ten</b> 88:10
<b>supplement</b> 56:11,17 57:1 65:2 116:8	159:18 177:14 179:12 180:19	162:20	161:17 164:1 203:13 213:15	<b>tendency</b> 9:15
264:15 265:19 266:15 270:17	182:8 185:18 186:22 192:9	<b>systematic</b> 134:19,20	215:4 233:21 254:22 263:6	<b>tenure</b> 254:1,5
<b>supplemental</b> 100:1 274:6	194:4 195:8 196:8 197:11	<hr/> <b>T</b> <hr/>	<b>tandem</b> 4:18 6:7 6:21 25:15	<b>tenured</b> 11:17 11:18
<b>supplements</b> 55:17 57:3 124:3,4,12	198:9,18 202:9 202:9 205:17	<b>t</b> 4:1,1,5 5:1,1 6:1,1 7:1,1	94:21 113:6,7 170:10 192:22	11:18
262:22 263:15 264:2,5,10,12	211:11 218:19 223:4 227:22	<b>table</b> 53:5 105:6 105:9 107:22	206:16 207:10 241:18 252:1	<b>tenuretrack</b> 13:4
266:22 267:9 269:12 270:7	234:3 254:3 260:17 263:6	109:13 204:22 205:3,6,22	<b>taught</b> 38:9,12 38:13,18,19	<b>term</b> 21:2 35:3 36:1 75:2
270:14,15,19 273:1,5	263:10 268:19 273:12,21	<b>tag</b> 69:18	39:3,5 <b>teach</b> 37:18 38:2	102:21 113:14 113:14 157:20
<b>supplied</b> 105:19 197:18	<b>surprise</b> 252:4	<b>take</b> 10:5 21:17 32:1,1,3,4 36:3	170:10 192:22 206:16 207:10	185:18 207:1 261:22
<b>supplies</b> 253:12	<b>surrounding</b> 62:15	59:16 63:11 74:5 80:13	241:18 252:1 <b>taught</b> 38:9,12	<b>terms</b> 34:16 143:15 184:14
<b>supply</b> 66:6 183:15	<b>survey</b> 5:13 148:19 176:3,9	89:21 90:13 91:22 114:1,12	38:13,18,19 39:3,5	232:21 233:5 234:4 243:16
<b>support</b> 53:14		132:3 134:9,21 144:7 162:21	<b>teach</b> 37:18 38:2 39:8	244:18 248:7 250:17,19
		168:14 171:7 180:14 182:4	<b>technique</b> 266:2	272:2
		188:15 190:9 205:3 210:14	<b>techniques</b> 30:11 38:22	<b>test</b> 74:7 76:16 80:22 87:14
			238:13 240:6	98:17 158:19 159:13,14,18



166:21 167:20 217:9 245:19 248:13 259:5 <b>tested</b> 75:7 76:8 82:5 83:5 87:8 88:22 89:13 107:1 116:21 124:15 135:9 137:21 146:22 147:2,8 193:19 196:15 197:5 198:1,13 199:5 199:19 205:12 212:16 218:5 246:3 249:1 259:2 261:4 <b>testified</b> 8:5 272:13 <b>testify</b> 11:1 43:4 44:2,5,22 47:12,15 <b>testifying</b> 160:2 <b>testimony</b> 44:8 49:10 53:6 107:3 115:1 145:20 184:17 276:4 277:5,9 <b>testing</b> 27:9,15 33:4 40:12 52:7 57:10,20 58:5 59:4 62:6 62:8 65:5 68:4 70:19 72:8,17 76:22 77:4,5 77:22 80:10 106:2 115:16 119:1,12,17,19 121:14,18 122:15 137:9 137:12 138:5 160:3 161:1 165:14 166:6 166:14 188:16 188:20 189:5 191:15 197:12	218:2 225:12 237:10 255:17 259:10,12 <b>tests</b> 150:11 213:14 <b>text</b> 258:16 <b>textbook</b> 103:3 214:1 <b>thank</b> 65:7 83:15 274:10 <b>thats</b> 15:18 16:4 16:9,22 26:4 26:21 27:22 36:11,11 37:17 46:19 47:6 48:10 52:1 57:6,6 61:10 62:3,5,18 70:4 78:4,5 94:7 96:6,9 108:2 110:1,3 115:5 116:20 117:11 119:1 133:5 134:21 144:18 158:4 163:16 164:5 166:20 170:17 172:16 173:11 175:3 179:7 181:13 183:1 185:22 189:8 191:6 194:6,13,13 200:7 201:12 202:9,10 212:4 215:6 219:8 221:6 222:5 228:18 232:9 236:16 244:5 245:15 247:4 248:2 251:22 253:15,15,16 253:18 254:13 254:13 260:4 260:12 264:13 267:21 270:10	272:11,12 <b>thereof</b> 88:15 <b>theres</b> 93:20 122:21 181:5 183:17 190:14 228:20 234:21 259:16 <b>thevis</b> 5:8 121:2 121:2,12 <b>theyre</b> 31:19 34:11,12 126:9 127:2 214:10 270:9 <b>theyve</b> 253:1 <b>thing</b> 9:17 61:4 112:9 136:14 139:14 156:4 224:5 245:15 <b>things</b> 30:6 69:15 80:1 181:16 216:10 224:5 233:20 259:5,20 <b>think</b> 21:11,19 22:19 31:10 47:5 48:11 56:21,22 57:2 57:19 58:9 64:13 68:20,20 70:5,5 78:11 78:19 79:1 82:12 85:11,13 92:15,22 97:4 97:17 99:20 100:13 103:2,4 107:11 113:15 117:18 118:13 120:6 137:20 143:17 149:14 159:12 182:21 187:3 202:8,8 207:15 209:7 216:4 217:14 226:17 232:9,9 239:14 264:8	267:10 270:18 270:19 <b>third</b> 122:6 133:10 149:8 149:19 226:4 247:13 <b>thms</b> 31:13 <b>thought</b> 184:17 191:12,14 264:3 <b>thoughts</b> 5:9 247:14 <b>thousand</b> 103:9 <b>three</b> 11:10 41:4 88:6,7 184:21 193:13,13 195:19 196:3,6 196:10,10 207:5,7,11 210:20 225:1 225:12 228:5 236:16 239:14 242:5 248:20 248:22 <b>threepage</b> 12:6 <b>tie</b> 205:18 <b>time</b> 11:11 15:10 17:5 18:3 19:22 20:15 21:6 22:6 23:3 23:21 25:6,17 64:7,12 65:21 67:9,10 69:4,6 69:19 70:9 71:15 72:14 95:11 96:12 97:21 109:8,19 116:11 119:4 128:11 135:16 162:15 166:10 167:8,8 169:18 185:15 186:3 186:13,15 187:3 209:16 229:3 235:9	240:11,13 241:1,2,16 253:10,10,11 274:15 <b>timerelease</b> 150:1 155:14 <b>times</b> 103:10 110:15 120:14 131:6 169:19 195:19 196:3 225:9 251:20 <b>timestamps</b> 229:6 <b>timetable</b> 67:3 <b>timing</b> 138:6 <b>tissue</b> 66:6 <b>today</b> 8:22 10:5 11:1 16:15 17:5 18:4 19:1 21:10 43:12,18 91:20 116:16 160:3 253:16 <b>todd</b> 1:22 2:14 277:3,17 <b>told</b> 47:19 48:1,2 171:3 <b>top</b> 44:6 57:6 61:15 105:10 159:8 164:7 178:4 200:13 217:22 231:3 246:11 254:12 <b>topic</b> 53:18 <b>topics</b> 45:21 <b>total</b> 88:20 89:2 <b>toxicology</b> 5:11 <b>trace</b> 102:16,21 103:2,2 198:14 213:20 <b>traditionally</b> 104:8 <b>traffic</b> 246:22 <b>training</b> 35:8,15 35:18,20 36:2 36:3,14,22
--	---	---	---	---

37:6 39:14,20 40:4 <b>transcript</b> 4:6 5:2 6:2 7:2 9:13 274:12,15 276:3,7 <b>transitions</b> 206:2,15 207:1 207:3 208:4,8 <b>translation</b> 179:1 180:11 <b>trap</b> 25:13 <b>treatment</b> 14:10 26:18 <b>trend</b> 238:8 <b>trends</b> 215:20 <b>trial</b> 42:15,19 43:4,11,11,14 43:19 <b>tried</b> 143:12 158:2 161:20 249:13 250:22 251:13 <b>trihalomethan...</b> 14:2,5 30:20 34:14 <b>triple</b> 25:14 207:11 <b>tropical</b> 199:11 <b>truck</b> 26:13 <b>true</b> 109:7 222:16 253:13 276:3 277:8 <b>truth</b> 135:3 <b>truthful</b> 227:1 <b>try</b> 9:16,19 10:7 27:20 34:19 78:2 86:16 97:11 98:8 114:13 179:9 203:15 221:9 234:20 257:6 267:4 <b>trying</b> 23:9 37:11 47:3	118:13,16 125:11 142:17 143:3 172:3 211:6 234:16 252:20 <b>turn</b> 41:15 60:21 82:2 105:6 133:2 149:7,18 164:4 175:9 188:12 200:10 204:13 208:12 216:17 232:11 236:22 252:6 253:19 258:1 <b>turnaround</b> 274:15 <b>turned</b> 92:2 99:18 251:4 <b>turning</b> 249:16 <b>turns</b> 251:2 <b>twice</b> 154:20 <b>two</b> 10:18 38:13 49:21 57:3 67:16 88:10 93:22 94:1 123:15,16,19 124:2 207:3,5 207:7 208:8,11 213:14 214:9 214:15 229:19 234:21 240:14 240:22 241:4 241:15,18 243:3 244:8 245:2,13,17 248:8 250:14 250:20 265:15 265:18,20 269:12,18,19 270:1 272:21 <b>twolab</b> 248:1 <b>twopage</b> 141:4 168:10 227:8 <b>type</b> 59:17 67:2 68:2,4 70:19	103:19 104:1 130:1 186:16 221:6 224:5,6 <b>typed</b> 41:19 <b>types</b> 14:9 <b>typewriting</b> 277:7 <b>typical</b> 69:6,9,13 69:18 99:6 176:2,8 <b>typically</b> 31:8 34:8 69:16 160:1 202:13 239:12 <hr/> <b>U</b> <hr/> <b>u</b> 5:1 6:1 7:1 93:2 252:13,17 <b>ug</b> 108:1,7 <b>ultimately</b> 121:17 235:13 <b>ultraviolet</b> 238:11 <b>umpsht001088</b> 148:17 <b>umpsht002154</b> 231:19 <b>umpsht002361</b> 121:1 <b>umpsht004885</b> 63:10 <b>umpsht005487</b> 227:10 <b>umpsht005617</b> 132:10 <b>umpsht005965</b> 246:20 <b>umpsht00682</b> 190:8 <b>umpsht687</b> 190:16 <b>unable</b> 145:6 <b>unaware</b> 79:10 <b>unclear</b> 90:10 114:11 171:16	<b>uncommon</b> 104:12 109:17 220:21 221:12 251:22 256:14 <b>undergrad</b> 38:16 <b>understand</b> 8:18 9:7,10 10:3 15:22 18:15 42:3,13 46:21 47:3 73:13 80:18 90:9 91:16 100:7 105:3 129:1 131:22 139:20 165:8 174:1 180:1 186:15 194:9 198:19 202:8 203:17 203:18 219:4,5 221:17 222:19 250:2 266:20 266:21 268:15 268:22 274:8 <b>understanding</b> 46:15 47:15 56:15,20 57:12 57:18 97:14 189:9 201:20 206:11 221:3 264:21 <b>understated</b> 245:8 <b>understood</b> 9:9 92:1 114:10 131:21 171:14 171:17 219:3 <b>undertake</b> 104:7 <b>undertaken</b> 133:13 <b>undetermined</b> 1:8 <b>unfortunately</b> 25:14 91:13 <b>unit</b> 150:10	<b>united</b> 1:1,5 3:6 56:1 108:10 253:18 <b>units</b> 157:11 <b>universal</b> 239:3 239:18 240:2,7 <b>universality</b> 240:7 <b>university</b> 4:9 11:15 12:7 13:2,12 16:21 18:3,22 28:6 31:16 37:19 38:8 52:22 64:4,15,17,18 71:1,22 72:10 72:11,12 83:16 83:18 84:7,13 88:13 91:1,12 91:16,22 107:6 107:7 133:16 141:18 142:9 142:20 149:5 168:12 170:19 199:10 229:12 254:8 <b>unknown</b> 210:19 <b>unpublished</b> 145:3 <b>unreliable</b> 155:20 <b>ups</b> 84:22 <b>usa</b> 77:20 <b>use</b> 30:11 31:21 36:1 68:13 77:4,22 78:3,8 78:20 79:5 99:6 113:5,14 114:2 144:7 185:9 203:14 203:17 207:2 207:22 210:5 211:4,13 218:17 237:14
--	--	---	--	--

240:15 241:4,6 241:8 273:20 <b>useful</b> 237:13 239:9,10 <b>usepa</b> 13:14 14:18 34:9,10 108:7,10 <b>usp</b> 23:20 40:11 49:12,14,16 50:4,10,11,14 50:19 52:6 54:10,12 55:11 57:11,13,19 58:6,7,14,17 58:21,22 59:3 59:15 60:3 61:17 62:1,14 71:7,14,18,21 72:4,7,19 73:19 74:2 75:7 76:9,22 79:18 80:11,12 80:21,21 81:16 82:6 84:9,17 84:20 85:2 86:5,10 87:4 87:14 105:18 106:6,15,21 107:8 115:17 116:21 117:7 117:10,14,19 119:1,13,18 128:14 129:11 129:14 132:11 132:15,21 133:6 139:2,5 139:14,21 144:1 146:9 149:1,12 151:13 153:3 153:11,17 155:12 165:15 166:6 167:13 167:20 172:15 188:20 190:20	191:2,4,16 194:5 198:1,13 199:4 216:22 217:8,18 218:5 228:17,21 231:7 237:10 246:22 250:6 252:11 253:2 255:20 256:13 259:2 265:2 <b>usually</b> 31:9 <b>utilities</b> 13:13 14:17 26:16 <b>uvvis</b> 38:20 <hr/> <b>V</b> <hr/> <b>v</b> 1:7 275:4 <b>validated</b> 66:3 <b>validity</b> 243:8 <b>valley</b> 169:2 <b>variability</b> 216:5 235:20,22 236:6 <b>variance</b> 113:15 <b>variation</b> 176:22 227:13 232:21 234:4 236:13 258:18 262:1,7 <b>variations</b> 88:15 220:22 221:13 222:12 223:15 224:15 228:6 233:6,13,16,18 233:22 234:11 262:5 <b>varied</b> 125:13 <b>varies</b> 108:19 236:12 258:18 272:9 <b>variety</b> 21:3 103:1 272:9 <b>various</b> 26:16 33:16 193:13 205:6 236:19 <b>vary</b> 176:21	<b>verbally</b> 9:12 <b>verify</b> 98:8 118:10 <b>version</b> 78:3,8 78:20 79:5 270:14 <b>versions</b> 224:7,7 <b>versus</b> 20:2 210:22 213:9 <b>view</b> 139:11 166:22 191:8 193:17 202:22 243:7 271:22 <b>viewed</b> 176:2 <b>viewpoint</b> 243:17 <b>visible</b> 238:11 <b>volatile</b> 65:17 <b>volition</b> 142:21 142:22 <b>volts</b> 182:19 <hr/> <b>W</b> <hr/> <b>w</b> 3:5 <b>waived</b> 256:18 <b>waiving</b> 256:9 <b>walk</b> 210:10 <b>wall</b> 255:3 <b>want</b> 21:16,22 54:16 67:13 88:1 113:13 149:9 195:1 231:8 237:3,5 247:2 261:10 <b>wanted</b> 70:17 73:5,12 146:10 233:18 235:1 <b>wanting</b> 140:9 <b>washington</b> 1:18 2:8 3:10 <b>wasnt</b> 76:11 140:8 <b>watch</b> 48:13 <b>water</b> 13:13,14 13:21 14:3,4	14:17,19 15:3 15:8 16:13 17:1,7 18:5,6 18:20 19:14 26:16,18 27:1 27:5 28:16 29:1,6,8 30:1,5 30:7 31:11,14 34:8 89:16 162:9,12,17 164:1 165:12 166:4,9,16,20 167:11,21 196:17 223:13 224:5 <b>watered</b> 166:11 <b>watering</b> 166:19 <b>way</b> 9:2 14:10 21:5 29:11 31:22 33:1 35:7 45:6 73:17 74:11 103:10 105:5 111:6 115:14 134:21 139:4 140:7 156:4 185:5 196:21 202:11 210:4 211:17 217:14 222:6 223:5,7 232:22 234:6 234:15 241:5 256:6 261:2 264:7 <b>ways</b> 29:20 234:21 250:20 <b>website</b> 4:9 12:16 56:5 <b>wed</b> 66:12 210:14 <b>week</b> 11:9 99:20 99:21,21 <b>weekend</b> 11:9 <b>weeklong</b> 26:17 <b>weeks</b> 11:10	69:7 <b>welcomed</b> 47:1 <b>wellfounded</b> 46:19 47:5 <b>wellrespected</b> 220:6 <b>went</b> 45:22 82:14 143:18 235:16 253:22 <b>weve</b> 89:20 107:17 225:17 265:18 268:1,4 268:10 <b>whatnot</b> 68:3 <b>whats</b> 12:5 41:2 43:10 44:3 51:20 63:7 87:15 97:7 111:21 117:13 148:14 159:2 168:8 170:5,16 180:6 192:14 192:16 194:16 227:7 231:16 <b>wheat</b> 1:14 <b>white</b> 58:15,16 68:12 70:8 85:6 86:11 121:21 133:7 151:21 228:17 <b>widely</b> 176:22 236:12 258:18 <b>willing</b> 106:7 <b>willynilly</b> 234:22 <b>wilson</b> 68:16,22 <b>withdraw</b> 24:14 187:22 210:3 267:3 <b>witness</b> 2:17 10:19,20 11:2 14:13 15:1,14 16:6,18 17:10 18:15 19:4,19 22:18 24:11,22
--	--	--	---	--

27:4 29:14	174:15 178:14	46:21 47:6	183:21 186:6	49:15 50:2,4,8
30:16 33:8	181:1 184:1	48:5,9 49:3,17	186:17 187:5	50:10,20,21
34:2 35:11,18	186:8,19 187:7	50:16 52:9	187:16 188:3	51:1,3,6 52:6
36:8,18 37:3	187:18 188:4	53:8,19,21	193:21 194:6	53:12 54:10,14
37:10 38:2	194:1,18 195:3	56:18 57:14,22	194:11,18	54:17 55:11
39:18 40:2,8	195:21 196:5	59:6,19 63:1	195:2,8,18	58:13,20 65:1
42:22 44:21	196:20 197:9	70:1 71:2 73:6	196:2,18 197:6	65:15 66:8,22
45:3,8,17	197:15 198:6	75:11,19 76:1	197:13 198:4	67:11 68:7
47:16 48:17	198:18 199:16	77:6 78:13	198:16 199:14	69:4 71:8,13
49:6 52:11	200:5 201:12	79:7 80:16	200:3 201:10	71:17,20 72:5
53:10,22 56:20	202:3 203:6	81:11,19 82:21	201:22 203:4	72:7,16 73:4
57:16 58:2	212:4 213:18	86:12 87:21	212:3 213:15	76:22 79:12,18
59:8,21 61:12	216:14 217:6	89:18,22 91:6	216:12,19	92:18,19 93:2
63:3,15 70:3	218:17 222:10	91:18 96:21	217:4 222:8	93:21 95:18,21
71:4 73:8	223:4 224:11	97:18 98:11,20	223:2 224:9	96:16 97:11,15
75:13,21 76:3	225:8 228:13	99:12 100:5,13	228:11 230:16	97:16 98:3,8
78:16 79:9	237:17 238:1	100:16,20	237:16,20	98:10,17
80:18 81:13,21	243:13 246:6	101:6,13,21	238:4 243:10	101:10,17
83:1 86:14	248:7 249:6	102:19 104:4	246:4 248:5	103:5 104:12
88:1 89:19	257:21 259:15	106:9,16 107:2	249:4 257:19	105:2 106:8
97:1,20 98:13	261:7,18	107:14 111:1	259:13 261:5	114:15 117:6
98:22 99:14	262:14 264:21	114:20 117:16	262:12 264:19	117:10,12
101:8,15 102:1	268:13,17	117:20 118:1,7	267:1,21 268:1	120:10 124:6
104:6 106:11	273:17,20	118:10,13,17	268:7,9,15	128:13 129:10
106:18 107:5	274:8 277:5,9	119:8 120:13	273:7,15 274:9	129:13,18
107:16 111:3	<b>witnesss</b> 195:10	124:18 125:3	274:13	130:9 131:2
114:1,22 115:1	<b>wonder</b> 71:11	125:20 126:6	<b>word</b> 97:7 165:2	144:18 145:6,8
118:14,16	<b>woolson</b> 3:14	126:16 127:4	253:3	145:15 146:2,3
119:6,12	14:11,21 15:10	127:16 130:3	<b>worded</b> 74:13	146:11,18
120:15 124:20	15:13,18 16:3	130:14,19	126:19 203:19	148:5,7,9
125:22 126:8	16:14,17 17:8	131:5 136:7	<b>words</b> 74:7	149:1,16 153:3
126:18 127:6	18:13,21 19:3	138:21 139:8	<b>work</b> 15:3,9	153:13,15,16
127:18 130:5	19:15,18 21:9	139:15,22	16:9,12,22	153:19 154:5
130:16,21	21:13,18 22:3	140:10 143:10	17:6,15,18	154:11 155:7
131:8 139:1,17	22:12,15,17	145:11,19	18:2,11,19	156:18 161:8
140:3,13	24:9,20 27:2	147:5,12 148:2	19:4,8,12,20	161:18,19
143:12 144:7	29:12 30:14	151:7,15	20:1,2,6,10,14	162:4 163:4,22
145:13,22	33:6,21 35:9	152:15,22	20:17 21:1,7	167:12 172:14
147:14 151:8	35:16 36:7,11	154:1,9,19	22:7,20 23:4,8	174:8 189:13
152:17 153:1	36:16 37:1,8	155:22 161:3	23:14,19 24:1	189:16 190:12
154:3,11,22	37:21 38:1	161:15 163:1,7	24:5,17 25:17	190:20 191:4,7
156:2 161:6,17	39:16,22 40:6	163:15 167:3	25:18 26:21,22	192:1,1 193:4
162:18 163:10	41:4 42:20	168:1 173:14	28:11 31:12	193:5,10,17
163:19 167:5	44:20 45:15	174:13 175:1,3	36:15 37:6	194:5 203:11
168:4 173:16	46:2,10,14,18	178:8,13	40:10 49:12,13	205:18 207:20

209:13 217:1 217:10 218:9 228:1 232:8 234:17 235:3,4 237:8,9 241:9 251:2,3,4,7,10 252:3 253:7,7 254:16 257:5 257:15 258:22 261:9 266:4,19 271:3,4 <b>worked</b> 37:3 67:10 82:5 <b>working</b> 15:7 66:20 103:6,8 174:2,5 <b>works</b> 13:11 58:17 174:4 209:17 253:6 <b>world</b> 133:15 <b>wouldnt</b> 74:10 214:19 215:3 <b>wow</b> 268:4 <b>write</b> 45:11 96:17 142:3 164:16 <b>writes</b> 170:17 <b>writeup</b> 193:4 <b>writing</b> 101:2 132:22 229:4 229:14 <b>written</b> 51:6 86:15 111:19 156:2 235:5 247:8 <b>wrong</b> 26:3 191:12 220:10 232:21 233:6 234:5 235:15 257:8 261:10 <b>wrote</b> 45:7 61:11 94:8 96:11 128:12 133:1 139:18 144:1 164:18	191:21 203:7 222:15 232:10 232:10,18 272:12 <hr/> <b>X</b> <hr/> <b>x</b> 1:4,16 4:5 5:1 6:1 7:1 211:1 <b>xaxis</b> 113:8,9 <b>xishuangbanna</b> 199:11 <b>xu</b> 86:16 199:10 <hr/> <b>Y</b> <hr/> <b>yaxis</b> 113:9 <b>yeah</b> 26:4 29:17 31:6 35:5 44:1 62:5 74:4 76:6 92:20 99:21 102:14 110:22 117:22 120:3 125:9,22 141:13 142:11 173:16 177:4 183:14 188:4 190:13 191:21 202:16 209:20 213:17 223:22 226:7,17,19 229:2 236:1 237:15 239:9 246:6 248:16 251:13 264:13 <b>year</b> 23:6 37:15 225:9 <b>years</b> 79:22 235:9 238:9 <b>yi</b> 83:7,15,16,22 84:8,16 85:3,8 85:20 86:4,9 86:18 87:4 138:16 199:9 <b>youd</b> 205:4 <b>youkai</b> 86:16 199:11	<b>youre</b> 8:18,22 16:22 32:18,21 36:7 37:10 41:6 42:15 43:8 47:11,19 48:10 63:12 79:3 117:5,20 118:1,16 125:7 132:14 134:10 151:10 171:12 175:5 191:17 193:10 194:12 194:13 202:15 203:6,17 211:6 211:12 219:1 239:19 240:9 240:10,13 241:2,3 248:3 254:12 259:18 259:20 260:13 266:16,19 267:14 <b>youve</b> 12:13 16:21 17:5,15 18:10 20:1 27:10,16 28:5 28:6 37:15 38:18 51:19 90:17 92:5 95:6 104:1 126:22 132:16 134:1,2 141:7 168:15 174:2 174:18 180:5 188:15 191:11 203:10 207:10 221:4 231:15 244:1 246:16 253:9 255:12 259:17 260:1 272:13 <b>yunnan</b> 83:16 84:13 199:10 214:10	<hr/> <b>Z</b> <hr/> <b>z</b> 193:1 <b>zero</b> 113:19 <b>zhang</b> 123:22 124:12 184:13 242:11 243:5 244:13 251:2 267:10 270:5 270:16 272:1 <b>zhangs</b> 124:6 <hr/> <b>0</b> <hr/> <b>0</b> 109:15 112:21 113:20 159:22 218:16 <b>00</b> 113:19 131:11 <b>05</b> 159:22 <b>06151</b> 192:19 <b>06200</b> 180:8 <b>07102</b> 3:17 <b>09</b> 168:13 <b>091113</b> 5:19 <hr/> <b>1</b> <hr/> <b>1</b> 1:6,9 3:16 4:8 4:16,16 5:13 5:14 6:5,5 7:7 7:7 10:15 11:21 14:6 23:10,11 25:4 25:6,6 30:8,12 30:12 31:13,18 32:14 33:4,4 34:17,17 35:12 35:12 39:6,9 39:12 47:18 49:7,11 51:7,8 51:10,10,13,13 52:8,8 53:15 53:15 55:3,3,4 55:9,13,15 56:8 61:17,18 65:17 66:4 72:20,20 73:13	73:13,20,20 74:6,6,8,9,15 74:15,17,17 77:12,18 88:14 88:15 90:20 94:1,22 95:1,7 95:7,18,19 97:3,22 99:3 101:20,20 103:3,4 104:8 105:14 108:3 109:13 112:10 112:11,12,20 113:18,19,19 114:19,19 115:4,4,6 116:4,6,17,17 118:21,21 120:1 122:8,11 122:22 123:6,8 123:13,20 127:8,22 129:19 131:11 133:14 134:3 135:3,10 136:15,17,19 136:21 138:2 141:17,17 142:18 143:8,8 144:2 146:8 148:19,20 149:21,22 150:2 151:2,11 151:11 152:18 155:4,4,15 157:7,7 159:14 159:14,20,20 161:9,21 162:9 169:13,13 170:7,8,8 175:20 183:10 184:10 188:5,7 188:8,17,17 193:15,15 204:7 208:22
---	--	--	--	---

209:9,18	207:13 208:7,7	<b>1940s</b> 80:4	163:20 170:11	53:15 60:20
210:15,15,16	<b>12</b> 4:12 5:17	<b>1994</b> 57:2	184:13 242:11	61:10 74:6,8
210:19,21,21	63:20 69:7	<b>1996</b> 175:14	243:6 244:13	74:15,17 93:16
214:12 218:15	150:2,7 155:15	242:7	247:1 271:22	94:22 99:7,8
218:16 220:3	158:11,21	<b>19th</b> 247:9	<b>2015</b> 44:16 97:2	101:20 105:15
224:16,18	159:3 260:8,18	<b>1st</b> 132:12	184:12 242:12	108:3 111:17
226:5,20	<b>120</b> 5:8		243:5 244:13	112:10,19
229:20,21	<b>1227</b> 2:6	<b>2</b>	271:22	114:19 115:4
238:20 242:6,8	<b>12andahalf</b> 20:4	<b>2</b> 4:9 12:1,5	<b>2016</b> 1:19 11:21	116:17 118:21
243:1,2,3	20:12	28:14 41:3	23:7 42:1	123:6 143:8
244:4,7,9	<b>13</b> 3:16 5:18	99:2 105:6,9	275:1,2 276:13	151:11 155:4
245:7 249:21	168:5,9,13	105:14 107:22	<b>2017</b> 277:22	157:7 159:14
250:10,11,13	214:11 247:10	109:13,16	<b>202</b> 2:9	159:20 169:13
250:18 251:17	<b>131</b> 5:11	110:5,16	<b>20530</b> 3:10	175:4,7 188:11
252:12 257:7	<b>13cv3675wbh</b>	111:14 112:10	<b>21</b> 7:10 246:13	188:12 210:15
258:8 260:4	1:6	112:17,20	246:18	210:21 218:13
262:20,22	<b>14</b> 6:4 170:1,6	210:18,20	<b>213</b> 226:6	218:15 225:17
263:12,14,22	214:19 277:22	218:13 225:17	<b>2156</b> 232:13	225:22 237:1
265:15 266:12	<b>140</b> 5:12	<b>20</b> 7:6 88:18	<b>2168</b> 231:19	242:5 250:10
266:14,15	<b>148</b> 5:15	214:18 218:12	<b>22</b> 183:5,7	<b>30</b> 1:20 110:18
269:2,9,16	<b>15</b> 6:11 180:2,7	218:13 231:12	<b>227</b> 7:5	111:15,19
272:21	237:19,22	231:17 232:12	<b>23</b> 170:7 175:9	238:9
<b>10</b> 4:8 5:13 56:6	238:3,4	<b>2001</b> 258:14	237:19 238:4,6	<b>300</b> 243:1 244:4
99:9 103:4,7,8	<b>150</b> 110:7	<b>20037</b> 2:8	<b>231</b> 7:9	<b>35</b> 66:15 237:1,3
103:9,11,12,18	<b>156</b> 5:16 213:8	<b>2006</b> 258:15	<b>246</b> 7:11	237:20 242:3,4
104:8 111:19	<b>158</b> 5:17	<b>2011</b> 63:20 64:7	<b>25</b> 18:10,20	<b>36</b> 262:21 263:5
148:10,15	<b>16</b> 6:14 190:1,6	184:13 225:19	19:12	263:13
153:7,10,14	<b>165</b> 212:20	225:22 229:11	<b>254</b> 212:22	<b>365</b> 214:21
218:13,14	213:10 215:8	242:10	213:9,10	215:1,9,10
260:10	215:18	<b>2012</b> 5:7 23:20	225:18	<b>37</b> 249:16
<b>100</b> 103:4,4	<b>168</b> 5:19	56:4,6 121:2	<b>25th</b> 2:6	<b>38</b> 99:5 183:3,7
104:11,16	<b>17</b> 6:16 156:17	122:5 132:12	<b>265</b> 215:18	252:7
110:15 159:22	190:1,15	184:9,9,12,13	<b>27</b> 56:4 188:13	<b>39</b> 164:4 175:11
214:1 251:20	213:11 275:1	224:17,17	262:21 263:5	<b>3dimethylaml...</b>
<b>1000</b> 159:22	<b>170</b> 6:10	226:1,6 228:21	263:13 274:16	1:9
251:20	<b>18</b> 6:18 159:5	235:9 242:7,8	<b>28</b> 208:12,16	<b>3dimethylamy...</b>
<b>100300</b> 66:16	192:10,14,17	242:10,10,11	<b>2amino4meth...</b>	23:11 30:8
<b>101</b> 36:18,21	200:11 204:12	243:4,4,5,5	55:15	34:17 47:18
37:15	226:1	244:13,13		55:3
<b>105419</b> 66:5	<b>180</b> 6:13	250:9 271:8,22	<b>3</b>	<b>3dimethylpent...</b>
<b>1093</b> 148:17	<b>19</b> 7:4 94:18	272:1,1	<b>3</b> 4:13 7:7 30:12	55:15 65:17
<b>10th</b> 226:6	192:14 223:21	<b>2013</b> 23:20	33:4 40:20	66:4
<b>11</b> 5:16 156:10	227:3,8 247:1	93:10 138:7	41:6,10 42:10	<b>3dmaa</b> 4:16 5:13
156:15 168:13	<b>190</b> 6:15,17	142:14 156:17	43:15,20 49:2	6:5 23:10 25:4
<b>116</b> 206:8	<b>192</b> 6:22	159:5 163:18	51:13 52:8	25:6 32:14

35:12 39:6,9	272:21	7:7	207:15,16,16	109:15 111:20
39:12 49:7,11		<b>4dmaa</b> 4:16 5:14	208:7	112:18,21
51:7,10 55:3,4	<b>4</b>	6:5 25:6 30:12	<b>59</b> 51:22	121:2 122:5
55:9,13 56:8	<b>44</b> :15 51:16,21	33:4 35:12	<b>5969</b> 246:20	131:14 132:7
61:17 72:20	53:15 54:4	51:8,10,13		133:3,10
73:13,20 77:12	62:10 80:11	52:8 61:18	<b>6</b>	135:14 139:13
77:18 88:14	83:10,11 94:14	72:20 73:13,20	<b>6</b> 5:4 90:2,18	<b>80</b> 14:6 31:13
94:1 95:7,18	101:20 102:4	74:6,9,15,17	92:4,16 163:17	<b>86</b> 266:9 269:6
95:19 97:3,22	102:11 105:1	88:15 95:1,7	164:5 205:22	<b>8610900</b> 2:9
99:3 115:6	109:16 112:17	108:3 109:13	214:11	<b>87</b> 184:7
116:4,6 120:1	112:19 115:18	114:19 115:4	<b>60</b> 4:22	
122:8,11,22	115:20 117:7	116:17 118:21	<b>6207</b> 180:8	<b>9</b>
123:8,13,20	118:2 119:2,19	141:17 143:8	<b>63</b> 243:15	<b>9</b> 1:20 5:12
127:8,22	128:14 137:6	148:20 149:22	<b>64</b> 243:16 244:2	112:19 140:20
129:19 133:14	137:13 149:8	151:11 155:4	<b>6400s</b> 3:9	141:3,12,14
134:3 135:3,10	149:18 155:15	159:14,20	<b>6421271</b> 3:18	142:6 159:5
136:15,17,19	157:7 171:20	169:13 170:8	<b>65</b> 105:7	228:21
136:21 138:2	172:10,20	188:8,17	<b>67</b> 249:20	<b>90</b> 5:6 272:19
141:17 142:18	174:9,22 175:3	193:15 210:15	<b>67175</b> 1:21	<b>96</b> 57:2
144:2 146:8	188:21 214:18	210:21 229:21	<b>686</b> 190:8	<b>973</b> 3:18
148:19 149:21	219:10 229:4	250:11	<b>69</b> 226:3 252:8	<b>99</b> 206:9 207:16
150:2 151:2	231:22 255:21	<b>4methyl2hexa...</b>	<b>691</b> 190:16	207:17 208:7
152:18 155:15	<b>40</b> 4:13 110:18	55:14		<b>995</b> 113:20
161:9,21 162:9	256:21	<b>4methylhexane</b>	<b>7</b>	<b>9th</b> 225:22
170:8 175:20	<b>400</b> 215:21	188:5	<b>7</b> 1:19 5:7 55:21	
183:10 184:10	<b>41</b> 60:21 61:2,10	<b>4th</b> 42:1	55:22 120:16	
188:5,7,17	72:18 93:17	<b>4trilomethanes</b>	120:21 128:7	
193:15 204:7	95:10	34:9	208:7 247:10	
208:22 209:9	<b>42</b> 177:8 258:2,4	<b>5</b>	275:2	
209:18 214:12	262:1,17	<b>5</b> 4:22 31:18	<b>70</b> 182:19	
218:16 220:3	263:11	60:15 62:9	<b>700</b> 2:7	
224:16,18	<b>43</b> 206:8	63:8,19 110:5	<b>71</b> 83:12 115:22	
229:20 238:20	<b>44</b> 94:18	110:16 112:11	219:13,20	
242:6,8 243:2	<b>45</b> 90:20	112:12 182:16	<b>73</b> 60:22 61:2,4	
243:3 244:7,9	<b>450</b> 3:8 215:21	204:22 205:3,6	61:9 62:7	
245:7 249:21	<b>46</b> 188:13	218:16 274:16	72:18 93:19	
250:13,18	<b>47</b> 208:15	<b>50</b> 31:20 110:6	95:10	
251:17 252:12	209:15 266:9	143:4,4 260:14	<b>74</b> 177:8 236:16	
257:7 258:8	269:7	<b>51</b> 4:21	262:2	
260:4 262:20	<b>48</b> 271:13	<b>510</b> 66:14	<b>75</b> 17:14 18:9,18	
262:22 263:12	<b>4887</b> 63:10	<b>5488</b> 227:10	262:17 263:11	
263:14,22	<b>49</b> 41:16	<b>55</b> 204:13	<b>78</b> 51:22	
265:15 266:12	<b>4dimethylamy...</b>	<b>5619</b> 132:10		
266:14,15	34:17	<b>57</b> 200:10 206:8	<b>8</b>	
269:2,9,16	<b>4dimethylpent...</b>		<b>8</b> 4:3 5:7,9 108:4	